

IN THE UNITED STATES DISTRICT COURT  
FOR THE MIDDLE DISTRICT OF PENNSYLVANIA

TAMMY J. KITZMILLER, et al., :  
Plaintiffs :  
vs. : Case Number  
: 4:04-CV-02688  
:  
DOVER AREA SCHOOL DISTRICT; :  
DOVER AREA SCHOOL DISTRICT :  
BOARD OF DIRECTORS, :  
Defendants :

MORNING SESSION

TRANSCRIPT OF PROCEEDINGS  
OF BENCH TRIAL

Before: HONORABLE JOHN E. JONES, III

Date : September 26, 2005

Place : Courtroom Number 2, 9th Floor  
Federal Building  
228 Walnut Street  
Harrisburg, Pennsylvania

COUNSEL PRESENT:

ERIC J. ROTHSCHILD, ESQ.  
WITOLD J. WALCZAK, ESQ.  
STEPHEN G. HARVEY, ESQ.  
RICHARD B. KATSKEE, ESQ.

For - Plaintiffs

PATRICK T. GILLEN, ESQ.  
RICHARD THOMPSON, ESQ.  
ROBERT J. MUISE, EQ

For - Defendants

Lori A. Shuey, RPR, CRR  
Official Court Reporter

Page 2

I N D E X

WITNESSES

For - Plaintiffs: Direct Cross Redirect Recross

Kenneth R. Miller, 31  
Ph.D.

Page 3

THE COURT: Good morning to all. Counsel, would you enter your appearances starting with counsel for the plaintiffs.

MR. ROTHSCHILD: Good morning, Your Honor. Eric Rothschild from Pepper Hamilton, L.L.P., for the plaintiffs.

MR. HARVEY: Good morning, Your Honor. Steve Harvey, Pepper Hamilton, for the plaintiffs.

MR. WALCZAK: Your Honor, Witold Walczak, American Civil Liberties Union of Pennsylvania, for the plaintiffs.

THE COURT: All right.

MR. GILLEN: Good morning, Your Honor. Patrick Gillen from the Thomas More Law Center for the defendants.

MR. THOMPSON: Good morning, Your Honor. Richard Thompson of the Thomas More Law Center for the defendants.

MR. MUISE: Good morning, Your Honor. Robert Muise from the Thomas More Law Center for the defendants.

THE COURT: And good morning to all of you. Are you prepared to open?

MR. ROTHSCHILD: Yes, I am.

THE COURT: You may do so.

Page 4

MR. ROTHSCHILD: Good morning, Your Honor. My co-counsel and I represent eleven parents who are challenging the Dover Area School District's change to its biology curriculum. That change to the biology curriculum, which is displayed on your monitor and on the screen, singles out the scientific theory of evolution, among all the scientific concepts taught to Dover High School students, as being suspect and promotes the religious proposition of intelligent design as a competing scientific theory.

Eighteen years ago, the United States Supreme Court, in Edwards versus Aguillard, held that public schools could not teach students creation science because that proposition's core concept of a supernatural creator is religious, not scientific, and therefore violates the establishment clause of the First Amendment to the United States Constitution. The Court recognized that the teaching of creation science was motivated by a religious and cultural agenda, not the improvement of scientific education.

What we will prove at this trial is that the Dover board policy has the same characteristics and the same constitutional defects as the creation science policy struck down in Edwards. You will hear testimony from members of the Dover community, these

Page 5

parents, teachers, administrators, and board members, about how this change to the curriculum came to be.

Board members announced their interest in the topic of evolution in starkly religious terms. They looked for a book that could provide a religious alternative to evolution, and they found one in Of Pandas and People.

They changed the science curriculum to advance a specific religious viewpoint, and in doing so, they ignored accepted scientific knowledge, failed to avail themselves of the advice of established scientific organizations, and ignored their own science teachers who opposed the change to the science curriculum.

They did everything you would do if you wanted to incorporate a religious topic in science class and cared nothing about its scientific validity. And we will show that the members of the school board that passed this policy expressed their desire to teach creationism over and over and over again. That's their word, "creationism."

As Your Honor will recall, in January, you permitted expedited discovery so these plaintiffs could decide whether to move for a temporary restraining order. We deposed Alan Bonsell and Sheila

<p style="text-align: right;">Page 6</p> <p>1 Harkins, the last two board presidents, William  2 Buckingham, the head of the curriculum committee when  3 the curriculum change was approved, and Dr. Richard  4 Nilsen, the Dover Area School District's  5 superintendent.  6 All of them denied media reports that the  7 board had spoken openly about creationism at board  8 meetings leading up to the curriculum change. And  9 they and other witnesses continued to deny such  10 statements in depositions throughout this litigation.  11 Faced with what appeared to be surprisingly  12 contradictory evidence about what the board members  13 actually said, plaintiffs decided not to seek a  14 temporary restraining order so that this Court could  15 decide this case on a more complete record. Now we  16 have that record.  17 Matt, could you pull up Exhibit 21. This is  18 superintendent Nilsen's record of what board members  19 said at a board retreat on January 9th, 2002. Matt,  20 could you highlight Item C. Dr. Nilsen reported that  21 Alan Bonsell talked about creationism and prayer at  22 this board retreat.  23 Could you pull up Exhibit 25. This is  24 Dr. Nilsen's record of what board members said at a  25 board retreat on March 26, 2003. And could you</p>	<p style="text-align: right;">Page 8</p> <p>1 draft change to the curriculum, it's remarkably  2 similar to the change that was actually approved,  3 though the final version had intelligent design, not  4 creationism.  5 And the entire Dover community is aware of  6 what Mr. William Buckingham, the chair of the  7 curriculum committee when this curriculum change was  8 passed, has said on this subject. (Tape played.)  9 "Such as creationism." Defendants refusal to admit  10 their advocacy of creationism in the face of  11 overwhelming evidence says everything about their true  12 motives.  13 What the board did was add creationism to  14 the biology curriculum under its new name, intelligent  15 design. You will hear from Barbara Forrest, an expert  16 on the history of intelligent design. She will  17 describe how the textbook Of Pandas and People that  18 the school district directs its students to was  19 conceived and developed as a creationist book and  20 changed the name of the concept it was promoting to  21 intelligent design after the Edwards decision held  22 that creation science could not be taught.  23 Indeed, the very definition of intelligent  24 design found in the Pandas book used in Dover is  25 identical to the definition of creationism found in</p>
<p style="text-align: right;">Page 7</p> <p>1 highlight Section D, again, under Mr. Bonsell. Again,  2 Dr. Nilsen reported Mr. Bonsell as talking about  3 creationism.  4 Could you pull up Exhibit 26, please. This  5 is Plaintiffs' Exhibit 26. This is a memorandum  6 received by Mr. Michael Baksa, the assistant  7 superintendent for the district, and copied to  8 Dr. Nilsen, the superintendent, reflecting what  9 Mr. Baksa told Bertha Spahr, the head of the Dover  10 High School Science Department, about a board member's  11 views on teaching evolution.  12 Matt, could you highlight the last sentence  13 of the first paragraph. A board member wanted  14 50 percent of the topic of evolution to involve the  15 teaching of creationism.  16 Could you pull up Exhibit 60, please. This  17 is a letter that Board Member Heather Geesey wrote to  18 the York Sunday News on June 27th, 2004. Could you  19 highlight the last paragraph, please. You can teach  20 creationism.  21 Could you pull up Exhibit 662. This is a  22 draft change to the Dover biology curriculum prepared  23 by Assistant Superintendent Michael Baksa. Could you  24 highlight the bottom section, please, Matt.  25 Creationism. And if you look at the text of this</p>	<p style="text-align: right;">Page 9</p> <p>1 earlier drafts of that book. The publisher of Pandas,  2 like the Dover Area School Board, employed semantics,  3 wordplay, to obscure its clear religious creationist  4 project.  5 Dr. Forrest will also describe how the  6 leaders of the intelligent design movement are  7 carrying out a strategy, what they call the Wedge  8 strategy, to overturn the rules of modern science so  9 that you can include supernatural activity, so that  10 science can be Christian and theistic.  11 You will also hear from John Haught, a  12 theologian, who will explain that intelligent design  13 is not new science. It is old theology, the argument  14 for the existence of God that has been around for  15 centuries. He will also explain that it is not a  16 universal religious view, but rather a particular one  17 accepted by many people of faith but inconsistent with  18 the beliefs of many others.  19 Intelligent design is not identical in every  20 respect to the creation science previously addressed  21 by the Supreme Court in Edwards and other courts, but  22 in all essential aspects, it is the same. Intelligent  23 design really is a perfect example of evolution.  24 Throughout this century, religious opponents of  25 evolution, concerned that evolution contradicts a</p>

<p style="text-align: right;">Page 10</p> <p>1 literal reading of the Bible and promotes cultural  2 decay, have employed varying tactics to denigrate or  3 eliminate the theory of evolution in the minds of  4 young students.</p> <p>5 They have tried forbidding the teaching of  6 evolution, promoting creationism or creation science  7 as an alternative to evolution, and singling out  8 evolution for special criticism. Each of those  9 tactics have been found unconstitutional by courts.  10 Confronted with that inhospitable legal environment,  11 creationists have adapted to create intelligent  12 design, creationism with the words "God" and "Bible"  13 left out.</p> <p>14 They have promoted a book, Of Pandas and  15 People, that invokes a master intellect that shapes  16 clay into living form and then says, we're not  17 referring to anyone in particular. This clever  18 tactical repackaging of creationism does not warrant  19 different treatment under the Constitution.</p> <p>20 The intelligent design movement has argued  21 and we expect you will hear defendants argue in this  22 courtroom that intelligent design has improved on  23 creationism by developing a scientific argument for  24 design. Defendants' own experts call it science in  25 its infancy, and if this is true, there is no</p>	<p style="text-align: right;">Page 12</p> <p>1 peer-reviewed scientific journals. In fact,  2 intelligent design admits that it is not science at  3 all unless science is completely redefined to include  4 the supernatural.</p> <p>5 At this trial, you will hear the parties use  6 the term "methodological naturalism." Methodological  7 naturalism is the term used to describe science as  8 self-imposed limitation, that it will only consider  9 natural causes for natural phenomena. Science does  10 not consider supernatural explanations because it has  11 no way of observing, measuring, repeating, or testing  12 supernatural events. It doesn't mean that  13 supernatural events, including divine miracles, have  14 not happened, just that science cannot properly make  15 any statements about them.</p> <p>16 But intelligent design will not accept the  17 well-established boundaries of science and openly  18 rejects methodological naturalism, the way science has  19 been practiced for centuries. Why? Because it has  20 to. In the end, no matter how many stones intelligent  21 design throws at the theory of evolution, the only  22 alternative it presents for the development and  23 diversity of life, the only explanation for how a  24 bacterial flagellum or the human eye came to be is a  25 miracle, an abrupt appearance, an act of supernatural</p>
<p style="text-align: right;">Page 11</p> <p>1 educational purpose in test-driving it with high  2 school students.</p> <p>3 But intelligent design is not science in its  4 infancy, it's not science at all. You will hear from  5 Kenneth Miller, a biologist; Kevin Padian, a  6 paleontologist; Robert Pennock, a scientific  7 philosopher; and Brian Alters, an expert on teaching  8 science. They will testify about how science is  9 practiced and taught, why evolution is overwhelmingly  10 accepted as a scientific theory, and why intelligent  11 design has no validity as a scientific concept.</p> <p>12 There is no data or laboratory work  13 demonstrating intelligent design. It is not a  14 testable hypothesis. It misrepresents established  15 scientific knowledge. Let's be perfectly clear, there  16 is no controversy in the scientific community about  17 the soundness of evolution and that intelligent design  18 is not a scientific topic at all.</p> <p>19 Intelligent design has arguments with fancy  20 names like "irreducible complexity" and "specified  21 complexity," but these arguments are not a positive  22 case for intelligent design, just negative attacks on  23 evolution. And even those arguments have not been  24 advanced in the way that real working scientists do  25 every day, by publishing original data in</p>	<p style="text-align: right;">Page 13</p> <p>1 creation. That, by itself, establishes intelligent  2 design as a religious argument, not a scientific  3 argument, for the creation of biological life that  4 cannot be taught to public school students.</p> <p>5 The district will argue that any  6 constitutional problem with its policy may be ignored  7 because the statement read to students is brief and  8 because it has promised not to teach intelligent  9 design or even allow students to ask questions about  10 it. This limitation, of course, raises the question,  11 what's the point? What possible secular educational  12 purpose could the policy have?</p> <p>13 Plaintiffs' scientific and teaching experts  14 will explain that there is none. Worse yet, the  15 statement denigrates the theory of evolution in a way  16 that one of defendants' own experts describes as  17 misleading.</p> <p>18 Of course, there is no such thing as a  19 little constitutional violation, and this policy  20 surely isn't one. The Dover board has imposed its  21 particular religious viewpoint on the students at  22 Dover High School and through a newsletter to the  23 entire Dover community.</p> <p>24 Viewed in the context of the public  25 statements and actions by the board in developing and</p>

Page 14

1 implementing the policy, it can only be viewed by the  
2 Dover High School students and Dover community as an  
3 expression of the board's religious viewpoint and as  
4 favoring a religious view about creation.  
5 In the Edwards decision, the Supreme Court  
6 underscored that it must be particularly vigilant in  
7 monitoring compliance with the establishment clause in  
8 elementary and secondary schools. Families entrust  
9 public schools with the education of their children  
10 but condition their trust on the understanding that  
11 the classroom will not purposely be used to advance  
12 religious views that may conflict with the private  
13 beliefs of the students and his or her family.  
14 The Dover School Board has violated these  
15 parents' trust by imposing its own religious agenda on  
16 Dover High School students and the Dover community.  
17 And it has clearly divided the Dover community, which  
18 could not help but conclude that its high school  
19 curriculum now includes a religious proposition, the  
20 21st Century version of creationism.  
21 The evidence that I have described this  
22 morning and much more evidence that you will hear  
23 during the course of this trial will demonstrate that  
24 the board had the purpose of promoting religion and  
25 that its policy had that effect.

Page 15

1 For those reasons, at the end of trial, we  
2 will request that the Court enter an order finding  
3 that the Dover School Board's change to its high  
4 school biology curriculum is unconstitutional and ask  
5 you to permanently enjoin the district from  
6 implementing that curriculum change. Thank you, Your  
7 Honor.  
8 THE COURT: All right. Thank you,  
9 Mr. Rothschild. Mr. Gillen, are you prepared to open?  
10 MR. GILLEN: Thank you, Your Honor. Good  
11 morning, Your Honor.  
12 THE COURT: Good morning again to you.  
13 MR. GILLEN: Patrick Gillen again from the  
14 Thomas More Law Center on behalf of the defendants in  
15 this action, the Dover Area School District and its  
16 board of directors. Again I'd like to introduce my  
17 colleagues at counsel's table, Dick Thompson and  
18 Robert Muise. Absent from the courtroom but valued  
19 collaborators in this effort, my colleagues Ed White  
20 and Julie Shotzbarger.  
21 Seated behind counsel's table, our clients,  
22 the Dover Area School District, through its board of  
23 directors, citizens elected by their constituents,  
24 represent the interests of the parents and families of  
25 the district, the students who are educated through

Page 16

1 the hard work of the board, the administration,  
2 faculty and staff of Dover Area School District.  
3 Your Honor, it is our pleasure to appear on  
4 behalf of our clients today because I am confident  
5 that at the conclusion of these proceedings, you will  
6 find that the evidence shows that these citizens  
7 seated before you today were engaged in a legitimate  
8 exercise of their lawful authority where they enacted  
9 a modest change to the biology curriculum for the  
10 purpose of enhancing science education, for the  
11 evidence will show that the purpose and effect truly  
12 at issue in this litigation is the purpose and effect  
13 of a curriculum change that was worked out after a  
14 process of deliberation involving the board, the  
15 administration, the science faculty, and the public.  
16 And it resulted in a modest four-paragraph  
17 statement which mentions intelligent design, makes  
18 students aware of the existence of the theory, makes  
19 them aware that it's a theory of the origins of life  
20 different from Darwin's theory of evolution. It  
21 explains that there's a book in the library, Of Pandas  
22 and People, that deals with intelligent design theory  
23 or IDT.  
24 In fact, the evidence will show that the  
25 more recent statement points students to other books

Page 17

1 in the library addressing intelligent design theory  
2 and that three of those books are penned by the  
3 plaintiffs' experts and critical of the theory. This  
4 case is about free inquiry in education, not about a  
5 religious agenda.  
6 Your Honor, the evidence will also show that  
7 this four-paragraph statement is the total actual  
8 effect that the curriculum change has on science  
9 instruction in the district, because apart from that  
10 four-paragraph statement, science teachers teach  
11 evolutionary theory as required by Pennsylvania state  
12 standards. The use of texts presents the evolutionary  
13 theory. Biology by Miller and Levine, one of the  
14 coauthors, Ken Miller, is one of the plaintiffs'  
15 experts in this case.  
16 In this way, the evidence will show that  
17 while students are taught evolutionary theory, they  
18 are merely made aware of the existence of another  
19 theory, the intelligent design theory, and that while  
20 students are assigned a basal text that presents  
21 evolutionary theory, they're merely made aware of the  
22 existence of a reference text in the library that  
23 deals with intelligent design theory, if they care to  
24 check it out. And they are told that they will be  
25 tested on evolutionary theory, as required by

1 Pennsylvania state standards.

2 Further, the evidence will show that  
3 Superintendent Richard Nilsen, in response to concerns  
4 addressed by science faculty about the implementation  
5 of the curriculum change, issued specific guidelines  
6 that intelligent design theory would not be taught,  
7 that creationism would not be taught. Teachers would  
8 not teach their own religious beliefs.

9 Now, there's no question, Your Honor, that  
10 this final result was worked out through a contentious  
11 policy-making process that has led some to liken  
12 making legislation to making sausage, a process that  
13 involved, at times, heated argument by members of the  
14 public, members of the board, false charges and  
15 intemperate remarks. But the evidence will show that  
16 the consistent goal of the board, as a whole, was to  
17 pursue what they believed to be a legitimate  
18 educational purpose and to comply with the law.

19 Alan Bonsell is a perfect example. He came  
20 to the board without any background in education of  
21 the law, just a sincere desire to serve his fellow  
22 citizens. By virtue of his personal reading, he was  
23 aware of intelligent design theory and that 300 or so  
24 scientists had signed a statement indicating that  
25 biologists were exaggerating claims for the theory.

1 He had read about the famous Piltdown man  
2 hoax. He had an interest in creationism. He wondered  
3 whether it could be discussed in the classroom. Those  
4 questions are not evidence of unconstitutional  
5 conduct, Your Honor. They were quite legitimate.

6 In fact, the evidence will show that on the  
7 very day of the March 26th, 2003 board retreat, the  
8 assistant superintendent of the district, Mike Baksa,  
9 attended a seminar sponsored by the Pennsylvania  
10 School Boards Association given by a presenter with a  
11 law degree from Harvard, a facilitator who was a  
12 professor with a Ph.D. in the history of philosophy of  
13 science. They discussed the issue because it was a  
14 legitimate issue.

15 During that seminar, Mike Baksa heard the  
16 view expressed that it would be useful and good  
17 science education to at least introduce a discussion  
18 of creationism into the biology curriculum. More  
19 importantly, Your Honor, the evidence will show that  
20 nothing came of those questions.

21 During his tenure as board curriculum  
22 committee chair, Alan Bonsell never asked for any  
23 change to the biology curriculum, the text or  
24 instruction. He met with the science teachers in the  
25 fall of 2003 and learned that they didn't teach

1 origins. It was too problematic. They focused on  
2 change within species. They mentioned creationism,  
3 but they didn't teach it, that's what they told him,  
4 because they thought it would be illegal. And that  
5 was the end of the matter. He asked legitimate  
6 questions. He got legitimate answers. That was the  
7 end.

8 When Bill Buckingham tried to hold up the  
9 purchase of the basal text in August of 2004, the text  
10 authored by one of the plaintiffs' experts, Bonsell  
11 voted against that because he believed the students  
12 should have the book recommended by the science  
13 faculty, quite apart from whether the board approved  
14 the use of Pandas and People.

15 And on the night, the very night that the  
16 board approved the curriculum change at issue here,  
17 when the science faculty expressed concerns that the  
18 inclusion of the mention of intelligent design in the  
19 curriculum would require them to teach it, although  
20 they did not teach origins, it was Bonsell who  
21 appended the note to the curriculum which made it  
22 clear that they would not be required to teach  
23 intelligent design theory.

24 He did that because he understood they did  
25 not teach origins, and they understood that

1 intelligent design theory, as indicated by the  
2 subtitle of the book, Of Pandas and People, deals with  
3 the question of biological origins.

4 Your Honor, the evidence will show something  
5 very critical in this case, that Bill Buckingham did  
6 not exercise a determinative impact on this  
7 policy-making process. Not at all. In fact, the  
8 evidence will show that the board listened to the  
9 science faculty more than it listened to Bill  
10 Buckingham.

11 Bill Buckingham wanted the text, Of Pandas  
12 and People, approved with the basal text. He wanted  
13 it purchased with school money. He wanted it used in  
14 the classroom. He wanted the intelligent design  
15 theory presented side by side with evolutionary theory  
16 as if in dialogue. The teachers objected, and the  
17 board agreed with the teachers.

18 Now, it's true at the end of the day the  
19 board didn't agree with everything the teachers said.  
20 The board believed that intelligent design was not  
21 creationism. They knew what that was, the Book of  
22 Genesis. They concluded that intelligent design was  
23 science. They looked at the text of Pandas and  
24 People. That's not the Book of Genesis.

25 They believed it was a legitimate

Page 22

1 educational goal to make students aware of the  
 2 existence of another scientific theory, but they  
 3 agreed with the teachers' objections that for  
 4 practical reasons, students shouldn't be taught  
 5 intelligent design theory.  
 6 Your Honor, the evidence will also  
 7 demonstrate that the board quite rightly concluded  
 8 that its modest curriculum change would, in fact,  
 9 enhance the biology curriculum and that the primary  
 10 effect of their policy would be to advance science  
 11 education, not religion.  
 12 Defendants' expert will show this Court that  
 13 intelligent design theory, IDT, is science, a theory  
 14 that's advanced in terms of empirical evidence and  
 15 technical knowledge proper to scientific and academic  
 16 specialties. It is not religion. This expert  
 17 testimony will also demonstrate that making students  
 18 aware of gaps and problems in evolutionary theory is  
 19 good science education. It's good liberal education.  
 20 Dr. Michael Behe will offer you his opinion  
 21 in this case. He will explain the basis for his  
 22 opinion that the insights into the biochemical  
 23 complexity of the cell, made possible by modern  
 24 microbiology, have undermined the claims made for  
 25 natural selection, the mechanism at the center of

Page 23

1 evolutionary theory.  
 2 Likewise, Dr. Behe will explain that  
 3 evolutionary theory does have gaps and problems and  
 4 that it's good science education to make students  
 5 aware of those gaps and problems, make them aware of  
 6 the intelligent design theory.  
 7 The evidence will show that Dr. Behe takes  
 8 these positions and posits his thesis of irreducible  
 9 complexity pointing to design not because evolutionary  
 10 theory is inconsistent with his religious beliefs.  
 11 It's not. Not because he believes in creationism. He  
 12 doesn't. And as he'll explain, creationism and  
 13 intelligent design are two very different things.  
 14 Dr. Behe takes these positions because the empirical  
 15 evidence points in that direction.  
 16 You will also hear testimony from Dr. Scott  
 17 Minnich. Dr. Minnich received his Ph.D. from Iowa  
 18 State University in 1981. He was a post-doctoral  
 19 fellow at Purdue and then Princeton. Since 1987, he  
 20 has taught microbiology extensively at the  
 21 undergraduate and graduate, including medical school,  
 22 levels.  
 23 Dr. Minnich will testify that IDT is  
 24 science, not religion. He will explain that design  
 25 principle, design theory, drives his sophisticated

Page 24

1 research in the lab. He will testify that Of Pandas  
 2 and People is a good text, a little dated, but one  
 3 that asks critical questions about the mechanism of  
 4 natural selection, which is a centerpiece of  
 5 evolutionary theory, that it makes students aware of  
 6 gaps and problems in the theory. Dr. Minnich will  
 7 testify that this is good science education and it's  
 8 good for science.  
 9 Dr. Dick Carpenter will also provide  
 10 testimony. He's an assistant professor in educational  
 11 leadership at the University of Colorado. He's an  
 12 expert in educational policy and practice. He will  
 13 testify that DASD's curriculum policy advances  
 14 legitimate secular educational goals, promotes  
 15 critical thinking, gives students a fuller  
 16 understanding of evolutionary theory, including its  
 17 strengths and weaknesses, something that's mentioned  
 18 in the basal text authored by the plaintiffs' expert.  
 19 In this way, he'll show that Dover's modest  
 20 curriculum change actually brings it more into line  
 21 with Pennsylvania's academic standards, which require  
 22 that students be able to critically assess the status  
 23 of existing theories, and, insofar as it helps  
 24 students grasp the controversy that can surround  
 25 science, points to a goal that's included in the

Page 25

1 Santorum amendment, the No Child Left Behind Act.  
 2 Dr. Steven Fuller will also testify for the  
 3 defendants. He has a master's in philosophy and  
 4 history of science from Cambridge University, a Ph.D.  
 5 in the philosophy of science from the University of  
 6 Pittsburgh. He's the author of eleven books, over 200  
 7 articles and chapters and books that have been  
 8 peer-reviewed.  
 9 He was the first post-doctoral fellow in the  
 10 history of philosophy of science at the United States  
 11 National Science Foundation, the first research fellow  
 12 in the Public Understanding of Science at the United  
 13 Kingdom's Council for Economic and Social Research.  
 14 His works have been translated into 15 languages. He  
 15 has been a visiting professor in the United States,  
 16 Sweden, Denmark, the Netherlands, Israel, and Japan.  
 17 Dr. Fuller will testify that intelligent  
 18 design is science, not religion, that the convention  
 19 of methodological naturalism, which some would use to  
 20 disqualify intelligent design theory from science, is  
 21 by no means a necessary feature of scientific inquiry,  
 22 and that scientific progress has taken place without  
 23 any commitment to methodological naturalism.  
 24 He will also testify that efforts to  
 25 disqualify IDT from science based upon causation or

1 testability or other so-called demarcation criteria,  
2 including so-called methodological naturalism, are  
3 inherently flawed. Dr. Fuller will explain that  
4 intelligent design theory is not creationism. It is  
5 not inherently religious. He will also explain, for  
6 that matter, that any number of phenomena we now  
7 understand, whether it's gravity or the wave-particle  
8 duality of quantum mechanics, were once thought to be  
9 supernatural.

10 Finally, Dr. Warren Nord will testify for  
11 the defendants. Dr. Nord is a professor in the  
12 philosophy of education and philosophy of religion at  
13 the University of North Carolina Chapel Hill. Nord  
14 will testify that intelligent design theory is not  
15 religion. He will explain that efforts to exclude  
16 intelligent design theory from science based on  
17 so-called methodological naturalism actually result  
18 from a philosophical naturalism which is, itself, a  
19 nonscientific principle.

20 He will also explain that from the  
21 standpoint of the philosophy of education, liberal  
22 education, the thesis posited by intelligent design  
23 theorists gains greater strength when seen in a larger  
24 context, whether the fine-tuning of the universe which  
25 physicists looked at so statistically improbable but

1 so necessary to support life on earth or work in the  
2 area of phenomena such as the mind.

3 Dr. Nord will also explain the basis for his  
4 opinion that the board's modest curriculum change is a  
5 step in the right direction for science education and  
6 consistent with national science education standards  
7 precisely because it makes students aware that there  
8 are scientific disputes over claims advanced by rival  
9 theories, something students should know in order to  
10 have a realistic sense of this critical dimension of  
11 scientific progress.

12 Taken together, this expert testimony will  
13 confirm the defendants' judgment by showing that  
14 intelligent design theory is not creationism. Indeed,  
15 it does not even require the action of a supernatural  
16 creator, that intelligent design is not religion or  
17 inherently religious, that intelligent design theory  
18 is science. It's a theoretical argument advanced in  
19 terms of empirical evidence, technical knowledge  
20 proper to scientific and academic specialties.

21 Indeed, the evidence will further show that  
22 intelligent design theory is really science in its  
23 purest form, the refusal to foreclose possible  
24 explanations based on the claims of the dominant  
25 theory or the conventions of the day, to proceed from

1 the same sort of perspective that led Newton to  
2 explore and ultimately explicate gravity.

3 It shares the attitude of those who worked  
4 in the field of quantum mechanics, who posited the  
5 wave-particle duality, despite the fact that to some  
6 it smacked of the supernatural. It shares the  
7 determination of scientists who this very day will  
8 look at paranormal phenomena or phenomena that defy  
9 our current understanding such as the mind.

10 For just these reasons, the defendants'  
11 expert testimony will show that Dover's modest  
12 curriculum change embodies the essence of liberal  
13 education, an education that frees the mind from the  
14 confines, the constraints, the conventions of the day,  
15 and, in so doing, promotes the curiosity, the critical  
16 thinking, the quest for knowledge that has served our  
17 country so well.

18 In conclusion, Your Honor, I respectfully  
19 submit that the evidence will show that the primary  
20 purpose and primary effect of Dover's modest but  
21 plainly significant curriculum change is to advance  
22 the very sort of legitimate educational goal which the  
23 United States Supreme Court recognized in Edwards  
24 versus Aguillard, what the Supreme Court of the United  
25 States acknowledged, with approval, that school boards

1 could quite properly require the teaching, never mind  
2 mention, about the theories of origin for legitimate  
3 secular educational purposes.

4 Your Honor, we look forward to presenting a  
5 defense in this case. Thank you.

6 THE COURT: All right. Thank you,  
7 Mr. Gillen. Before we get to our first witness on  
8 behalf of the plaintiffs, let me welcome our  
9 spectators to this and the parties, of course, and the  
10 media to this important case.

11 We're going to be in -- although this is a  
12 relatively large courtroom, we're going to be in  
13 fairly close quarters for a while. Those of you who  
14 are going to stick around will be here for the next  
15 week and for, it looks like, all of October, as well.

16 I have been struck in the pretrial  
17 proceedings with the sense of decorum on the part of  
18 the parties and the spectators. I believe that that  
19 will continue, so it's not necessary for me to say  
20 much besides I want you to do that and respect the  
21 witnesses on both sides as they testify and avoid any  
22 expressions that would disrupt the Court in any way.  
23 I certainly haven't seen that, and I don't expect to  
24 see that in this case.

25 You would do me a favor and you would do

1 counsel a favor and the parties a favor if you would  
2 restrict your movement in and out of the courtroom  
3 during testimony to a minimum. That's not to say that  
4 you can't leave, but don't leave lightly just because  
5 you're bored and you want to go out into the hallway  
6 and then filter back in again. If you must leave,  
7 that's certainly acceptable, but we want to keep the  
8 traffic to a minimum because I think that that keeps  
9 us better focused.

10 We will take breaks at reasonable intervals,  
11 and I assure you we'll have lunch, as well, a lunch  
12 break, and we will take this in a way that is  
13 deliberate and yet recognizes that we're going to be  
14 here awhile and we have plenty of time to try this  
15 case.

16 So with that -- now, Mr. Rothschild, you're  
17 not going to move for the admission, I don't think, at  
18 this point, of any exhibits, or are you with respect  
19 to your opening? Do you want to do that?

20 MR. ROTHSCHILD: No, I'm not, Your Honor.

21 THE COURT: I assume not. With that, then  
22 we can start with your first witness.

23 MR. WALCZAK: Plaintiffs call Kenneth  
24 Miller.

25 KENNETH R. MILLER, PH.D., called as a

1 witness, having been duly sworn or affirmed, testified  
2 as follows:

3 THE CLERK: Please be seated and state your  
4 name. Please spell your name for the record.

5 THE WITNESS: Sure. Good morning, Your  
6 Honor.

7 THE COURT: Good morning.

8 THE WITNESS: My name is Kenneth R. Miller,  
9 K-e-n-n-e-t-h, initial is R., M-i-l-l-e-r.

10 THE COURT: You may proceed.

11 DIRECT EXAMINATION

12 BY MR. WALCZAK:

13 Q. Good morning, Dr. Miller.

14 A. Good morning.

15 Q. Where do you live?

16 A. I live at 142 Martin Street in Rehoboth,  
17 Massachusetts.

18 Q. What do you do?

19 A. I'm a professor of biology at Brown  
20 University.

21 Q. I'd like to direct your attention to what's  
22 been marked as Plaintiffs' Exhibit 214. Do you  
23 recognize this document?

24 A. Yes, I do. It's the first page of my resume  
25 or, as we academic guys call it, my curriculum vitae.

1 Q. Is this a fair and accurate representation  
2 of your background?

3 A. Yes, it is. The individual document is a  
4 few months out of date, but, yes, that is.

5 Q. I'd like to use this to go over your  
6 background. Focusing first on your education, you  
7 graduated from Brown University in 1970?

8 A. That's correct.

9 Q. And then you got a Ph.D.?

10 A. At the University of Colorado in 1974.

11 Q. And did you do a Ph.D. dissertation?

12 A. Yes, I did.

13 Q. And what was that on?

14 A. The Ph.D. dissertation was on the structure  
15 and location of the coupling factor on the thylakoid  
16 membrane or, as I once explained to my mother, I'm  
17 trying to figure out and tried to figure out in the  
18 thesis how plants capture the energy of sunlight and  
19 convert it into chemical energy and food.

20 Q. Dr. Miller, I'm likely going to have to ask  
21 you to explain things the way you would to your mother  
22 a number of times during this testimony. Please bear  
23 with me.

24 A. Thank you, sir. I will keep that in mind.

25 Q. I'd like to focus now on your professional

1 experience concerning your academic appointments.  
2 After you got your Ph.D., what did you do next?

3 A. I went to Harvard University to join the  
4 faculty as a junior faculty member, and I spent two  
5 years there in the position of lecturer in biology and  
6 then four years as assistant professor of biology.

7 Q. And then in 1980 you went to Brown  
8 University?

9 A. That's correct. I got a job offer from my  
10 undergraduate alma mater and jumped at the chance and  
11 returned to Brown in 1980. Two years later I was  
12 given tenure and promoted to associate professor, and  
13 four years after that, I was promoted to full  
14 professor, which is a rank I still hold.

15 Q. And you continue to teach at Brown today?

16 A. Yes, sir, I do.

17 Q. And you've been there consistently since  
18 1980?

19 A. I have left town once or twice, but, yes,  
20 sir, I have been there consistently.

21 Q. And what do you teach at Brown?

22 A. I teach courses in molecular and cellular  
23 biology, and I also teach what is, in many years, the  
24 largest course that a university gives freshmen, an  
25 introductory to general biology course.

Direct/Walczak - Dr. Miller

<p style="text-align: right;">Page 34</p> <p>1 Q. Does that freshman-level course include a 2 section on evolution? 3 A. Yes, it does. No course in biology would be 4 complete without it. 5 Q. Dr. Miller, are you still involved in 6 scientific research? 7 A. Yes, sir, I am. Not as much as I used to 8 be, but I have a small lab and I have a couple of 9 undergraduate students who work with me and I continue 10 to do research. 11 Q. And remembering that I'm on your mother's 12 level, could you just briefly describe the area of 13 your scientific research? 14 A. Well, I continue to be interested in the 15 structure and function of biological membranes. My 16 main research tool is the electron microscope. And 17 the main area in which I work right now is the process 18 by which proteins go through, pass through biological 19 membranes. And that's very important to cell 20 biologists because it concerns basically how things 21 get where they're supposed to be. Cells depend upon 22 proteins getting to the proper destinations, and I'm 23 trying to work on part of the mechanism of how they 24 get there. 25 Q. Now, directing your attention, again, on the</p>	<p style="text-align: right;">Page 36</p> <p>1 It looks like you've had two stints as the chair of 2 the education committee. What do those committees do? 3 A. Well, the program committee is the committee 4 that organizes the scientific program of the annual 5 meeting with more than 3,000 contributed talks and 6 papers. And when I chaired the program committee, I 7 was, in effect, the director of the scientific meeting 8 picking the major talks, the symposia, organizing the 9 poster sessions and so forth. 10 The education committee is a committee that 11 promotes and supports scientific education at all 12 levels. Almost all of our members teach at one 13 university level or another, whether it's at the 14 graduate level, perhaps in medical school or 15 undergraduate colleges, and we organize programs to 16 help our members stay abreast of new developments in 17 teaching technology and to promote science teaching 18 and education. 19 The committee also has, as does the society, 20 a very strong interest in promoting K through 12 21 science education throughout the country, and we often 22 weigh in on important issues that we believe affect 23 the future of science education in the country. 24 Q. How do you become a chair of these 25 committees?</p>
<p style="text-align: right;">Page 35</p> <p>1 first page still, to professional service and 2 associations, it appears that you are a member of a 3 number of professional associations, for instance, the 4 American Association for the Advancement of Science. 5 What is that? 6 A. The American Association for the Advancement 7 of Science is, I believe, the largest scientific 8 organization in the United States. It has tens of 9 thousands of members. It includes scientists of all 10 disciplines. And it probably, if any single 11 organization can fairly be said to speak for the 12 scientific community of the United States, it is that 13 association. It's often called simply AAAS. 14 Q. And I note you're also a member of the 15 American Society for Cell Biology. What is that? 16 A. The American Society for Cell Biology is one 17 of the largest organizations of experimental 18 biologists in the United States. It has seven or 19 8,000 members. As many as 12,000 people attend its 20 annual meetings. And it is one of the, as I said, 21 major organizations promoting experimental biology in 22 the country. 23 Q. Now, I note you have held a number of 24 positions as -- for instance, the chair of the 25 American Society for Cell Biology program committee.</p>	<p style="text-align: right;">Page 37</p> <p>1 A. I'm often -- when one is named a chair, one 2 receives both congratulations and condolences at the 3 same time. I believe that I was named the chair of 4 the program committee because the newly-elected 5 president of the society in that year, Susan Gerbi, 6 was a colleague of mine and she wanted to leave her 7 imprint on the scientific meeting, and therefore she 8 was very comfortable with me heading the program 9 committee. You might say that I got that job through 10 the old girl network. 11 The education committee, however, is a 12 different matter. I have been interested in education 13 for quite a long time. I spend a lot of my time and 14 energy teaching at the university level, and I've also 15 been involved in writing textbooks at both the college 16 and the high school level. 17 My colleagues on the committee and 18 colleagues in the society are aware of that and 19 several elected councils of the society thought that I 20 would be basically the best person to chair that 21 committee. 22 Q. I note you're also the past editor of a 23 number of journals, for instance, the Journal of Cell 24 Biology, the Journal of Cell Sciences, Advances in 25 Cell Biology. First of all, what are these</p>

<p style="text-align: right;">Page 38</p> <p>1 publications?</p> <p>2 A. Well, the two journals that you mentioned</p> <p>3 are two of the leading journals in the field of cell</p> <p>4 biology. And I served a term as one of a panel of</p> <p>5 editors on each of these journals, and my function in</p> <p>6 that respect was to take manuscript submissions,</p> <p>7 scientific papers that were forwarded to me by the</p> <p>8 editor-in-chief of the journal, papers that had been</p> <p>9 submitted for publication, pick out referees or</p> <p>10 reviewers, often two or three or four scientists to</p> <p>11 critique those, look for scientific flaws, decide if</p> <p>12 they should be revised and decide if they have</p> <p>13 publishable quality. They would then report back to</p> <p>14 the editor.</p> <p>15 I would then make an initial decision, all</p> <p>16 editors do, on whether or not they were suitable for</p> <p>17 publication, whether or not they needed to be revised,</p> <p>18 whether or not they should be rejected, and forward</p> <p>19 that decision to the editor-in-chief, who would then</p> <p>20 make the final decision.</p> <p>21 In the case of the series Advances in Cell</p> <p>22 Biology, this was a series of monographs, which are</p> <p>23 papers, review papers written by individual</p> <p>24 scientists. And in that case, my authority was</p> <p>25 somewhat greater and somewhat different in that I</p>	<p style="text-align: right;">Page 40</p> <p>1 rigorous to merit attention and publication, you send</p> <p>2 it off to a journal. The journal will then have</p> <p>3 several of your colleagues in the field, people who</p> <p>4 can be disinterested, objective, and critical</p> <p>5 evaluators, tear your paper apart, if they possibly</p> <p>6 can, try to find flaws, try to find problems with it.</p> <p>7 The editor will then mediate whether your paper is</p> <p>8 going to be rejected or perhaps revised a little bit.</p> <p>9 But it is the essence -- peer review is the</p> <p>10 essence of the give and take that goes forward in the</p> <p>11 scientific community to try to ensure, especially in</p> <p>12 leading journals, that the papers that are published</p> <p>13 are scientifically accurate, that they meet the</p> <p>14 standards of the scientific method, and that they are</p> <p>15 relevant and interesting to other scientists working</p> <p>16 in the field.</p> <p>17 Q. If you could turn to Page 6. I note there's</p> <p>18 a heading there that says, Secondary Textbooks and</p> <p>19 Teaching Materials. And if you could flip over to</p> <p>20 Page 7 first. At the top there it says, College</p> <p>21 Textbooks. Are you the author of some college</p> <p>22 textbooks?</p> <p>23 A. Yes, yes, I am. Together with a colleague</p> <p>24 named Joseph Levine, I have coauthored two college</p> <p>25 textbooks in general biology that were published by</p>
<p style="text-align: right;">Page 39</p> <p>1 solicited manuscripts from various scientists who were</p> <p>2 doing cutting-edge work. I asked them to summarize</p> <p>3 their work and the work in the field, and I then</p> <p>4 bundled these 10 or 15 papers a year into this</p> <p>5 proceeding, which was designed to keep scientists</p> <p>6 abreast of cutting-edge developments in the field.</p> <p>7 Q. I'd like to direct your attention to Page 2</p> <p>8 of your curriculum vitae. There's a topic there, it</p> <p>9 says, Scientific Papers. There are a lot of listings</p> <p>10 on Pages 2 through 5. Do you know how many are listed</p> <p>11 there?</p> <p>12 A. Actually, I haven't counted them. I think</p> <p>13 it's in the neighborhood of 45 to 55, somewhere in</p> <p>14 that vicinity.</p> <p>15 Q. Now, the heading there says, Scientific</p> <p>16 Papers. Is there some particular meaning to that?</p> <p>17 A. Yeah, most scientists would understand it</p> <p>18 right away. What this means, in more specific terms,</p> <p>19 is that these are scientific research papers that have</p> <p>20 been published in peer-reviewed scientific journals.</p> <p>21 Q. And this concept of peer review, for us</p> <p>22 non-scientists, what does that mean?</p> <p>23 A. Peer review is the essence of the scientific</p> <p>24 process. It means, basically, that when you've done</p> <p>25 research that you think is sufficiently important and</p>	<p style="text-align: right;">Page 41</p> <p>1 the D.C. Heath Company. That company has now gone out</p> <p>2 of business, and those two textbooks which were</p> <p>3 published in 1990 and 1993 are out of print. At the</p> <p>4 peak of their usage, they were used by more than 200</p> <p>5 colleges and universities around the country.</p> <p>6 We are currently at work on a new</p> <p>7 college-level manuscript, and we hope to have that</p> <p>8 published in the years ahead. I notice -- I mentioned</p> <p>9 the CV was a little bit out of date -- it says,</p> <p>10 Expected publication, 2005, W. H. Freeman Company. We</p> <p>11 and our publishers, Freeman, have had a parting of the</p> <p>12 ways because we had a fundamental disagreement on what</p> <p>13 this book should be like, so we are currently</p> <p>14 considering other offers of publication. So this book</p> <p>15 will not be published this year.</p> <p>16 Q. You mentioned that this book is not still in</p> <p>17 use at the college and university level. Why is that?</p> <p>18 A. It's not still in use because it was last</p> <p>19 copyrighted in 1994, and by science standards, that's</p> <p>20 an ancient text. Science moves so quickly that</p> <p>21 material in a textbook that's ten years old is</p> <p>22 certainly going to be seriously out of date.</p> <p>23 And I think that's one of the reasons why</p> <p>24 even those instructors who liked and really enjoyed</p> <p>25 working from our book would certainly not use it</p>

Page 42	Page 44
<p>1 today, simply because there's too much science that                  2 has passed under the bridge.                  3 Q. Now, if you would flip back to Page 6 of                  4 your curriculum vitae, I note that you have also been                  5 the author of a number of high school textbooks. When                  6 did you first start writing those textbooks?                  7 A. To be perfectly honest, I first started                  8 writing when I was persuaded by Joseph Levine, my                  9 coauthor, that this would be a good thing to do, and                  10 we first started writing our first manuscript in 1982.                  11 Q. And the first publication was in 1990?                  12 A. The first publication was in 1990, so it                  13 took us eight years to go from conceiving and                  14 beginning the manuscript to our first publication.                  15 Q. Now, I note there appear to be -- I don't                  16 know if it's a number of different editions or these                  17 are different books. Could you explain that?                  18 A. Yeah. All of these books have been                  19 published by the Prentice Hall Company, which is now a                  20 division of Pearson Publishing. And I tried on this                  21 to list a number of different editions. The first                  22 book -- they all have catchy titles like Biology.                  23 The first book, you'll notice, is simply                  24 called Biology, and it came out in five different                  25 editions, first through fifth. The second book is</p>	<p>1 working on final revisions for what will be a 2007                  2 copyright of this book, and we are about six months                  3 away from starting on a complete rewrite of the entire                  4 textbook.                  5 Q. Is this a textbook that's used in the Dover                  6 Area School District, to your knowledge?                  7 A. My understanding, sir, is that it is.                  8 Q. And is it used anywhere else besides Dover?                  9 A. It is used in each and every one of the 50                  10 states of the United States and several foreign                  11 countries.                  12 Q. Do you know how many high schools use your                  13 biology book?                  14 A. I can't give you a number in terms of the                  15 number of schools, but I have been told by my                  16 publisher that about 35 percent of the high school                  17 students in the United States use one or another of                  18 the various textbooks we've been discussing.                  19 Q. And what topics are covered in this biology                  20 textbook?                  21 A. Soup to nuts. We start out with the nature                  22 of science, the nature of biology. We talk about the                  23 structure of the cell, cell biology. We talk about                  24 molecular biology and genetics, ecology, evolution.                  25 We do a phylogenetic survey, which is a biologist's</p>
Page 43	Page 45
<p>1 called, Biology, the Living Science. It came out in                  2 two editions. The third book, we liked that original                  3 title, I guess, and just went back to plain old                  4 Biology, but that is an entirely different book from                  5 the earlier Biology.                  6 High school teachers, I have to say, have a                  7 way to distinguish these books. They name them by the                  8 animals on their cover. So high school teachers will                  9 know the first book is the elephant book, the second                  10 book is the lioness book, and the current book, the                  11 one near the bottom, as the dragonfly book.                  12 So altogether, these books have -- there                  13 have been three different books, and they have                  14 appeared in the neighborhood of 11 or 12 different                  15 editions.                  16 Q. I show you what's been marked as Plaintiffs'                  17 Exhibit 31. Is this the cover of the dragonfly book                  18 that you mentioned?                  19 A. Yes, sir, it is.                  20 Q. And this is the 2004 edition?                  21 A. This, I believe, is the cover of the 2004                  22 copyright, correct.                  23 Q. And are you working on yet another edition                  24 of this book?                  25 A. Yes, sir. This weekend Joe and I were</p>	<p>1 term for looking at all the various categories of                  2 living things, and we conclude the book by looking at                  3 the various systems of the human body.                  4 So we try to provide in the book not a                  5 curriculum, but a resource bank from which teachers                  6 can draw as they put their curriculum together for the                  7 types of courses that students need to take in                  8 Pennsylvania and other states to meet state                  9 requirements.                  10 Q. And as part of your process in writing and                  11 developing these books, are you familiar with, say,                  12 the competition, competing high school biology                  13 textbooks?                  14 A. Certainly. It is a free market and a                  15 competitive market, and it always pays to keep an eye                  16 on the competition, so I keep an eye on the other                  17 books, as well. And they do the same for us, of                  18 course.                  19 Q. And do you send your manuscripts, if that's                  20 the right term, to high school teachers for feedback                  21 about whether the subject is presented right or for                  22 any reason?                  23 A. Yes, we do.                  24 Q. And why do you do that?                  25 A. We do that for a couple of reasons. Joe and</p>

1 I are presumed to know the scientific field, but every  
2 time we write a chapter and we edit our chapters for  
3 each other, we, first of all, send it to a scientific  
4 expert to make sure that we've got the science right.  
5 Even if it's my own field of cell biology, I'm eager  
6 to see a critical opinion from another researcher to  
7 see if I got it right.

8 But we also send these chapters to  
9 individual experts in secondary school education,  
10 individual high school teachers, and focus groups or  
11 panels of high school educators to critique whether or  
12 not we have explained things in a way that they think  
13 their 14- and 15-year-old students will understand,  
14 whether the text is interesting, and whether the text  
15 is going to be helpful to them in the classroom in the  
16 goal of getting students turned on to science.

17 Q. So do you make changes in each subsequent  
18 edition in response to the feedback you've gotten from  
19 high school teachers?

20 A. Yes, we do, quite a few changes.

21 Q. Now, isn't it unusual for a research  
22 scientist to also be a high school textbook author?

23 A. I suppose it is.

24 Q. Why do you do it?

25 A. Originally, when I was approached by

1 Dr. Levine, I told him to take a hike. I said I  
2 wasn't interested in this. At the time I was a few  
3 months short of a tenure decision, and the only thing  
4 that matters at a research university is getting my  
5 scientific papers out, getting my grants funded, and  
6 getting the respect of my colleagues in the field.

7 But he managed to show me a few existing  
8 books that were used in high schools, and he pointed  
9 out at the time I had two young daughters and most  
10 scientists would like nothing more than to see their  
11 children go into science.

12 And as I leafed through the books, they were  
13 all perfectly okay, but I found two problems with  
14 them. One is they were dreadfully boring. I couldn't  
15 look at these books and imagine why anyone would want  
16 to go into science. And then the second thing is,  
17 they sort of gave the impression that everything had  
18 been discovered. And any person in experimental  
19 science knows that's just not true.

20 So I called Joe back, and I said, Joe, let's  
21 do this, because I'd like to write a book with you  
22 that would turn kids on to science, that would tell  
23 them about the great unexplored territory that lies  
24 out there and would tell them that the most  
25 interesting thing one can possibly do, short of a

1 career in law, of course, is to have a career in  
2 science.

3 Q. Have you ever testified in court before as  
4 an expert witness?

5 A. No, sir, I have never testified in court as  
6 an expert witness.

7 Q. Have you testified in court on the subject  
8 of biology and evolution as you will be doing today?

9 A. Well, earlier, actually last year, I did  
10 testify in federal court as a fact witness in a trial  
11 that related to the teaching of evolution.

12 Q. And what was that case?

13 A. I believe you'll correct me if I have this  
14 slightly wrong, but the case is known as Selman versus  
15 Cobb County. And it concerned a case in which the  
16 Cobb County Board of Education had attached a warning  
17 sticker to all textbooks that contained material about  
18 evolution. And this warning sticker or this label had  
19 a three-sentence admonition to students.

20 A number of parents, as I understand the  
21 case, a number of parents in the district objected to  
22 this sticker being placed on textbooks. They filed a  
23 lawsuit in federal court. I was contacted by  
24 attorneys for the plaintiffs. They pointed out that  
25 my book was one of the ones that had had the sticker

1 placed on it, and they asked me if I could come as a  
2 witness of fact to tell the Court how textbooks are  
3 put together, what the decisions were that I made into  
4 my textbook, and perhaps also to comment on whether or  
5 not I thought the sticker was an appropriate tool to  
6 advance education.

7 Q. And you did, in fact, testify, I believe it  
8 was in November of 2004, in the Selman case?

9 A. Yes, sir, that's correct, I did.

10 Q. I'll ask you about your experience with  
11 creationism and creationists. Have you been involved  
12 with the creationist movement?

13 A. I suppose you could say I have been involved  
14 with the movement, yes.

15 Q. And could you tell us how you got into this?

16 A. The very first year that I taught at Brown  
17 University, in the fall I taught part of a very large  
18 freshman-level introductory biology course. So a lot  
19 of students saw me as a new professor at Brown, and I  
20 guess they rather liked my energy, enthusiasm, and  
21 teaching style.

22 And in the spring, when I was not teaching,  
23 I was setting up my research laboratory, a group of  
24 students came to me and they said, we really like your  
25 lectures in Bio 11, which was the course. I said,

<p style="text-align: right;">Page 50</p> <p>1 gee, thanks a lot.                  2 And they said, there's a fellow whom the                  3 Christian students association is bringing to campus.                  4 His name is Henry Morris. He is the founder and the                  5 president of the Institute for Creation Research in                  6 California, and he has dared any scientist on campus                  7 to debate him. You're pretty good at giving lectures,                  8 why don't you debate this guy? And at first I told                  9 the students, no, I'm not interested. And they said,                  10 why? And I said, because I'm a cell biologist, I'm                  11 not an evolutionary biologist. I want to set up my                  12 research lab, so please go away.                  13 But they were very persistent, and they                  14 started to pester me and say, well, does that mean                  15 this guy is right? I said, no, it doesn't mean this                  16 guy is right. And they said, well, if he's not right,                  17 why don't you debate him?                  18 So finally I agreed to go ahead and do this.                  19 I had a couple of conditions I attached to doing that.                  20 I'm glad I did. One of those conditions was that the                  21 students would get me audiotapes, books, and pamphlets                  22 of the so-called creationism or creation science                  23 movement so that I could see what the arguments were                  24 that I was likely to face.                  25 And my recollection is I spent almost four</p>	<p style="text-align: right;">Page 52</p> <p>1 faced in the debate and I put answers out in a small                  2 journal called Creation Evolution so that other people                  3 who might engage in debate could have the benefit of                  4 my research and experience on this.                  5 And I also wrote an article for -- an edited                  6 volume edited by the very distinguished                  7 anthropologist, Ashley Montagu, on scientific                  8 creationism in 1984. So, yes, I have written on the                  9 subject.                  10 Q. I'm going to ask you about your experience                  11 now with intelligent design. Have you been involved                  12 in debates, public debates, over the notion of                  13 intelligent design?                  14 A. Yes, sir, I have.                  15 Q. And when was the first one?                  16 A. Well, the first one I didn't actually know                  17 was going to be about intelligent design. I was                  18 approached by an organization of -- I believe of                  19 largely Evangelical Christians known as the American                  20 Scientific Affiliation, and they asked me if I would                  21 come to their summer meeting, I think it was in                  22 Asheville, North Carolina, it was in North Carolina,                  23 and debate a biochemist from Lehigh University on the                  24 subject of a textbook for public schools called Of                  25 Pandas and People.</p>
<p style="text-align: right;">Page 51</p> <p>1 solid weeks listening to the arguments presented,                  2 looking up the arguments, because many of them were in                  3 geology and physics and astronomy and way outside of                  4 my scientific field, making sure that I understood                  5 them and preparing for that debate.                  6 And we finally debated in April of 1981. We                  7 had the debate, as it turns out, at the largest                  8 building on our campus, which is the hockey rink, and                  9 it drew nearly 3,000 people. It was very interesting.                  10 And I believe, on the basis of reports of a wager made                  11 by the science writer and the religion writer for the                  12 Providence Journal, I believe that I prevailed in the                  13 debate, though one can never say for sure. And over                  14 the next several years, I engaged, I think, in three                  15 more debates with scientific creationists.                  16 Q. And have you also written articles                  17 critiquing creationism? And I guess I would direct                  18 your attention to Page 5 of your curriculum vitae, and                  19 there's a section, Articles in Defense of Scientific                  20 Integrity.                  21 A. Yes, I have. And this section lists three                  22 of them. And these date from the period when I was                  23 debating scientific creationists in the early 1980s.                  24 I wrote an article for teachers in the American                  25 Biology Teacher. I took some of the arguments I had</p>	<p style="text-align: right;">Page 53</p> <p>1 And I had never heard of the book at the                  2 time. They mailed me a copy. I read through the                  3 book. And I was unfamiliar with the person who                  4 opposed me in debate at that time, but his name was                  5 Michael Behe, and as I mentioned, he's a biochemistry                  6 professor from Lehigh University. And that was the                  7 first place where I heard the term "intelligent                  8 design" used in place of the more familiar creation                  9 science, which I had debated with various people in                  10 the early 1980s.                  11 Q. Was this the only debate you had on                  12 intelligent design?                  13 A. No, sir, it isn't. And I'm sorry that I                  14 cannot give you an exact number, but if you count                  15 point counterpoint debates in print, radio debates,                  16 and debates in person, I would expect that probably I                  17 have debated on the issue of intelligent design 12 or                  18 13 times, quite a few more times than I debated                  19 scientific creationism.                  20 Q. And you have also written articles about                  21 intelligent design. I direct your attention to Page 6                  22 under Essays and Reviews. Now, are some of these                  23 articles about the concept of intelligent design?                  24 A. Yes, sir, they are. The 1994 article called                  25 Life's Grand Design in Technology Review actually</p>

Page 54

1 foreshadowed many of the arguments of intelligent  
2 design, so it clearly was on that issue.  
3 And then the last three articles that are  
4 listed, the one in Natural History magazine, the one  
5 in 2003 in the volume edited by Neil Manson, and the  
6 one in 2004, which is listed there in press but now,  
7 in fact, has been published -- I said this was just a  
8 tad out of date -- all of these deal with intelligent  
9 design.  
10 Q. I want to talk about one more listing on  
11 your curriculum vitae, and that's on Page 7 under  
12 General Audience Books. There is one book there that  
13 I think has a provocative title, Finding Darwin's God.  
14 What's that about?  
15 A. I meant the title to be provocative. This  
16 is a general audience book or a trade book, as  
17 publishers call it. And one of the experiences that I  
18 had over the years appearing in public and talking  
19 about evolution is that many people would tell me that  
20 no matter how compelling the scientific arguments were  
21 that I made in favor of evolution, they were bothered  
22 by the fact that it was perfectly obvious that  
23 evolution was an inherently atheistic or God-denying  
24 theory.  
25 And I'd just sort of shake my head and shrug

Page 55

1 and say, I don't think so, and point out the fact that  
2 I'm a person of faith and a regular churchgoer, and I  
3 certainly don't see any conflict. And they would ask  
4 me to explain, and I would explain. Another day I  
5 would explain, another day I would explain again. And  
6 finally I decided, you know, I should probably write a  
7 book about this because a lot of people are  
8 interested.  
9 So I wrote a book called Finding Darwin's  
10 God, and the subtitle of that book I think is more  
11 revealing of content, and that is, A Scientist's  
12 Search for Common Ground Between God and Evolution.  
13 And what I tried to do in the book was twofold, first  
14 to explain why science, sciences and the scientific  
15 community, find evolution to be so useful, so  
16 valuable, and so compelling as a scientific  
17 explanation, and then, secondly, to explain how a  
18 person of faith -- although I'm a Roman Catholic, I  
19 tried to construe this in a vary broad way so that I  
20 would say how a person following any of the great  
21 Abrahamic religions could appreciate evolution in the  
22 context of their faith. And I hope very much I was  
23 successful in doing that.  
24 Q. Now, that's not a scientific publication,  
25 you said that's a trade publication?

Page 56

1 A. It certainly is not a scientific  
2 publication. Everything that a scientist writes or  
3 says is not necessarily a scientific statement or a  
4 scientific publication.  
5 MR. WALCZAK: Your Honor, at this time we  
6 would proffer Dr. Miller as an expert in biology,  
7 evolution, instructional biology materials for high  
8 school students, creationism, and intelligent design.  
9 THE COURT: All right. Thank you.  
10 Cross-examination?  
11 MR. MUISE: Your Honor, pursuant to the  
12 stipulation of the parties, we would agree that the  
13 experts are qualified to testify within their area of  
14 expertise, the only exception being plaintiffs' expert  
15 Barbara Forrest, which we will then, at that time,  
16 take the opportunity to voir dire. But we don't have  
17 any objections based on that stipulation.  
18 THE COURT: I understand. Thank you,  
19 Mr. Muise. You may proceed. And he is admitted for  
20 that purpose for the record.  
21 MR. WALCZAK: Thank you.  
22 THE WITNESS: Thank you, Your Honor.  
23 BY MR. WALCZAK:  
24 Q. Dr. Miller, I want to ask you five questions  
25 to elicit your opinions about the big issues in this

Page 57

1 case. Do you have an opinion about whether evolution  
2 is a testable theory that is accepted by the  
3 scientific community?  
4 A. Yes, sir, I do.  
5 Q. And what is your opinion?  
6 A. My opinion is that evolution is an eminently  
7 testable theory and that it is broadly and generally  
8 accepted by the scientific community.  
9 Q. Do you have an opinion about whether  
10 intelligent design is a testable theory that is  
11 accepted by the scientific community?  
12 A. Yes, I do.  
13 Q. And what is that opinion?  
14 A. My opinion is that intelligent design is not  
15 a testable theory in any sense, and that as such, it  
16 is not generally accepted by the scientific community.  
17 Q. Do you have an opinion about whether  
18 intelligent design is or even can be properly  
19 considered a scientific theory?  
20 A. Yes, I do.  
21 Q. And what is that opinion?  
22 A. My opinion is that intelligent design is not  
23 science, and therefore it cannot be construed as a  
24 scientific theory in any sense whatsoever.  
25 Q. Do you have an opinion about whether

<p style="text-align: right;">Page 58</p> <p>1 intelligent design is a particular religious view,                  2 namely a form of creationism?                  3 A. Yes, sir, I do.                  4 Q. And what is that opinion?                  5 A. I believe that intelligent design is                  6 inherently religious and it is a form of creationism.                  7 It is a classic form of creationism known as special                  8 creationism.                  9 Q. Do you have an opinion about whether the                  10 four-paragraph statement read by the Dover School                  11 District promotes students' understanding of evolution                  12 in particular and science generally?                  13 A. Yes, I do.                  14 Q. And what is your opinion?                  15 A. I think the statement by the Dover Board of                  16 Education falsely undermines the scientific status of                  17 the theory of evolution, and therefore it certainly                  18 does not promote student understanding or even                  19 critical thinking, and I think it does a great                  20 disservice to science education in Dover and to the                  21 students of Dover.                  22 Q. Let's now explore the basis for your                  23 opinions. What is science?                  24 A. You ask a good question. It's useful, I                  25 think, to parse it to where the word comes from. The</p>	<p style="text-align: right;">Page 60</p> <p>1 replication, test and examination by other scientists.                  2 For example, I could never publish a result saying I                  3 had made an observation on a particular protein                  4 without also telling people what my methods were and                  5 how I made that observation. And the point is to make                  6 my work and my observation testable.                  7 And then the final and sort of open rule                  8 basically is that science is always an activity in                  9 which everything in science is open to critical                  10 examination, replication, peer review, and discussion                  11 by other scientists.                  12 Q. Is this just a view held by Professor                  13 Miller?                  14 A. No, I don't think so. I think the way I                  15 have described science and the process of science                  16 would be generally held by most members in the                  17 scientific community.                  18 Q. I'd like to direct your attention to what's                  19 been marked as Plaintiffs' Exhibit 649. Do you                  20 recognize this publication?                  21 A. Yes, sir, I do.                  22 Q. I note at the bottom it says, National                  23 Academy of Sciences. Now, this is an organization                  24 that we're going to be hearing about repeatedly. What                  25 is the National Academy of Sciences?</p>
<p style="text-align: right;">Page 59</p> <p>1 word "science" comes from the Latin word scientias,                  2 which means knowledge. And in the most general sense,                  3 the word "science" is sometimes used to just say                  4 learning systematic knowledge, for example, library                  5 science or political science.                  6 But I think that in the context in which the                  7 word "science" is going to be used in this case, what                  8 we mean by "science" is what we would call natural                  9 science, sciences such as chemistry, physics, and                  10 astronomy. And natural sciences I think are best                  11 described as the systematic attempt to provide natural                  12 explanations for natural phenomena.                  13 Q. Are there rules for scientific inquiry?                  14 A. Yes, there are.                  15 Q. And what are these rules?                  16 A. Well, you just heard one of the rules in the                  17 definition of science, which is that science tries to                  18 provide natural explanations for natural phenomena.                  19 So one of the most basic rules of science is that we                  20 tend -- what we require, the practitioners of science                  21 seek their explanations in the world around us, in                  22 things we can test, we can observe, and we can verify.                  23 Now, there are certain rules of procedure,                  24 as well. And among those are that scientific inquiry                  25 must be open, that it must be subject to duplication,</p>	<p style="text-align: right;">Page 61</p> <p>1 A. Well, if my recollection serves me well, the                  2 National Academy of Sciences is an organization that                  3 was established by act of Congress, I believe when                  4 Abraham Lincoln was president, and it consists of the                  5 elite and most accomplished scientists in every                  6 scientific field.                  7 One of the greatest honors that an American                  8 scientist or, actually, even a foreign scientist,                  9 because we have foreign associate members in our                  10 national academy, one of the greatest honors that a                  11 scientist can receive is to be tapped for membership                  12 in the National Academy of Sciences.                  13 I believe the National Academy of Sciences                  14 is also charged with advising the president and the                  15 Congress on matters of scientific interest and                  16 importance.                  17 Q. Are the publications of the National Academy                  18 of Sciences something that are reasonably relied on by                  19 scientists in the field?                  20 A. Absolutely, yes.                  21 Q. I'd like to direct your attention to Page 27                  22 of Exhibit 649. I've asked you before to highlight a                  23 passage on this page. Is that correct, Dr. Miller?                  24 A. Yes, you have.                  25 Q. Could you please read for the record the</p>

<p style="text-align: right;">Page 62</p> <p>1 highlighted passage?                  2 A. Be glad to. This is the opening of the                  3 third section of this book, and it opens basically by                  4 defining science. And it says, and I quote, Science                  5 is a particular way of knowing about the world. In                  6 science, explanations are restricted to those that can                  7 be inferred from confirmable data, the results                  8 obtained through observations and experiments that can                  9 be substantiated by other scientists. Anything that                  10 can be observed or measured is amenable to scientific                  11 investigation. Explanations that cannot be based on                  12 empirical evidence are not part of science.                  13 Q. Do you agree with that statement?                  14 A. I certainly do.                  15 Q. How long have these rules of science been in                  16 effect?                  17 A. I'm tempted to say forever, but I think                  18 certainly for the last 200 years of contemporary                  19 science, the notion that science -- in other words,                  20 all of the 19th Century and all of the 20th Century                  21 and now into the 21st -- the notion that science can                  22 only deal with empirical data, what we can see, what                  23 we can observe, and what we can measure, has been part                  24 of the common understanding of science in all people                  25 in all cultures.</p>	<p style="text-align: right;">Page 64</p> <p>1 three games down against the New York Yankees was                  2 because God was tired of George Steinbrenner and                  3 wanted to see the Red Sox win.                  4 In my part of the country, you'd be                  5 surprised how many people think that's a perfectly                  6 reasonable explanation for what happened last year.                  7 And you know what, it might be true, but it certainly                  8 is not science, it's not scientific, and it's                  9 certainly not something we contest. So, yes, those                  10 rules certainly apply.                  11 Q. Does science consider issues of meaning and                  12 purpose in the universe?                  13 A. To be perfectly honest, no. Scientists                  14 think all the time about the meaning of their work,                  15 about the purpose of life, about the purpose of their                  16 own lives. I certainly do. But these questions, as                  17 important as they are, are not scientific questions.                  18 If I could solve the question of the meaning                  19 of my life by doing an experiment in the laboratory, I                  20 assure you I would rush off and do it right now. But                  21 these questions simply lie outside the purview of                  22 science. It doesn't say they're not important, it                  23 doesn't say that any answer to these is necessarily                  24 wrong, but it does say that science cannot address it.                  25 It's a reflection of the limitation of science.</p>
<p style="text-align: right;">Page 63</p> <p>1 Q. So science doesn't -- these rules don't just                  2 apply in the United States?                  3 A. No, sir, they don't. I think science might                  4 be the closest thing we have on this planet to a                  5 universal culture, and these rules apply everywhere.                  6 Q. Why are these rules important?                  7 A. These rules are important because if you                  8 don't have these rules, you don't have science. The                  9 entire -- human beings are fallible, and I mentioned                  10 that science is a human activity. It's a systematic                  11 search for natural explanations for natural phenomena.                  12 And if you invoke a non-natural cause, a                  13 spirit force or something like that in your research                  14 and I decide to test it, I have no way to test it. I                  15 can't order that from a biological supply house, I                  16 can't grow it in my laboratory. And that means that                  17 your explanations in that respect, even if they were                  18 correct, were not something I could test or replicate,                  19 and therefore they really wouldn't be part of science.                  20 Q. So supernatural causation is not considered                  21 part of science?                  22 A. Yeah. I hesitate to beg the patience of the                  23 Court with this, but being a Boston Red Sox fan, I                  24 can't resist it. One might say, for example, that the                  25 reason the Boston Red Sox were able to come back from</p>	<p style="text-align: right;">Page 65</p> <p>1 Q. Could you briefly tell us, how is it that                  2 scientists do their work? How is it that you approach                  3 a particular problem?                  4 A. There are probably as many ways to approach                  5 scientific problems as there are scientists. But I                  6 think one of the key questions, one of the key aspects                  7 of this is thinking of a question. Now, that's, in                  8 many ways, the hardest thing to do. But what we try                  9 to do is to look at the natural world and try to                  10 narrow down a specific question from the point of view                  11 that we can develop a very specific testable                  12 hypothesis about that question.                  13 And in many ways, that's the greatest art of                  14 being a scientist, because no one tells you how you                  15 come up with good questions. But a good question is                  16 one that is important, the result will be interesting                  17 to other people, other scientists, as well, it will                  18 shed light on a natural biological or physical or                  19 chemical process, and we can phrase a hypothesis about                  20 it in a way that we can actually devise a test.                  21 And once we frame that really good                  22 hypothesis, we do an experiment, we go into the field,                  23 we look for evidence, we do measurements, we make                  24 observations, and we try to gather the data that will                  25 be sufficient to confirm or refute the hypothesis.</p>

<p style="text-align: right;">Page 66</p> <p>1 And if we confirm it, we don't consider it  2 to be proven, you never prove anything in science, but  3 we consider it to be supported, and then very often we  4 go on and ask another tough question about the same  5 hypothesis. If the hypothesis is refuted, we discard  6 it, go back, think of a better idea. That's as close  7 as I can come to a good description.</p> <p>8 Q. So after you have the hypothesis, after  9 you've gone and done the experimentation or  10 observation, is there something you do with the data  11 after that?</p> <p>12 A. Oh, excuse me, I'm talking about the work of  13 an individual scientist. And if you think you either  14 have the data that refutes an important hypothesis or  15 data that tends to support and confirm an important  16 hypothesis, if you think this will be of interest to  17 other people in the scientific community, you then  18 gather up your methods, your procedures, your  19 experimental data, might be photographs, might be  20 diagrams, results, tables, gels that we run in the  21 laboratory, something along those lines, and you put  22 them into a scientific publication. You write a paper  23 and you send that paper to a reputable, hopefully a  24 prestigious, if you think it's important work,  25 scientific journal, and you immediately subject it to</p>	<p style="text-align: right;">Page 68</p> <p>1 journal or if it can be accepted in the journal if I  2 make a few changes, corrections, do another  3 experiment, or basically if I should be sent back to  4 the drawing board saying, this is not worthy of  5 publication in our journal.</p> <p>6 The Journal of Cell Biology, for which I  7 served a term as editor, had a rejection rate of about  8 60 percent, which meant that six papers out of ten  9 were simply sent back saying, we're not going to  10 publish this.</p> <p>11 Q. So unless a theory meets these rules of  12 science and has gone through these procedures of  13 science, can it be accepted as a scientific theory?</p> <p>14 A. Well, you've actually jumped from sending a  15 scientific paper in to what constitutes a theory and  16 how can a theory be accepted. I have never done any  17 research so grand that I would have described in any  18 of those papers a new theory that I have. Hypotheses,  19 yes, but theories are a whole other level of  20 understanding.</p> <p>21 Theories are broad, useful, powerful  22 generalizations that explain and unite a broad range  23 of facts. Theories have to make testable predictions,  24 because otherwise they're not useful as theories. If  25 a theory is enunciated to explain a natural process,</p>
<p style="text-align: right;">Page 67</p> <p>1 peer review and criticism by your colleagues.  2 Q. Now, is this peer-review process important?  3 Tell us a little bit of how it works.</p> <p>4 A. It's exquisitely important. You don't have  5 science without it. And the way in which it works is,  6 for example, I will write up my research in the manner  7 that I have just described and send it off, perhaps,  8 to Nature or the Journal of Cell Biology or something  9 along those lines.</p> <p>10 An editor at the other end will read my  11 work, will consult, perhaps, with other editors, try  12 to find three or four experts in the field who are  13 knowledgeable about the kind of work I'm doing and the  14 questions I'm asking, send it out for review. Those  15 people will then examine the paper. They'll look for  16 methodological flaws. Perhaps I used the wrong  17 reagent, perhaps I used the wrong reaction  18 temperature. They'll look for logical flaws. Perhaps  19 the experimental results I got don't really mean what  20 I think they mean. And they'll also look for novelty.</p> <p>21 And by novelty, if the work I'm doing just  22 confirms a hypothesis that has already been abundantly  23 confirmed, nobody really cares, and that's what I mean  24 about novelty. They will then decide if my paper is  25 absolutely fabulous and should go right into the</p>	<p style="text-align: right;">Page 69</p> <p>1 it has to make predictions that lead to testable  2 hypotheses so that people can go into the laboratory,  3 can make those tests, and can tend to confirm or  4 refute the theory.</p> <p>5 Q. But if a theory does not meet these ground  6 rules of science, testability, observability, they are  7 not considered scientific?</p> <p>8 A. It's just not a scientific theory, that's  9 correct. And my tongue-in-cheek explanation of the  10 baseball playoffs last year falls into exactly that  11 category. It's not a theory because it's not  12 scientific and it's not testable.</p> <p>13 Q. Now, this nonscientific theory, does that  14 mean its wrong?</p> <p>15 A. Oh, of course not. I also said, again,  16 thinking about that silly example, a lot of people in  17 my part of the country think that's absolutely true.  18 Explanations that lie out of science can be true, but  19 they're not scientific. And I think that applies to  20 the sort of theory that you were talking about.</p> <p>21 MR. WALCZAK: Your Honor, I know, has  22 indicated that we'll take periodic breaks, and this is  23 actually a good breaking point for us.</p> <p>24 THE COURT: Yes, I think it's an opportune  25 time for us to break. Let's break for a reasonable</p>

Direct/Walczak - Dr. Miller

Page 70

1 interval. We'll see what we'll do as far as the  
2 duration of the breaks as we go, but we'll probably  
3 take at least 20 minutes, I would say, so that people  
4 can have an ample break. We may take longer if we  
5 need to. So this will be our midmorning break, and  
6 we'll stand in recess.  
7 (Recess taken.)  
8 THE COURT: Mr. Walczak, you may continue.  
9 MR. WALCZAK: Thank you, Your Honor.  
10 BY MR. WALCZAK:  
11 Q. Dr. Miller, I want to shift gears. We just  
12 talked about the science and the nature of science,  
13 and I want to now move to the topic of evolution.  
14 What is evolution?  
15 A. You always ask good questions.  
16 Q. Thank you.  
17 A. Most biologists would describe evolution as  
18 a process of change over time that characterizes the  
19 natural history of life on this planet.  
20 Q. And are there certain core propositions to  
21 evolutionary theory?  
22 A. Yeah, I think there are, and I think  
23 basically there are three. And the first one is the  
24 observation that life really has changed over time,  
25 that the life of the past is different or was

Page 71

1 different from the life of the present, and that the  
2 natural history of this planet is characterized by a  
3 process of change over time.  
4 The second thing, the second core element, I  
5 guess, is the principle of common descent, and that is  
6 the notion that living things are united by a core of  
7 common ancestry, that living things, if you trace them  
8 back far enough, show common ancestors that gave rise  
9 to the many forms of life today.  
10 And the third core proposition and I think  
11 probably the simplest way to state it is the process  
12 that drove that change through time from common  
13 ancestors and common descent is driven by forces and  
14 principles and actions that are observable in the  
15 world today. And the key, therefore, is that we can  
16 understand how evolution works by looking at what's  
17 happening in the world around us today.  
18 Q. And is there a name for that force that  
19 drives the change?  
20 A. The force that drives the change, actually,  
21 there are many individual forces and processes. Many  
22 of them are united under the term of "natural  
23 selection."  
24 Q. Now, there's a gentleman named Charles  
25 Darwin who played some role here. I was wondering,

Page 72

1 who was Charles Darwin?  
2 A. Charles Darwin was a British naturalist who  
3 was born on February 12th, 1809. If memory serves me  
4 well, that's a better-than-average day for the history  
5 of humankind because Abraham Lincoln was born on  
6 exactly the same day.  
7 He lived in Great Britain, he studied  
8 natural history and studied theology, became a  
9 naturalist, traveled around the world on a British  
10 ship called the Beagle, made a number of very  
11 interesting observations during that trip and came  
12 back from that trip to think, to write, critique his  
13 ideas for many years, and then wrote a series of books  
14 which are the foundation of what we consider to be  
15 modern evolutionary theory.  
16 Q. And what was Darwin's contribution to  
17 evolution?  
18 A. Well, one of the -- I think the most  
19 interesting and oftentimes overlooked aspects is that  
20 the first core proposition of evolution, which is that  
21 life has changed over time, was actually appreciated  
22 well before Darwin was born.  
23 The great French naturalist Cuvier  
24 recognized that the fossils told a record of life in  
25 the past and that that record was a record of change,

Page 73

1 and that as life changed into the present, new  
2 organisms appeared and old organisms went extinct. So  
3 the process of change, what we sometimes today simply  
4 call the process of evolution, that was understood  
5 well before Darwin.  
6 What Darwin did for the first time was to  
7 propose a plausible, workable, and ultimately testable  
8 mechanism for the processes that drove that change,  
9 and that is the mechanism of natural selection.  
10 Q. And has evolutionary theory stood still  
11 since Darwin's time or has it evolved?  
12 A. It has -- nothing in science stands still,  
13 and that's true of evolutionary theory, as well.  
14 Charles Darwin lived and worked and wrote at a time  
15 when, for the most part, scientists were unaware of  
16 the existence of genes, of macromolecules, certainly  
17 of DNA, and a host of other tools and techniques by  
18 which we study biology today.  
19 And to me, as a scientist, the most  
20 remarkable thing about evolutionary theory is that as  
21 the science of biochemistry has developed, as the  
22 science of cell biology, genetics, molecular biology,  
23 and other elements of science have developed, all of  
24 these have fit beautifully into the general framework  
25 described by Darwin almost 150 years ago.

<p style="text-align: right;">Page 74</p> <p>1 Q. So the evolutionary theory draws on many 2 branches of science? 3 A. Yes, it does. 4 Q. How has the emergence of modern genetics and 5 molecular biology affected scientists' views of 6 evolution? 7 A. Well, genetics really is the first one and I 8 think in some historical respects the most interesting 9 within. Charles Darwin, towards the end of his life, 10 was worried about something, and what he was worried 11 about was that favorable characteristics that might 12 appear in organisms might be blended away as they had 13 to mate to reproduce. 14 So if an individual showed up with a really 15 good characteristic that could be favored by natural 16 selection, its offspring might only have half of that 17 characteristic because Darwin thought that the 18 inheritance of organisms blended in their offspring, 19 and the next generation a quarter and the next 20 generation an eighth, and after a while, no matter how 21 favorable the variation was, it would be gone. 22 Well, the discovery of genetics, beginning 23 with Gregor Mendel in the 1850s, suddenly answered 24 Darwin's most profound concern because it showed that 25 genetics, inheritance, is particulate. And what I</p>	<p style="text-align: right;">Page 76</p> <p>1 breeders have done the same thing for years. This was 2 the methodology of Luther Burbank when he developed 3 all sorts of beneficial strains of plants. 4 And Darwin was enough of a naturalist to 5 realize that the same process of selection actually 6 happens in nature. Darwin pointed out there's a 7 struggle for existence, whether we like to admit it or 8 not, and not all organisms are able to pass their 9 genes on to the next generation. Those that do the 10 best in that struggle for existence -- and it's not 11 just a struggle to survive, it's a struggle to find 12 mates, to reproduce, and to raise those offspring. So 13 in many respects things that are very cooperative are 14 important in this struggle. 15 Darwin realized that those organisms that 16 had the characteristics that suited them best in that 17 struggle, those were the ones that were going to leave 18 their characteristics in the next generation, and he 19 realized that's pretty much what plant and animal 20 breeders do, and therefore over time the average 21 characteristics of a population could change in one 22 direction or another and they could change quite 23 dramatically. And that's the essential idea of 24 natural selection. 25 Q. And what Darwin didn't understand was</p>
<p style="text-align: right;">Page 75</p> <p>1 mean by that sort of a jargon term in science is that 2 our inheritance is controlled by individual units 3 called genes which are passed from one generation to 4 the next. 5 And that solved Darwin's problem because it 6 showed that inheritance is not really a blending and 7 that these favorable characteristics can actually be 8 preserved. So modern genetics, basically, we might 9 say, came to the rescue of a potential problem in 10 evolutionary theory. 11 Things got better when molecular biology 12 added the dimension of DNA and RNA, because for the 13 first time we could understand how evolution could 14 work right down to the level of the molecule. And in 15 every respect, it provided a dramatic confirmation to 16 that general framework. 17 Q. I think maybe we should take a step back and 18 maybe I can ask you to explain the whole concept of 19 natural selection. What are we talking about here? 20 A. Well, Darwin and other people were impressed 21 at how much plant and animal breeders could influence 22 the ultimate characteristics by selecting individuals 23 from a breeding population, let's say of horses or 24 rabbits that had a particular characteristic the 25 breeder wanted and allowing them to breed. Plant</p>	<p style="text-align: right;">Page 77</p> <p>1 exactly how that happened because he wasn't -- he 2 didn't have the benefit of genetics at the time? 3 A. The entire process depends scientifically on 4 what that mechanism of inheritance is. Darwin didn't 5 know it. He couldn't have known it. Nobody knew it 6 at the time. And therefore you might say that when 7 modern genetics came into being by the rediscovering 8 of the work of Gregor Mendel, everything in Darwin's 9 theory was at risk, could have been overturned if 10 genetics turned out to contradict the essential 11 elements of evolutionary theory, but it didn't 12 contradict them, it confirmed them in great detail. 13 Q. Now, are you able to give us some examples 14 of how modern genetics has applied to evolutionary 15 theory? 16 A. Well, I can give you quite a few of 17 examples. Would you like me to use a demonstrative 18 that would be useful to the Court? 19 Q. And you have, at my request, prepared a 20 series of slides that will help you to explain this? 21 A. Yes, I have, as a matter of fact. I thought 22 that I would start illustrating this by looking at 23 hemoglobin. Hemoglobin is the protein that makes your 24 blood red. It's the oxygen-carrying protein found in 25 red blood cells.</p>

<p style="text-align: right;">Page 78</p> <p>1 And in the upper right-hand corner of the                  2 slide, there is a molecular diagram of hemoglobin.                  3 It's made up of four parts. Those parts are called                  4 polypeptides, but we can think of them essentially as                  5 four subunits. It has two copies of a part called                  6 alpha-globin and two copies of a part called                  7 beta-globin.                  8 Now, what modern molecular biology has                  9 enabled us to do is to look at exactly where the                  10 instructions are that specify these. And you'll                  11 notice that the beta-globin -- excuse me, the                  12 alpha-globin instructions are specified on Chromosome                  13 Number 16 and the beta-globin instructions are                  14 specified on Chromosome Number 11.                  15 And as our genome does for many genes, we                  16 have multiple copies of these, so we have backups.                  17 We've got extra copies of the alpha-globin genes and                  18 extra copies of the beta-globin genes, and they have                  19 very interesting physiological functions, these                  20 multiple copies, which are not relevant right now and                  21 therefore we won't get into.                  22 But there's something very interesting about                  23 these, and it enables us to test evolution right down                  24 to the level of the molecule. And I want to point                  25 that out by looking at the beta-globin genes on</p>	<p style="text-align: right;">Page 80</p> <p>1 molecule that copies genes, can't bind, and it never                  2 gets expressed.                  3 But even if it did get expressed, it has                  4 five other errors that would keep this, the RNA copy                  5 of this gene, from being translated. It's missing the                  6 start signal. It's got stop codons that would cause                  7 the synthetic apparatus to grind to a halt. It's just                  8 a mess.                  9 Now, the reason that this is important in                  10 evolution is actually very simple, and that is, these                  11 errors appear in a gene, they have no functional                  12 purpose. And you might ask yourself, what would I do,                  13 what would you do if we were to find another organism                  14 that didn't just have similar genes but also had a                  15 pseudogene in the same spot and had the same set of                  16 errors?                  17 There's no reason why evolution would                  18 produce a duplicate set of mistakes in two copies of                  19 things. It must mean that these two organisms are                  20 descended with modification from another organism that                  21 had the same set of mistakes.                  22 And if you go on to the next slide, what I'd                  23 like to show you are three organisms, the gorilla, the                  24 chimpanzee, and the human being that share the exact                  25 same set of molecular mistakes.</p>
<p style="text-align: right;">Page 79</p> <p>1 Chromosome Number 11.                  2 If you could advance the slide, please.                  3 I've zeroed in on the six copies of the beta-globin                  4 gene sequence. Each of these copies is a set of                  5 instructions for how you build this polypeptide. Five                  6 of them work, but one of them doesn't. It's given the                  7 Greek letters psi, beta, and then the number one. And                  8 the psi-beta-1 sequence isn't a gene. It doesn't                  9 work. It's a pseudogene, and a pseudogene is                  10 recognized as a gene because it's so similar to the                  11 other five in its DNA sequence, but it has some                  12 mistakes. It's broken, and it has a series of                  13 molecular errors that render the gene non-functional.                  14 Now, I'd like to show you exactly what those                  15 molecular errors are in the next slide. This is a                  16 blow-up of the pseudogene. These are the portions                  17 that actually do the coding, if it was coded in red                  18 here. And you'll notice that there are six distinct                  19 mistakes in this gene.                  20 Now, I don't know if I really want to try                  21 the patience of the Court in terms of going into the                  22 details of molecular biology, but in a very simple                  23 way, the altered initiator means that the signal that                  24 exists at the front of the gene that says "copy me" is                  25 missing. And therefore RNA preliminaries, the</p>	<p style="text-align: right;">Page 81</p> <p>1 Now, why is this significant? One of the                  2 core principles of evolution is common descent. One                  3 could always argue that because the three species that                  4 I've depicted on this slide are all African species,                  5 that's where they all come from, they're all primates                  6 and they all probably started out living in similar                  7 environments, that the functional parts of this gene                  8 locus, they might work the same. But you cannot argue                  9 that the mistakes should match.                  10 And the fact that all three of these species                  11 have matching mistakes leads us to just one                  12 conclusion, and that's the same conclusion that                  13 Charles Darwin predicted almost a century and a half                  14 ago, and that is that these three species share a                  15 common ancestor. Matching mistakes are evidence of                  16 common ancestry.                  17 Q. And are there other animals that share the                  18 same mistakes?                  19 A. Well, we actually don't know, because there                  20 are two great apes in which we're waiting on the                  21 genome sequence. Those are the orangutan and the                  22 Bonobo, pygmy chimpanzee. And if I had to make a                  23 friendly bet, I'd bet that they do.                  24 But other primates and other mammals, cats,                  25 dogs, horses, they don't have these mistakes. These</p>

Direct/Walczak - Dr. Miller

<p style="text-align: right;">Page 82</p> <p>1 mistakes are unique to the lineage that shows common 2 ancestry of us and these other organisms. 3 Q. Could you give us another example? 4 A. Sure, I'm very happy to. The next slide, 5 this is another test of the evolutionary hypothesis of 6 common ancestry. 7 We have, as I'm sure most people know, 46 8 chromosomes in our human cells. That means we have 23 9 pairs of chromosomes because you get 23 from mom and 10 you get 23 from dad, so we've all got 46 total. We've 11 got 23 pairs. 12 Now, the curious thing about the great apes 13 is they have more. They have, as you can see from the 14 slide, 48 chromosomes, which means they have 24 pairs. 15 Now, what that means, Mr. Walczak, is that you and I, 16 in a sense, are missing a chromosome, we're missing a 17 pair of chromosomes. And the question is, if 18 evolution is right about this common ancestry idea, 19 where did the chromosome go? 20 Now, there's no possibility that that common 21 ancestry which would have had 48 chromosomes because 22 the other three species have 48, there's no 23 possibility the chromosome could have just got lost or 24 thrown away. Chromosome has so much genetic 25 information on it that the loss of a whole chromosome</p>	<p style="text-align: right;">Page 84</p> <p>1 chromosomes, what we should find is in that human 2 chromosome, we should find those telomere sequences 3 which belong at the ends, but we should find them in 4 the middle. Sort of like the seam at which you've 5 glued two things together, it should still be there. 6 And we should also find that there are two 7 centromeres, one of which has, perhaps, been 8 inactivated in order to make it convenient to separate 9 this when a cell divides. That's a prediction. And 10 if we can't find it in our genome, then evolution is 11 in trouble. 12 Next slide. Well, lo and behold, the answer 13 is in Chromosome Number 2. This is a paper that -- 14 this is a facsimile of a paper that was published in 15 the British journal Nature in 2004. It's a 16 multi-authored paper. The first author is Hillier, 17 and other authors are listed as et al. And it's 18 entitled, The Generation and Annotation of the DNA 19 Sequences of Human Chromosomes 2 and 4. 20 And what this paper shows very clearly is 21 that all of the marks of the fusion of those 22 chromosomes predicted by common descent and evolution, 23 all those marks are present on human Chromosome Number 24 2. 25 Would you advance the slide. And I put this</p>
<p style="text-align: right;">Page 83</p> <p>1 would probably be fatal. So that's not a hypothesis. 2 Therefore, evolution makes a testable 3 prediction, and that is, somewhere in the human genome 4 we've got to be able to find a human chromosome that 5 actually shows the point at which two of these common 6 ancestors were pasted together. We ought to be able 7 to find a piece of Scotch tape holding together two 8 chromosomes so that our 24 pairs -- one of them was 9 pasted together to form just 23. And if we can't find 10 that, then the hypothesis of common ancestry is wrong 11 and evolution is mistaken. 12 Go to the next slide. Now, the prediction 13 is even better than that. And the reason for that is 14 chromosomes themselves have little genetic markers in 15 their middles and on their ends. They have DNA 16 sequences, which I've highlighted in here, called 17 telomeres that exist on the edges of the chromosomes. 18 Then they have special DNA sequences at the 19 center called centromeres, which I've highlighted in 20 red. Centromeres are really important because that's 21 where the chromosomes are separated when a cell 22 divides. If you don't have a centromere, you're in 23 really big trouble. 24 Now, if one of our chromosomes, as evolution 25 predicts, really was formed by the fusion of two</p>	<p style="text-align: right;">Page 85</p> <p>1 up to remind the Court of what that prediction is. We 2 should find telomeres at the fusion point of one of 3 our chromosomes, we should have an inactivated 4 centromere and we should have another one that still 5 works. 6 And you'll note -- this is some scientific 7 jargon from the paper, but I will read part of it. 8 Quote, Chromosome 2 is unique to the human lineage of 9 evolution having emerged as a result of head-to-head 10 fusion of two acrocentric chromosomes that remain 11 separate in other primates. The precise fusion site 12 has been located, the reference then says exactly 13 there, where our analysis confirmed the presence of 14 multiple telomere, subtelomeric duplications. So 15 those are right there. 16 And then, secondly, during the formation of 17 human chromosome 2, one of the two centromeres became 18 inactivated, and the exact point of that inactivation 19 is pointed out, and the chromosome that is inactivated 20 in us -- excuse me, the centromere that is inactivated 21 in us turns out to correspond to primate Chromosome 22 Number 13. 23 So the case is closed in a most beautiful 24 way, and that is, the prediction of evolution of 25 common ancestry is fulfilled by that led-pipe evidence</p>

Page 86	Page 88
<p>1 that you see here in terms of tying everything                  2 together, that our chromosome formed by the fusion                  3 from our common ancestor is Chromosome Number 2.                  4 Evolution has made a testable prediction and has                  5 passed.                  6 Q. So what you're testifying here is that                  7 modern genetics and molecular biology actually support                  8 evolutionary theory?                  9 A. They support it in great detail. And the                  10 closer that we can get to looking at the details of                  11 the human genome, the more powerful the evidence has                  12 become.                  13 Q. I'd like you to direct your attention to                  14 Plaintiffs' Exhibit 127. Do you recognize this                  15 document?                  16 A. Yes, I have seen it before. I believe it's                  17 a newsletter produced by the Dover Area School                  18 District.                  19 Q. And, Matt, if you could highlight. I've                  20 highlighted a passage from the second page of the                  21 newsletter, and I would like you to read what has been                  22 highlighted.                  23 A. Sure. Quote, In simple terms, on a                  24 molecular level, scientists have discovered a                  25 purposeful arrangement of parts which cannot be</p>	<p>1 just random chance. And natural selection is the most                  2 unchance-like part of evolutionary theory. So stating                  3 that you can't explain something by chance is not                  4 equivalent to saying you can't explain it by                  5 evolution.                  6 Q. Now, is there research ongoing in this area,                  7 molecular biology and genetics?                  8 A. Oh, absolutely. In fact, it's moving so                  9 fast that it's difficult to keep up with it.                  10 Q. And, in fact, is there a very recent                  11 publication, peer-reviewed publication, that bears on                  12 this issue of common descent?                  13 A. Well, the answer to that is, there's more                  14 than one. And the one that comes to my mind right                  15 away is an issue earlier this month of the scientific                  16 journal Nature, which might be the most prestigious                  17 scientific journal in the world, which focused on                  18 seven or eight papers describing the complete genome                  19 analysis of the genome of the chimpanzee.                  20 Q. And if I could direct your attention to                  21 what's been marked as Plaintiffs' Exhibit 643, is this                  22 the cover of the publication to which you refer?                  23 A. Yes, that is the cover of the September 1st,                  24 2005 issue of the scientific journal Nature. And you                  25 can see that the cover story is the chimpanzee genome.</p>
Page 87	Page 89
<p>1 explained by Darwin's theory. In fact, since the                  2 1950s, advances in molecular biology and chemistry                  3 have shown us that living cells, the fundamental units                  4 of life processes, cannot be explained by chance.                  5 Q. Is that a true statement?                  6 A. I think neither of those two sentences is a                  7 true statement. Would you like me to explain why?                  8 Q. Please.                  9 A. Okay. The first point is the purposeful                  10 arrangement of parts. Science doesn't really deal                  11 with questions of purpose, value, and meaning. So to                  12 say that science has discovered a purposeful                  13 arrangement of parts puts science on the other side of                  14 this divide of empirical knowledge where it doesn't                  15 belong, so that certainly is not true.                  16 As I've just mentioned to you, the                  17 arrangement of chromosomes in our genome, the                  18 existence of molecular errors, actually fits                  19 evolutionary theory remarkably well, so that part of                  20 the sentence doesn't hold up, either.                  21 And then the second sentence, to any                  22 scientist who is extremely curious, it says, The                  23 fundamental units of life processes cannot be                  24 explained by chance. I completely agree. Natural                  25 selection is not a chance process. Evolution is not</p>	<p>1 Q. Matt, if you could turn to -- I believe it's                  2 Page 69. Is this the article to which you are                  3 referring?                  4 A. Well, it's one of about seven or eight                  5 articles on the genome and its implications to which I                  6 refer. But this is the prime article that presents                  7 the chimpanzee sequence and points out some of the                  8 highlights of the sequence. So if one article in this                  9 large journal was said to be the cover story, the key                  10 article, this is it.                  11 Q. And why is this important?                  12 A. It's important because it introduces an                  13 enormous data set, the chimpanzee genome, that we                  14 simply didn't have before. And the title of the                  15 article I think actually tells you what you're going                  16 to find in here.                  17 Initial sequence, because we change these                  18 things as we get better data, initial sequence of the                  19 chimpanzee genome and in comparison with the human                  20 genome. These organisms, as the earlier                  21 demonstratives that I presented to the Court show,                  22 clearly show a common ancestry with us, but as any                  23 observation will tell you, they're not like us. So                  24 understanding how we are similar and how we are                  25 different from these organisms is a really important</p>

<p style="text-align: right;">Page 90</p> <p>1 and exciting problem in biology. 2 Q. Matt, could you highlight the first 3 sentence. This is the first sentence of the article. 4 Could I ask you to read this, Dr. Miller? 5 A. Of course. And this is the introductory 6 sentence to the article, and it reads, quote, More 7 than a century ago Darwin and Huxley posited that 8 humans share recent common ancestors with the African 9 great apes. Modern molecular studies have 10 spectacularly confirmed this prediction and have 11 refined the relationships showing that the common 12 chimpanzee, Pan troglodytes, and Bonobo, Pan paniscus 13 or pygmy chimpanzee, are our closest living 14 evolutionary relatives. 15 Q. It says "spectacularly confirmed." Is that 16 something you routinely find in scientific journals? 17 A. I think you could read the journal Nature 18 for several years and not see another use of the word 19 "spectacular." It tells you that the authors of this 20 paper are really excited about this data. And, to be 21 perfectly honest, the entire scientific community was 22 excited by the chance to compare this data with our 23 own genome, and that warrants the use of the word 24 "spectacular." 25 Q. Dr. Miller, isn't evolution just a theory?</p>	<p style="text-align: right;">Page 92</p> <p>1 together in an explanatory framework, and what a 2 theory is is just such a mechanism. 3 So evolutionary theory takes the sorts of 4 facts that I have pointed out in the last few slides 5 that the Court has looked at and ties them into a 6 coherent whole by common explanation, for example, by 7 the hypothesis of common descent. 8 Q. So the term "theory" has a particular 9 meaning within science distinct from everyday usage? 10 A. Absolutely. And when we're out on the 11 street and we say, I have a theory on what the best 12 way to drive to Pittsburgh is given the traffic or I 13 have a theory on whether or not it's going to rain 14 this afternoon, we mean, in ordinary conversation, a 15 hunch, speculation, a guess. 16 When we say "theory" in science, we mean a 17 broad, overarching, explanatory explanation that's 18 very strongly supported by fact and by factual 19 evidence and that ties all of this together in an 20 explanatory framework that helps us make testable 21 predictions and testable hypotheses. And if it 22 doesn't do that, it's not a scientific theory. 23 Q. And is your understanding of theory and 24 fact, as those terms are used in science, reflected by 25 the scientific community?</p>
<p style="text-align: right;">Page 91</p> <p>1 A. Evolution is just a theory, in the same way 2 that the atomic theory of matter is just a theory, the 3 Copernican theory of the solar system is just a 4 theory, or the germ theory of disease is just a 5 theory. But theories, as I emphasized earlier, are 6 not hunches, they're not unproven speculation. 7 Theories are systems of explanations which are 8 strongly supported by factual observations and which 9 explain whole sets of facts and experimental results. 10 Q. And how do you distinguish, say, a theory 11 from a fact? 12 A. A fact is a repeatable, verifiable 13 observation or a result. So, for example, in the 14 earlier demonstratives I showed, it is a fact that 15 there is an altered initiator sequence on the 16 beta-globin pseudogene. It's also a fact that there 17 are five working copies of this gene on Chromosome 18 Number 11. All of these are facts. We can test them, 19 we can verify them, we can put them together. 20 But facts by themselves don't tell us a 21 whole lot. A very famous biologist once said that 22 without theories to tie them together, biology is just 23 stamp collecting. And what they meant by that was 24 that the production of isolated individual facts is 25 unimportant unless you can tie all those facts</p>	<p style="text-align: right;">Page 93</p> <p>1 A. Oh, I think it's fair to say that the 2 understanding that I've expressed here in the Court 3 today is exactly the understanding possessed by the 4 members of the scientific community elsewhere. 5 Q. I'd like to direct your attention to 6 Plaintiffs' Exhibit 649. And this is, again, the 7 National Academy of Sciences publication? 8 A. Yes, sir, it is. 9 Q. And if you could turn to Page 5. And, Matt, 10 if you could pull up the highlighted passage. 11 Dr. Miller, could you read the highlighted text, 12 please, from Page 5 of this publication? 13 A. Be glad to. Quote, Ironically, facts in 14 science often are more susceptible to change than 15 theories, which is one reason why the word "fact" is 16 not used very much in science, unquote. 17 Q. So is evolution a theory or a fact? 18 A. In English, we often use the word 19 "evolution" to refer to two different things. We 20 often use the word "evolution" to refer to the fact 21 that life has changed over time. And in that respect, 22 evolution is as much of a fact as anything else we 23 know about the natural history of this planet. 24 However, the use of "evolution" as a theory 25 is basically used to describe the mechanisms by which</p>

Page 94	Page 96
<p>1 those changes took place. And in that respect,                  2 evolution is, indeed, a theory because it is a                  3 powerful, useful, and predictive explanation of a                  4 whole range of scientific facts.                  5 Q. Is evolutionary theory, including natural                  6 selection and descent with modification from a common                  7 ancestor, generally accepted by the scientific                  8 community?                  9 A. It is overwhelmingly accepted by the                  10 scientific community.                  11 Q. I'd like to direct your attention, staying                  12 on the same publication from the National Academy of                  13 Sciences, if we could turn to Page 16. Now, I believe                  14 you testified earlier that the National Academy of                  15 Sciences is probably the most prestigious scientific                  16 association in the country?                  17 A. I think it's probably the most prestigious                  18 scientific association in the world.                  19 Q. And have they taken a position on whether                  20 evolution is accepted?                  21 A. Yes, they have.                  22 Q. Matt, could you please highlight.                  23 Dr. Miller, I'd like you to read the highlighted                  24 passage from Page 16, please.                  25 A. Sure. Quote, The concept of evolution</p>	<p>1 A. Sure, I'd be glad to. Quote, The concept of                  2 biological evolution is one of the most important                  3 ideas ever generated by the application of scientific                  4 methods to the natural world. The evolution of all                  5 the organisms that live on earth today from ancestors                  6 that lived in the past is at the core of genetics,                  7 biochemistry, neurobiology, physiology, ecology, and                  8 other biological disciplines. It helps to explain the                  9 emergence of new infectious diseases, the development                  10 of antibiotic resistance in bacteria, the agricultural                  11 relationships among wild and domestic plants and                  12 animals, the composition of the earth's atmosphere,                  13 the molecular machinery of the cell, the similarities                  14 between human beings and other primates, and countless                  15 other features of the biological and physical world.                  16 As the great geneticist and evolutionist Theodosius                  17 Dobzhansky wrote in 1973, quote, Nothing in biology                  18 makes sense except in light of evolution, unquote.                  19 Q. Do you agree with that, Dr. Miller?                  20 A. I agree with that wholeheartedly.                  21 Q. You testified earlier that the American                  22 Association for the Advancement of Sciences is the                  23 largest association of scientists in this country. Do                  24 you know whether they have taken a position on whether                  25 evolution is accepted in science?</p>
Page 95	Page 97
<p>1 through random genetic variation and natural selection                  2 makes sense of what would otherwise be a huge body of                  3 unconnected observations. It is no longer possible to                  4 sustain scientifically the view that living things we                  5 see today did not evolve from earlier forms or that                  6 the human species was not produced by the same                  7 evolutionary mechanisms that apply to the rest of the                  8 living world, unquote.                  9 Q. I'd like to now direct your attention to                  10 Plaintiffs' Exhibit 192. Do you recognize this                  11 publication?                  12 A. Yes, I do.                  13 Q. And who publishes this?                  14 A. This is a booklet that was published a few                  15 years ago by the National Academy of Sciences.                  16 Q. And is this more recent than the other                  17 publication that we were just referring to?                  18 A. I believe it is. I think this was                  19 published -- you'll correct me if I'm wrong -- in 1999                  20 or in 2000.                  21 Q. Matt, could you go to Page Roman Numeral                  22 VIII, please, and if you could highlight the text.                  23 Dr. Miller, I'd like you to read from this National                  24 Academy of Sciences publication the highlighted text,                  25 please.</p>	<p>1 A. Yes, sir, they have taken a position.                  2 Q. I'd direct your attention to Plaintiffs'                  3 Exhibit 654. Do you recognize this?                  4 A. Yes, I do. This is an online feature                  5 published by the American Association for the                  6 Advancement of Science, and it has a series of                  7 questions and answers on evolution and intelligent                  8 design.                  9 Q. And do you know whether the statements                  10 contained in here are supported by the leadership of                  11 the American Association for the Advancement of                  12 Science?                  13 A. It is my understanding that they are.                  14 Q. Matt, if you could highlight the text,                  15 please. The question that's posed is, is there                  16 evidence against contemporary evolutionary theory?                  17 And, Dr. Miller, if you could read the answer from the                  18 American Association for the Advancement of Science.                  19 A. Sure. The answer reads, quote, No, there                  20 are still many puzzles in biology about the particular                  21 pathways of the evolutionary process and how various                  22 species are related to one another. However, these                  23 puzzles neither invalidate nor challenge Darwin's                  24 basic theory of descent with modification, nor the                  25 theory's present form that incorporates and is</p>

Direct/Walczak - Dr. Miller

<p style="text-align: right;">Page 98</p> <p>1 supported by the genetic sciences. Contemporary 2 evolutionary theory provides the conceptual framework 3 in which these puzzles can be addressed and points 4 towards a way to solve them. 5 Q. End quote? 6 A. End quote. Thank you, Counsel. 7 Q. Are there other associations or 8 organizations of scientists that have taken a similar 9 view on the acceptance of evolution? 10 A. Yes, there are, literally scores of them. 11 Q. And can you name a few? 12 A. I certainly can't give you an exhaustive 13 list, but the American Institute of Biological 14 Sciences, the American Society for Cell Biology, the 15 American Society for Biochemistry and Molecular 16 Biology, the Geophysical Society of the United States, 17 and the American Society of Microbiology, just to name 18 a few. 19 Q. Are you aware of any scientific societies, 20 academies, or organizations that have taken a contrary 21 position and said that evolutionary theory is not 22 firmly established? 23 A. I have to tell you that to my knowledge, 24 every single scientific society in the United States 25 that has taken a position on this issue has taken a</p>	<p style="text-align: right;">Page 100</p> <p>1 there is no controversy over whether or not evolution 2 took place, and there is no controversy with respect 3 to the proposition that evolution provides the most 4 useful and invaluable way in which we can extend our 5 understanding of living organisms. 6 Q. Is evolution just a historical process, or 7 is it still something that's being used today? 8 A. That's an interesting question, and I've 9 often been approached by people who have told me, 10 well, evolution is a just-so story about our past, and 11 it has no scientific significance in the world today, 12 it's unimportant. I can't think of any statement that 13 I would disagree with more. 14 Q. Well, let me tell you that an expert for the 15 school district in this case, Professor Scott Minnich, 16 has said that evolution plays little, if any, role in 17 experimental science and that it may actually impede 18 science in the arena of drug-resistant research. 19 A. I believe, with all due respect, that 20 Dr. Minnich is profoundly mistaken. And drug 21 resistance is a very good example. All of -- 22 any science -- I'm sorry, any physician who develops a 23 specialty in the treatment of infectious diseases had 24 better know about evolution. 25 And the reason for that is, disease therapy,</p>
<p style="text-align: right;">Page 99</p> <p>1 position against intelligent design and in favor of 2 evolution. 3 Q. Are you aware of any controversy in the 4 scientific community over evolution? 5 A. Yes, I am. There are controversies in all 6 fields of science, and what I mean by that are points 7 that are held in dispute. For example, the evolution 8 of sex is an enormous and controversial issue in 9 biology. 10 Q. Sex as in gender? 11 A. Sex as in gender, as to why, for example, 12 everybody does it, not just talking about us primates, 13 but also oak trees and yeast and all sorts of 14 organisms, as to where gender comes from in terms of 15 sexual reproduction. It's a very important issue 16 within evolutionary theory and certainly not an issue 17 that is solved. 18 There is also enormous controversy within 19 evolutionary theory on the relative values and weights 20 to give to forces such as natural selection, sexual 21 selection, genetic recombination, horizontal gene 22 transfer, and so forth. 23 But I think the relevant and the interesting 24 point is that there is no controversy within science 25 over the core propositions of evolutionary theory,</p>	<p style="text-align: right;">Page 101</p> <p>1 whether it's antibiotic therapy or whether it's 2 antiviral therapy of the sort, for example, that is 3 used to extend the lives of patients with AIDS, any 4 therapy in these infectious diseases is predicated on 5 a profound understanding of the evolutionary processes 6 by which the bacteria or the viruses acquire 7 resistance to the agents that are used against them. 8 And if one doesn't understand the evolution of 9 resistance, one is not going to be a very effective 10 physician. 11 And that's not the only area. Whole areas 12 of drug research and development use what are known as 13 genetic algorithms or evolutionary methods. And what 14 these scientists often do is to set up in a test tube 15 an evolutionary process where they allow incremental 16 changes to be made automatically by an organism, by 17 replicating molecule, to allow a kind of natural 18 selection in the test tube to develop a better drug 19 than anyone could design on their own. So by 20 mimicking Darwinian evolution, people often in the 21 laboratory will use that as a research tool. 22 It's also worth noting that an understanding 23 of evolution is absolutely essential in other areas, 24 as well. In agricultural, for example, the use of 25 genetically modified crops in areas around the United</p>

<p style="text-align: right;">Page 102</p> <p>1 States -- and much of the food that we eat depends                  2 upon genetically modified crops -- the use of the                  3 genetically modified crops becomes ineffective if the                  4 farmers employing them don't understand the                  5 evolutionary mechanisms by which insects can evolve                  6 resistance to the insect-fighting proteins which are                  7 engineered into the plants. So therefore very careful                  8 precautions have to be taken to prevent the process of                  9 evolution from taking place.                  10 So I think evolution is at the core of                  11 discovering the biological sciences. And there's                  12 really no better example of that than that issue of                  13 Nature that we highlighted earlier and used as one of                  14 the exhibits. Virtually every paper in there uses                  15 evolution as a tool to explore what our genome does,                  16 what the ape genome does, and how the differences                  17 between them make us unique as individuals and                  18 organisms. It turns out to be a hard-working theory                  19 which is at the core of biological discovery and                  20 biological exploration.                  21 Q. Is evolution antireligious?                  22 A. I certainly don't think so, and I devoted a                  23 whole book to arguing why I didn't think it was.                  24 Q. Don't some scientists invoke evolution in                  25 their arguments to say that, in fact, science and</p>	<p style="text-align: right;">Page 104</p> <p>1 to such diversity that surrounds us. Those are my                  2 sentiments, in the same way that Dawkins' are his.                  3 But I'm not speaking scientifically, and I'm not                  4 speaking as a scientist, and that's, I think, the                  5 critical distinction.                  6 Q. So you wrote a whole book exploring this                  7 intersection between science and faith?                  8 A. That's correct.                  9 Q. And is any of that kind of discussion found                  10 in your high school biology textbook?                  11 A. No, of course not.                  12 Q. Why?                  13 A. Because it's not scientific. And I've made                  14 the point earlier that just when you say something is                  15 not scientific doesn't mean it's not important,                  16 doesn't mean it's not true, doesn't mean it doesn't                  17 concern something that you really and deeply care                  18 about. And I deeply care about my own religious                  19 beliefs and my faith, and I also deeply care about                  20 science, and I wanted to explain to a general audience                  21 how I understand the intersection of those two                  22 beliefs, not just to reconcile them, but to confirm                  23 and enhance both beliefs.                  24 Now, I believe in that very strongly, but I                  25 certainly recognize that my views on this are not</p>
<p style="text-align: right;">Page 103</p> <p>1 evolution is antireligious, it's anti-God?                  2 A. Yes, they do. And I can certainly think of                  3 any number of specific examples from distinguished                  4 evolutionary biologists like Richard Dawkins or                  5 philosophers who have written about evolution like                  6 Daniel Dennett or William Paley.                  7 But as I said earlier, it's very important                  8 to appreciate that every word that comes forth from                  9 the mouth of a scientist is not necessarily science.                  10 And every word that one says on the meaning or the                  11 importance of evolutionary theory is not necessarily                  12 scientific.                  13 Richard Dawkins, for example, has been                  14 eloquent in saying that for him, understanding that                  15 life and the origin of species has a material cause                  16 frees him from the need to believe in a divine being.                  17 I don't know if I've been as eloquent as                  18 Richard Dawkins, but I have worked very hard in my own                  19 way to say that for me, the notion that we are united                  20 in a great chain of being with every other living                  21 thing on this planet confirms my faith in a divine                  22 purpose and in a divine plan and means that when I go                  23 to church on Sunday, I thank the creator for this                  24 wonderful and bounteous earth and for the process of                  25 evolution that gave rise to such beauty and gave rise</p>	<p style="text-align: right;">Page 105</p> <p>1 science and they are not scientific. My coauthor,                  2 Joseph Levine, who also is a religious person, I have                  3 to tell you, has different views of faith, belongs to                  4 a different faith, and follows a different religious                  5 tradition than I do.                  6 Joe and I both have enormous respect for                  7 religion. We both believe that the evolutionary                  8 theory is fully compatible with our different                  9 religious beliefs, but we also recognize that our                  10 religious beliefs are not scientific, that they are                  11 philosophical, theological, and deeply personal, and,                  12 as such, they don't belong in a science curriculum,                  13 and they certainly don't belong in a science textbook.                  14 Q. And they're not found in your high school                  15 science textbook?                  16 A. Definitely not.                  17 Q. I want to switch gears here again to the                  18 topic of intelligent design. What is intelligent                  19 design?                  20 A. As it has been explained to me, intelligent                  21 design is the proposition that some features of living                  22 things are too complex to have been produced by the                  23 process of evolution and therefore they must be                  24 attributed to the creative work of a special                  25 intelligence or designer who creates these pathways,</p>

<p style="text-align: right;">Page 106</p> <p>1 these genes, and these organisms and operates in ways                  2 that stand outside of nature and therefore by                  3 mechanisms which cannot be scientifically                  4 investigated.                  5 Q. Who is the designer?                  6 A. The advocates of intelligent design, over                  7 the last ten years, have refused to say. But I have                  8 to tell you that when I debated scientific                  9 creationists in the early 1980s, they were very fond                  10 of saying that life has a design and that design                  11 implies a designer and that designer is the creator,                  12 it is God.                  13 Q. I'd like to direct your attention to                  14 Plaintiffs' Exhibit 124. Do you recognize this                  15 document, Dr. Miller?                  16 A. Well, I recognize the last four paragraphs                  17 of the document. The first time I saw the rest of the                  18 document was in our pretrial discussions at the law                  19 offices yesterday. So now I recognize it. But until                  20 yesterday, I hadn't seen the whole document.                  21 Q. And to your knowledge, what are the last                  22 four paragraphs there?                  23 A. The last four paragraphs, which I certainly                  24 recognize, are the administrative statement which was                  25 read to students in Dover High School, I believe</p>	<p style="text-align: right;">Page 108</p> <p>1 isolated issues from Pandas, but I think in general                  2 the arguments made in Pandas are representative of                  3 intelligent design.                  4 Q. Now, one name that's going to be coming up                  5 in this trial, and, actually, the gentleman will be                  6 testifying for the school district, is Michael Behe.                  7 Are you familiar with his works?                  8 A. Yes, sir, I am.                  9 Q. And are his ideas consistent with what is                  10 represented in Of Pandas and People?                  11 A. The answer to that is very much so. In                  12 fact, as I read Of Pandas and People, from our                  13 experience in the debate, which was in 1995, about a                  14 year later a book was published called Darwin's Black                  15 Box by Dr. Behe. And when I read through the pages of                  16 Darwin's Black Box, I was struck by how many of the                  17 arguments used against evolution that are found in Of                  18 Pandas and People are also used in Darwin's Black Box.                  19 And the one that really stuck in my mind was                  20 the discussion of the blood clotting cascade in both                  21 Dr. Behe's book and in Of Pandas and People. It                  22 struck me as essentially -- the two discussions struck                  23 me as essentially identical.                  24 Q. We're going to come back to Dr. Behe in a                  25 little while. Let's focus now on the book Of Pandas</p>
<p style="text-align: right;">Page 107</p> <p>1 earlier this year, in concordance with the school                  2 board's intelligent design policy.                  3 Q. Matt, if you could highlight the third                  4 paragraph. Could you please read the highlighted                  5 text?                  6 A. Sure. Quote, Intelligent design is an                  7 explanation of the origin of life that differs from                  8 Darwin's view. The reference book Of Pandas and                  9 People is available for students who might be                  10 interested in gaining an understanding of what                  11 intelligent design actually involves, end quote.                  12 Q. Are you familiar with this textbook, Of                  13 Pandas and People?                  14 A. Yes, sir, I am.                  15 Q. And, in fact, is that the book you were                  16 debating the first time you debated Michael Behe back                  17 in 1995?                  18 A. Yes, that is the book.                  19 Q. To your knowledge, is Pandas representative                  20 of intelligent design thinking?                  21 A. I believe that it is. It certainly is put                  22 forward as an example of a textbook which had advanced                  23 the idea of intelligent design. I am sure that there                  24 are people within the intelligent design community who                  25 might hold slightly different positions on certain</p>	<p style="text-align: right;">Page 109</p> <p>1 and People that's referred to in the four-paragraph                  2 statement. If we could turn to Page 150. And Pandas                  3 is Plaintiffs' Exhibit 11. And Page 150 is part of                  4 the glossary. I'd like you to read for us the                  5 highlighted language, which is the Pandas definition                  6 of intelligent design.                  7 A. Sure. Quote, Any theory that attributes an                  8 action, function, or the structure of an object to the                  9 creative mental capacities of a personal agent,                  10 period. In biology, the theory that biological                  11 organisms owe their origin to a preexistent                  12 intelligence, unquote.                  13 Q. Let's take those sentences one at a time.                  14 The first sentence, to your mind, does that accurately                  15 describe intelligent design as you understand it?                  16 A. I certainly think that it does. In fact, if                  17 one does a library search on intelligent design, it                  18 will return a large number of engineering, graphic                  19 design, and other articles about the intelligent                  20 design, let's say, of the courtroom or the intelligent                  21 design of a ventilation system or the intelligent                  22 design of a microprocessor.                  23 So it is certainly true that the term                  24 "intelligent design" can be used in the context of a                  25 human designer designing an apparatus, putting</p>

<p style="text-align: right;">Page 110</p> <p>1 together a message, and so forth. So I think that's a  2 perfectly accurate statement.  3 Q. How about the second sentence?  4 A. The second sentence says, In biology -- and  5 I believe this is the context that is important in the  6 courtroom today -- biology, intelligent design is the  7 theory that biological origins owe their -- excuse me,  8 biological organisms owe their origin to a preexistent  9 intelligence.  10 And I think that is exactly what intelligent  11 design means. So this is a good glossary and this is  12 a very good definition, because it indicates that  13 organisms originated from the creative power of a  14 preexisting intelligence, and that's a classic  15 doctrine which is known as "special creation."  16 By definition, that creative force has to  17 have intelligence, takes intelligence to create, and  18 that's exactly what this glossary definition says.  19 Q. What is the argument in Pandas to support  20 this idea of an intelligent designer?  21 A. Well, I believe the argument in Pandas that  22 supports -- that is used to support the idea of the  23 intelligent designer takes many forums. For example,  24 Pandas looks at the fossil record of natural history  25 of life on this earth, and it says every time we see</p>	<p style="text-align: right;">Page 112</p> <p>1 biology, that's correct.  2 Q. And in your estimation, is the treatment of  3 science, of biology, by Pandas accurate?  4 A. I think the treatment of biology by Pandas  5 is inaccurate and in many respects downright false in  6 every section of the book.  7 Q. Are you able to give us some examples about  8 some of the errors that are contained in Of Pandas and  9 People?  10 A. Sure, I'd be very happy to. My  11 understanding is that you will call some other  12 witnesses who will testify about other errors, but I  13 will certainly be happy to talk about a few that are  14 in my own area of work.  15 Q. And at my request, have you prepared a  16 couple of slide demonstrations to help you explain  17 these errors in Pandas?  18 A. Yes, I have.  19 Q. If we could have molecular trees in Pandas.  20 Could you tell us what this is, Dr. Miller?  21 A. Yes. What you see on the slide now is the  22 cover of Of Pandas and People and two quotations from  23 various parts of what is known as Section 6 of Pandas,  24 which is the section on biochemical similarities. And  25 with your permission, with the Court's permission,</p>
<p style="text-align: right;">Page 111</p> <p>1 the sudden appearance of a new or different or novel  2 organisms -- organism, that must be the hand of the  3 designer. That's a classic example of special  4 creation.  5 Pandas also says anytime we see a complex  6 biochemical system made up of many different  7 interlocking parts, that can only be explained by the  8 actions of an intelligent designer. And Pandas also  9 states that living systems contain complex biological  10 information. And by analogy, since information in the  11 real world -- excuse me, information in human society,  12 in telephone books, in texts, perhaps in the  13 arrangement of transistors in a microprocessor, since  14 that kind of information requires human intelligence,  15 then the information which is in a biological system  16 must have had an intelligence to put it there, too.  17 Those are -- I'm sure there are other  18 detailed arguments, but those are the general  19 categories by which Pandas makes this argument.  20 Q. And Pandas does address issues of science,  21 issues of biology, does it not?  22 A. Yes. Pandas, in every one of its six  23 chapters, sections, excursions, deals with biological  24 organisms, with the question of biological origins,  25 and also with life processes. So it's a book about</p>	<p style="text-align: right;">Page 113</p> <p>1 I'll read both of those.  2 THE COURT: You may.  3 THE WITNESS: The first one is a quotation  4 from Page 36. And what it says is, quote, When the  5 measurements of the similarities between proteins are  6 put side by side, the pattern that emerges contradicts  7 the expectations based on Darwinism, unquote. I  8 should add the emphasis, the boldface on this is mine,  9 it's not from the original.  10 That point of contradicting what it calls  11 Darwinism or Darwinian expectations is made on the  12 next page, Page 37. Quote, Notice that the cytochrome  13 c of this insect, the silkworm moth, exhibits the same  14 degree of difference from organisms as diverse as  15 humans, penguin, snapping turtle, tuna, and lamprey.  16 The reason this finding is so surprising is that it  17 contradicts the Darwinism expectation. And, once  18 again, the emphasis is mine.  19 So Pandas, on these two pages, says that  20 when you look at the biochemical similarities between  21 organisms, it tells students those similarities  22 contradict the expectations of evolution. In other  23 words, evolution is wrong.  24 Can we look at the next slide, please? What  25 you see in this diagram is a table, a data table of</p>

Direct/Walczak - Dr. Miller

<p style="text-align: right;">Page 114</p> <p>1 biochemical similarities from Pandas, and I'm flipping  2 through my own copy so I get the proper reference  3 here. The table appears on Page 37, and I have placed  4 a quotation from Page 37 on the slide.  5 And referring to this table of differences  6 between 17 organisms, Pandas tells students, quote,  7 Darwinism would predict a greater molecular distance  8 from the insect to the amphibian than to the living  9 fish, yet greater still to the reptile and greater  10 still than that to the mammal, yet this pattern is not  11 found, unquote. And, again, the emphasis is mine.  12 So what it tells students is, look at the  13 data. That data contradicts the Darwinism  14 expectation. So the message is not subtle, it's very  15 clear, Darwinism is wrong, what it refers to as  16 Darwinism is wrong, and this table tells you something  17 else. That's the message from Pandas, and that's what  18 they tell students.  19 May we look at the next slide, please? The  20 next slide shows a diagram, and I apologize to the  21 Court for not having this on the slide itself, but the  22 diagram that you see here is from Page 38 of Pandas,  23 and the quotation that I'm using which refers to this  24 phenomena is actually from Pages 139 to 140. And it  25 refers to the same phenomena.</p>	<p style="text-align: right;">Page 116</p> <p>1 Yet the fact that they're all the same distance apart  2 means that the Darwinian, the evolutionary  3 expectation, is contradicted by the data. And that is  4 the message that Pandas tells students, any student  5 who might use it.  6 Go to the next slide, please. This is not  7 an isolated quotation. This is the entire theme of  8 this particular section, which is one-sixth of the  9 book, which is that evolution has it wrong on  10 molecular similarities.  11 Here I've gone to Page 139, which is in the  12 excursion or the more detailed section of the book.  13 I've reproduced a facsimile of the page. This time it  14 compares the dogfish shark and its cytochrome c to six  15 different organisms. And basically this chart says  16 they're all about the same distance from the shark.  17 And then it says, instead of a progression of  18 increasing divergence, each vertebrate sequence is  19 equally isolated from the cytochrome sequence for the  20 dogfish, unquote, from Page 139.  21 As a result of all this data, what Pandas  22 then tells students -- and this is a textbook intended  23 to be used in classes -- quote, In this and countless  24 other comparisons, it has proved impossible to arrange  25 protein sequences in a macroevolutionary series</p>
<p style="text-align: right;">Page 115</p> <p>1 Now, what the diagram shows is the  2 cytochrome c, which is a protein found in all living  3 organisms, essentially -- it's a very important  4 protein -- it compares the sequence of cytochrome c of  5 the carp, of a fish, and it says that the carp  6 cytochrome c differs from that of the bullfrog by  7 13 percent, by that of the snapping turtle also by  8 13 percent, carp to the chicken 14 percent, carp to  9 the rabbit 13 percent, carp to the horse 13 percent.  10 In other words, it tells students there's  11 the exact same difference between cytochrome c in a  12 fish and an amphibian, a reptile, a bird, and two  13 representative mammals. In other words, they're all  14 the same distance apart.  15 Now, why is that a problem for evolution,  16 according to Pandas? The quotation explains that. It  17 tells students to use the classic Darwinian scenario,  18 amphibians are intermediate between fish and other  19 land-dwelling vertebrates, therefore analysis of their  20 amino acid should place amphibians in an intermediate  21 position, but it does not.  22 In other words, that fish should be closer  23 to the amphibian than it is to the turtle, much closer  24 than to the chicken, and much closer still than that  25 to the horses. That's what Pandas tells students.</p>	<p style="text-align: right;">Page 117</p> <p>1 corresponding to the expected transitions from fish to  2 amphibian to reptile to mammal, unquote. So, in other  3 words, all these data contradict the prediction of  4 evolution. That is the message of Pandas on page  5 after page and diagram after diagram.  6 Now, the question that I think anybody using  7 this book might want to consider is, is that true? Is  8 that what the data actually show? Can I have the next  9 slide, please?  10 Remember the central claim, and this slide  11 reproduces the diagram I have already shown from Page  12 37 on Pandas. And Pandas claims that finding the same  13 molecular distance between a fish and these organisms  14 contradicts evolution. The reality of the situation  15 is that it does nothing of the sort. Standard  16 evolutionary relationships, which have been known for  17 decades, between these organisms, a mammal, a bird, a  18 reptile, an amphibian, and a fish, actually show that  19 all of these organisms share a common ancestor at an  20 equal molecular distance.  21 And what that means is, the frog should be  22 just as far removed from that common ancestor as the  23 horse should be. So therefore, when we compare a fish  24 today, the distance from fish to mammal should be the  25 same as the distance from fish to amphibian.</p>

<p style="text-align: right;">Page 118</p> <p>1 BY MR. WALCZAK:                  2 Q. I'm sorry, Dr. Miller, could you explain                  3 how, on the diagram on the lower right, how do you                  4 measure that? How does a biologist or a scientist                  5 read that?                  6 A. Fair enough. What this diagram is intended                  7 to show is molecular distances between these                  8 organisms, in other words, how much their cytochrome                  9 c's differ in terms of times since a common ancestor.                  10 So in the chart the organisms that are pretty close                  11 together are the chicken and the turtle, and they                  12 share a recent common ancestor. So we shouldn't be                  13 surprised.                  14 Q. I'm sorry, is the common ancestor where you                  15 have the Y?                  16 A. Thank you very much for asking that. The                  17 common ancestor is at the intersection point right                  18 there, which I am now attempting to wave the pointer                  19 around. It is at the Y where these two diagrams join.                  20 So the relevant comparison here is that all five of                  21 these organisms should be, in molecular terms -- all                  22 four of these should be equally distant from the fish                  23 since the distance all the way down to the common                  24 ancestor of all vertebrates predicted by common                  25 descent is exactly the same.</p>	<p style="text-align: right;">Page 120</p> <p>1 what I have been pointing out, which is that one does                  2 not expect a progression from one organism to another,                  3 as Pandas tells students, but one expects a deepening                  4 molecular tree so that the relationship of a fish to                  5 the other organisms, which are highlighted here in                  6 little red boxes, should be the same for every one of                  7 these organisms to the fish.                  8 Q. And since 1967, has science contradicted                  9 that?                  10 A. Science not only has not contradicted it,                  11 but it has confirmed this pattern in one protein and                  12 one gene after another. Now, it's worth noting that                  13 one of the things that scientists have noticed is that                  14 the rate of evolution seems to differ in one gene from                  15 another. So sometimes the pace of change is quicker,                  16 sometimes the pace of change is slower. But the                  17 ultimate pattern of change, with very, very few                  18 exceptions, supports the pattern that you see here.                  19 And there's a final point that is worth                  20 making. And that is, one might ask, even though this                  21 paper appeared 38 years ago and clearly the authors of                  22 Pandas should have known about this, is this recent,                  23 is this formulation of evolutionary descent, is this                  24 something just in the molecular age, that it's                  25 brand-new, or is this the core understanding of</p>
<p style="text-align: right;">Page 119</p> <p>1 And, incredibly, that is what the data                  2 actually show, which is an equal distance from the                  3 fish for all the other vertebrates, and that actually                  4 doesn't contradict evolution, it provides strong                  5 support for it. But students using Pandas would                  6 misunderstand this point completely.                  7 May I have the next slide? Now, one might                  8 ask whether or not, since Pandas is -- I think is --                  9 your opposing counsel might have mentioned in the                  10 opening statement -- a little out of date, whether or                  11 not Pandas can be forgiven this mistake, because,                  12 after all, it was published in 1993, and, as I                  13 emphasized, a lot has happened since then.                  14 What I have placed on the left-hand side of                  15 the slide is my rendering of the proper relationships                  16 between these organisms supported by data, and on the                  17 right-hand slide I have placed a figure from a paper                  18 published by Fitch and Margoliash in 1967, 38 years                  19 ago, showing molecular similarities based on                  20 cytochrome c.                  21 Now, the Fitch and Margoliash picture, as                  22 you can see, is much more detailed than the simple one                  23 that I included because it includes more organisms.                  24 But you'll also note that the molecular tree                  25 formulation of diagram -- of the diagram shows exactly</p>	<p style="text-align: right;">Page 121</p> <p>1 evolution since the first time the idea was                  2 formulated? And the last slide that I have in this                  3 series will make that point. This is my formulation                  4 of the tree of --                  5 Q. I'm sorry, that's in the upper left-hand                  6 corner?                  7 A. Thank you very much. The upper left-hand                  8 corner of the slide is my formulation, a very simple                  9 diagram of the proper relationships between these                  10 species. The right-hand side of the slide shows the                  11 molecular tree sketched out from Fitch and Margoliash,                  12 the paper published in 1967. And, again, the question                  13 I pose before the Court is, is this a new idea of                  14 relationships just in the molecular age?                  15 I have here a diagram, it's the only figure                  16 from the Origin of Species published by Charles Darwin                  17 in 1859, and it shows an almost exact match of the                  18 tree concept. So any person writing or pretending to                  19 teach students about evolution should be aware of the                  20 fact that evolution, since its very formulation by                  21 Charles Darwin, has held to the idea of the tree as                  22 the ancestral model.                  23 And if you could advance the animation in                  24 this slide, whereas what Pandas has done is to argue                  25 that a straight line progression like that is actually</p>

<p style="text-align: right;">Page 122</p> <p>1 what is expected. That is a -- either a  2 misunderstanding or a deliberate misinforming of  3 students about the nature of evolutionary theory. And  4 what I wrote on this slide is, Pandas misleads  5 students as to the actual predictions of evolutionary  6 theory by pretending that evolution predicts a linear  7 sequence like that. And as I've shown the Court,  8 going back to Charles Darwin, that isn't what it  9 predicts.</p> <p>10 Q. Do you have another example of what we might  11 call an error or a misrepresentation of evolutionary  12 theory that is contained in Pandas?</p> <p>13 A. I can certainly point to quite a few. I  14 believe that's the last demonstrative that I have  15 prepared from Pandas. Is that correct, sir?</p> <p>16 Q. Yes. If we could have the blood clotting  17 test.</p> <p>18 A. Okay. Sorry. I had forgotten that I had  19 prepared these demonstratives. Pandas also, in their  20 discussion of molecular similarities, talks about what  21 is known as the blood clotting cascade. And in this  22 particular case, all of us -- hopefully all of us in  23 the courtroom have blood that clots properly. And  24 what that means, of course, when we cut ourselves, we  25 don't just bleed and bleed and bleed and bleed, but</p>	<p style="text-align: right;">Page 124</p> <p>1 Now, I'm going to have to stand up so I can  2 see the slide properly. Is that all right, Your  3 Honor? I'll just talk loud enough so hopefully it  4 will be picked up. Pandas describes this system, and  5 on Page 141, and I quote, it tells students, As we  6 shall see, such interactive systems as illustrated  7 here by the mechanism for a blood clotting are very  8 strong arguments for intelligent design and are  9 virtually impossible to explain in terms of Darwinian  10 evolution, unquote. Now, it's interesting to look  11 into Pandas and say, why is it that this is an  12 argument for design and impossible to explain by  13 evolution?</p> <p>14 If you could go to the next slide, please,  15 I'd appreciate it. Here is a page from Pandas  16 describing the blood clotting cascade and a diagram of  17 the cascade and two quotations from Pages 145 and 146.  18 Here is the essence of the argument that students are  19 given in Pandas. From Page 145, quote, Only when all  20 the components of the system are present and in good  21 working order does the system function properly,  22 unquote.</p> <p>23 Later in the page and going onto Page 146,  24 it talks about the various proteins in the clotting  25 pathway, and it says, quote, Some of them -- these are</p>
<p style="text-align: right;">Page 123</p> <p>1 that cut eventually seals with a blood clot.  2 That's, in many respects, even more  3 important inside our body, because when we get a  4 bruise, that actually is a result of broken blood  5 vessels, and if that didn't close with a clot, we'd be  6 in serious trouble.</p> <p>7 Now, blood clotting is, biochemically, an  8 enormously complicated process. And I have placed a  9 diagram of some of the elements of the clotting  10 pathway on the upper left-hand corner of the slide.  11 It's a diagram that I drew from the Internet. It's  12 not from any exhibits in the court here. It's not  13 from Pandas.</p> <p>14 It's the sort of slide -- if people in the  15 court are awed by the complexity of this slide, I  16 would assure you that this is a subject that is used  17 to torture biochemistry students at the undergraduate  18 and graduate level. Everyone agrees that this is  19 complicated.</p> <p>20 In the lower right-hand corner, there is a  21 scanned electron micrograph of a red blood cell caught  22 in a clot. And the action of this pathway produces a  23 crosslink protein known as fibrin, which produces a  24 meshwork which actually stabilizes the clot and helps  25 blood to stop flowing.</p>	<p style="text-align: right;">Page 125</p> <p>1 the clotting proteins -- share discrete regions of  2 their sequences with some others. Does that mean that  3 they derive from one another? It may. But consider  4 that even if this were the case, all of the proteins  5 had to be present simultaneously for the blood  6 clotting system to function, unquote. And the  7 emphasis here is mine.</p> <p>8 So the argument made by Pandas is that the  9 reason this is an example of design is because it's a  10 multi-part system, and all of the parts have to be put  11 together, presumably by a creator/designer before the  12 system will work.</p> <p>13 Can I have the next slide, please? Well,  14 that's a scientific statement in the sense that it's a  15 claim that all the parts have to be present for the  16 system to work. And because that is a scientific  17 claim, we can investigate it scientifically and see if  18 it is valid.</p> <p>19 What I have placed on this slide is my own  20 representation of the blood clotting cascade, which I  21 blew up a little bit to try to make it large enough  22 for the Court to see and to try to emphasize the  23 points that I need to point out to the Court at this  24 point.</p> <p>25 A standard and simple and straightforward</p>

<p style="text-align: right;">Page 126</p> <p>1 scientific test of the claim that all parts must be                  2 present for this to work is simple. Eliminate one of                  3 the parts, see if the blood will clot. If it won't                  4 clot anymore, the claim might be right. If it will                  5 clot, the claim could be wrong.                  6 Well, fortunately nature has actually done                  7 that experiment for us. And if you could advance the                  8 slide, I'm going to show right now, essentially here's                  9 the pathway, and I'm going to propose an experiment                  10 which is that we eliminate one of the important                  11 factors known as factor 12. That's right here. So                  12 there's my experiment. You can do this very easily on                  13 PowerPoint, much easier than you can do in the                  14 laboratory.                  15 We have just eliminated factor 12, and the                  16 question now before the Court is, will blood clot or                  17 will it not? Advance the slide, please. It turns out                  18 that whales and dolphins have done this experiment for                  19 us already. Whales and dolphins, in 1969, well before                  20 Pandas was published, were shown to lack factor 12.                  21 And the slide contains a reference to an article by                  22 Robins, Kasting, and Aggeler from Science Magazine,                  23 Volume 166, Page 1420, 1969. And you will note a                  24 quotation from the abstract of this article saying,                  25 The dolphin intrinsic cascade lacks factor 12,</p>	<p style="text-align: right;">Page 128</p> <p>1 A. Worse in the sense that the case that Pandas                  2 is trying to make has become even farther removed from                  3 scientific reality.                  4 Can I show the next slide, please? Here                  5 again is my representation of the various components                  6 of the blood clotting cascade. And this time I'd like                  7 to propose that we take away not one part, but three.                  8 If you'd advance the slide, please. The proposal is                  9 that we take away the three parts which are known as                  10 the contact phase system. Now, that includes factor                  11 12, which we talked about a second ago, but also                  12 factor 11 and also the factor that catalyzes the                  13 conversion of 12 to the active form.                  14 Advance the slide, please. Those are the                  15 three parts that I propose eliminating. And advance                  16 it one more time, please. There they go. They're                  17 gone. It turns out these three parts are missing in a                  18 vertebrate known as the puffer fish.                  19 And I have placed in the left-hand part of                  20 the slide a reference to a paper Jiang and Doolittle,                  21 2003. The title of the paper is, The Evolution of                  22 Vertebrate Blood Coagulation as Viewed from a                  23 Comparison of Puffer Fish and Sea Squirt Genomes. It                  24 appeared in the proceedings of the National Academy of                  25 Sciences, a very eminent scientific journal, Volume</p>
<p style="text-align: right;">Page 127</p> <p>1 unquote.                  2 Now, this is from ancient history, as far as                  3 we molecular biologists might be concerned today,                  4 because 1969 is pre-molecular. So one might wonder,                  5 has that result held up?                  6 Also in the lower left-hand corner of the                  7 slide I have pointed out that a paper published in                  8 1998 by Semba, et al., confirms using genome analysis,                  9 that whale Hageman factor 12 basically is now a                  10 pseudogene in the whale genome. That's why it is not                  11 produced. It is, indeed, missing from the clotting                  12 cascade.                  13 Whales face many problems on this planet.                  14 They're overhunted, they're overfished, but they don't                  15 have any problems with their blood clotting. So blood                  16 clots just fine, despite missing the factor. So the                  17 scientific prediction from Pandas turns out to be                  18 wrong.                  19 Q. And the prediction was -- this was known in                  20 1969 is what you're saying?                  21 A. Absolutely, that's correct. So certainly                  22 the people writing it should have known. But                  23 interestingly, in recent years, you might say the                  24 situation has gotten worse.                  25 Q. I'm sorry, worse in what sense?</p>	<p style="text-align: right;">Page 129</p> <p>1 100, Page 7527. And the relevant point here is that                  2 they are missing three parts of the system and their                  3 blood clots perfectly well. Should we -- Go ahead, a                  4 question?                  5 Q. So the prediction in Pandas and what Pandas                  6 teaches students has, in fact, been invalidated,                  7 refuted by the scientific evidence?                  8 A. It was refuted by the scientific evidence in                  9 1969 that was confirmed by genome studies of the                  10 whale, and it has been further refuted by Jiang and                  11 Doolittle's study of the contact phase system.                  12 Q. I'd like to go to the third example of what                  13 we might consider significant errors or                  14 representations contained in Pandas, and that is the                  15 concept of new biological information. I was                  16 wondering if you could explain what Pandas says about                  17 this and then talk a little bit about the science.                  18 A. May I ask the counselor if we have                  19 demonstratives on this?                  20 THE COURT: You may, certainly.                  21 THE WITNESS: Do we have a demonstrative on                  22 this one?                  23 BY MR. WALCZAK:                  24 Q. We have a copy of Page 7 from Pandas.                  25 A. Okay. That would be just fine. Page 7 from</p>

Page 130

1 the book Of Pandas and People makes the point that  
 2 biological information and living things contain  
 3 abundant amounts of information. There certainly is  
 4 no argument there. The biological information must  
 5 come from a designer.  
 6 And the way in which Pandas makes this  
 7 argument is by using an example of information from  
 8 the nonbiological world. So it tells students, if we  
 9 walk along the beach and we see something written here  
 10 that says, John loves Mary, that's an example of  
 11 information from which we immediately infer the  
 12 existence of an intelligent designer, a designer who  
 13 thought of the message, coded it in the sand, and used  
 14 symbols, symbolic language, in order to get that  
 15 information across.  
 16 What Pandas then says is that biological  
 17 information meets the same standard. And do we  
 18 have -- have we highlighted part of the text on this  
 19 page? Okay.  
 20 The patterns in biological information are  
 21 described in this passage from Page 7 in Pandas. And  
 22 the passage which I will read begins with the  
 23 following: Quote, Are natural causes capable of  
 24 producing these kinds of patterns? To say that DNA  
 25 and protein arose by natural causes, as chemical

Page 131

1 evolution does, is to say that complex coded messages  
 2 arose by natural causes. It is akin to saying John  
 3 loves Mary, the message written on the beach, arose  
 4 from the action of the waves or from the interaction  
 5 of the grains of sand.  
 6 And I'd like to skip to the highlighted  
 7 portion at the bottom of this and say -- and read to  
 8 the Court that Pandas tells us, quote, If science is  
 9 based on experience, then science tells us the message  
 10 encoded in DNA must have originated from an  
 11 intelligent cause, unquote.  
 12 So Pandas basically tells students all  
 13 information must come from an intelligent cause,  
 14 there's information in DNA, and therefore it's just  
 15 like John loves Mary written on the beach, there must  
 16 have been somebody there to write it.  
 17 Q. And is that correct?  
 18 A. No, sir, I don't think it's correct at all.  
 19 I think there are logical problems with the analogy,  
 20 and as an experimental scientist, there is strong  
 21 scientific evidence that this is simply not the case  
 22 with respect to biological information.  
 23 Q. Let's start with the analogy that they make.  
 24 What's wrong with this analogy to John loves Mary must  
 25 have been designed by some intelligent designer?

Page 132

1 A. Well, I can think of a lot of things that  
 2 are wrong with it. The first thing is that the  
 3 message John loves Mary, which is sitting here in the  
 4 beach, doesn't have the capacity to replicate as DNA  
 5 does. It is never passed along in the process of  
 6 reproduction as DNA is. It can never undergo genetic  
 7 recombination as DNA can. It can never be subject to  
 8 natural selection as the organisms and their  
 9 characteristics coded for by DNA can. In short, that  
 10 message is not part of a living organism, and the fact  
 11 that messages in DNA are part of a living organism  
 12 makes them entirely different.  
 13 The second point, however, that the analogy  
 14 fails is something that any philosopher, any logician  
 15 would spot in a second. When we look at the John  
 16 loves Mary sentence, we know, for example, what the --  
 17 we know who made that message, and what I mean by that  
 18 is, we know that a human being made that message  
 19 because it is the kind of message that human beings  
 20 make. We also know how that designer, the human  
 21 being, made that message, probably by scratching a  
 22 stick or other object into the sand to move the sand  
 23 apart and create the message. And, finally, from our  
 24 own ordinary experience, we've seen it happen. So we  
 25 know the designer, we know the mechanism, and we have

Page 133

1 observed it happen in our own empirical experience.  
 2 In the case of inferring a designer for DNA,  
 3 curiously, the advocates of intelligent design don't  
 4 meet those standards. They say, we can't tell who the  
 5 designer is, we cannot know the mechanism, and we also  
 6 do not know how the designer operated and we've never  
 7 observed it. Therefore, the comparison between that  
 8 kind of message and the kind of message in DNA fails  
 9 even the most basic test of logic.  
 10 Q. Now, has there been scientific research done  
 11 on this proposition of whether or not there are  
 12 natural explanations for new biological information?  
 13 A. Yes, there has, in fact, a great deal.  
 14 Q. And could I direct your attention to  
 15 Plaintiffs' Exhibit 245. Do you recognize this  
 16 exhibit?  
 17 A. Yes, I do. This is a review article that  
 18 was written in a very prestigious journal, Nature  
 19 Reviews Genetics, and it's written by Manyuan Long and  
 20 several other people. And the title of the article  
 21 is, The Origin of New Genes, Glimpses From the Young  
 22 and the Old. It's an article that I read immediately,  
 23 as many scientists did when it came out, because it  
 24 describes a number of mechanisms by which new genetic  
 25 information is developed by the processes of

<p style="text-align: right;">Page 134</p> <p>1 evolution.</p> <p>2 Q. When did this article come out?</p> <p>3 A. I believe this was published in the year</p> <p>4 2003.</p> <p>5 Q. And how does this contradict what Pandas</p> <p>6 tells students?</p> <p>7 A. Well, it contradicts what Pandas tells</p> <p>8 students in a number of ways. First of all, you</p> <p>9 remember that Pandas said that all biological</p> <p>10 information, by analogy to John loves Mary written on</p> <p>11 the beach, had to be directly encoded by a designer.</p> <p>12 And what this paper summarizes, because it's a review</p> <p>13 paper, is it summarizes dozens of research projects in</p> <p>14 laboratories around the world on different mechanisms</p> <p>15 by which new biological information arises through the</p> <p>16 process of evolution by natural selection.</p> <p>17 And if we could advance the slide, please, I</p> <p>18 prepared a slide showing a table from the second page</p> <p>19 of this article. And thank you very much for zooming</p> <p>20 in on the table. And what you see on this table are a</p> <p>21 series of mechanisms by which new genetic information</p> <p>22 can arise. You'll notice the top one, the area up</p> <p>23 here talks about exon shuffling. The next one, gene</p> <p>24 duplication, then retroposition, mobile genetic</p> <p>25 elements, lateral gene transfer, gene fusion and fish,</p>	<p style="text-align: right;">Page 136</p> <p>1 a big deal that Pandas gets this wrong?</p> <p>2 A. I think it is a very big deal that Pandas</p> <p>3 gets this wrong, because you have to remember that the</p> <p>4 core argument of Of Pandas and People is that there is</p> <p>5 abundant evidence in biological systems not only that</p> <p>6 evolution is wrong, but also that there is a</p> <p>7 creator/designer who encoded all of this information</p> <p>8 into biological systems.</p> <p>9 Pandas at one point makes a statement that</p> <p>10 this information was written by the designer into the</p> <p>11 various types of organisms at the beginning, which is</p> <p>12 clearly the description of a creative act. And the</p> <p>13 only way that it can make that statement is by arguing</p> <p>14 that information cannot arise by natural mechanisms of</p> <p>15 the sort described abundantly in this review and</p> <p>16 summary paper.</p> <p>17 Q. So Pandas is just dead wrong on this point?</p> <p>18 A. Pandas is wrong on this point, but I think</p> <p>19 it's more important to point out that Pandas is wrong</p> <p>20 in a most particular way. Anybody can write a book</p> <p>21 about science and make a few mistakes, and Lord knows</p> <p>22 I have made my share of mistakes in trying to</p> <p>23 summarize science. But the error in Pandas in this</p> <p>24 respect is systematic, and that is, the errors are all</p> <p>25 intended to point students towards the acts of special</p>
<p style="text-align: right;">Page 135</p> <p>1 and, finally, de novo gene origination. Every one of</p> <p>2 these is a distinctly different molecular mechanism</p> <p>3 that results in the generation of new genetic</p> <p>4 information. None of them requires a designer,</p> <p>5 curiously.</p> <p>6 Now, the other thing that I find, I think,</p> <p>7 worthy of the Court's attention is that none of these</p> <p>8 are hypothetical mechanisms. In every case, the</p> <p>9 specific genes that have been formed by these</p> <p>10 mechanisms are listed in the third column of the</p> <p>11 table. And in the fifth column of the table, there</p> <p>12 are a series of scientific references documenting the</p> <p>13 studies that have shown how these genes originated by</p> <p>14 evolutionary processes.</p> <p>15 Q. So this is one article, but, in fact, it</p> <p>16 talks about many other articles that have done the</p> <p>17 research to support this proposition?</p> <p>18 A. That is correct. This references more than</p> <p>19 three dozen scientific studies showing the origin of</p> <p>20 new genetic information by these evolutionary</p> <p>21 processes.</p> <p>22 Q. Let me ask you, because I'm not a scientist,</p> <p>23 so I'm going to ask you to pretend that I'm your</p> <p>24 mother here. This notion of creating new biological</p> <p>25 information through natural pathways, I mean, is that</p>	<p style="text-align: right;">Page 137</p> <p>1 creation by the unnamed designer that are designed to</p> <p>2 encode the information into systems.</p> <p>3 So by arguing that studies like this don't</p> <p>4 exist, that mechanisms like this don't work, Pandas</p> <p>5 makes the case for the existence of the supernatural</p> <p>6 special designer or creator.</p> <p>7 Q. Now, you've discussed with us three errors</p> <p>8 in Pandas which come within your field of molecular</p> <p>9 biology. Are there other what you would consider</p> <p>10 significant errors or distortions of the science in</p> <p>11 Pandas?</p> <p>12 A. Yes, sir, there are.</p> <p>13 Q. And we will have another expert, Professor</p> <p>14 Padian, who will come in and talk about some of these</p> <p>15 in more detail, but just briefly, if you could just</p> <p>16 identify what some of those other errors are.</p> <p>17 A. Well, I think the principal one that I would</p> <p>18 identify for the Court is that Pandas completely</p> <p>19 misstates the character of the fossil record and the</p> <p>20 nature of natural history. And one element of that --</p> <p>21 I know you will have a paleontologist coming in later</p> <p>22 to go over that in detail for the Court, but one</p> <p>23 element of that that I find particularly significant</p> <p>24 is in Pandas' nearly complete omission of any</p> <p>25 discussion of what causes extinction.</p>

Page 138	Page 140
<p>1 Pandas mentions the fact that -- well,                  2 actually, Pandas mentions extinction in a few places.                  3 Any paleontologist will tell you that more than                  4 99.9 percent of all organisms that have ever existed                  5 on this planet have gone extinct. So just about every                  6 organism that has ever appeared is now extinct.                  7 Now, evolution, of course, has no problem                  8 explaining this because the competition between                  9 organisms and continuing genetic change is one of the                  10 engines that drives extinction. This is extremely                  11 well understood.                  12 But if one proposes to students the                  13 existence of an intelligent designer who used his                  14 skill and craft and cunning to encode this information                  15 and to produce perfectly-designed organisms, the fact                  16 that most of them go extinct is an embarrassment.                  17 And, in fact, you know, an intelligent designer who                  18 designed things, 99.9 percent of which didn't last,                  19 certainly wouldn't be very intelligent.                  20 And one of the questions that I think any                  21 reasonably inquisitive student will have when they                  22 open this book is, if an intelligent designer made all                  23 these things, why have they all become extinct if he's                  24 so intelligent? And Pandas simply does not address                  25 the issue, even though it clearly is going to raise it</p>	<p>1 Pandas. Matt, if you could highlight it. Could you                  2 read this highlighted passage from Page 65 on Pandas?                  3 A. Of course. Page 65, quote, Adherents of                  4 intelligent design assume that in the beginning all                  5 basic types of organisms were given a set of genetic                  6 instructions that harbored variation but were                  7 resilient and stable, unquote.                  8 Q. That's a rejection of natural selection and                  9 common descent?                  10 A. It is a profound rejection of this, because                  11 basically what it describes is the special creation of                  12 all organisms, because it says basic types of                  13 organisms, which in earlier parlance might have been                  14 referred to as created kinds, were given a set of                  15 instructions. In other words, the genetic information                  16 was written into them. They couldn't change, they                  17 were resilient and stable.                  18 So the picture that any reasonably                  19 intelligent student is going to get out of this is                  20 that intelligent design means that the                  21 designer/creator inserted these instructions into                  22 living organisms and they have remained essentially                  23 unchanged since that time.                  24 Q. Let me direct your attention now to Pages 99                  25 and 100 of Pandas. I'd ask you to read the</p>
Page 139	Page 141
<p>1 in the mind of any student who uses this book.                  2 THE COURT: Mr. Walczak, I'll tell you that                  3 anytime between now and 12:30 that you want to wrap up                  4 a line of questioning, you can do so. But I don't                  5 want to stop you here if you're in the middle of                  6 something.                  7 MR. WALCZAK: Your Honor, I think about five                  8 more minutes would be --                  9 THE COURT: That's fine. Let's wrap it up                  10 by 12:30, at least.                  11 BY MR. WALCZAK:                  12 Q. Dr. Miller, you talked earlier about the                  13 core of propositions of evolution. Does Pandas reject                  14 those core propositions or argue that, in fact, they                  15 are scientifically incorrect?                  16 A. Yes, sir, it does. It rejects all of them.                  17 In my opinion, it dances around the proposition that                  18 life has changed over time. It sort of -- it                  19 maintains what you might call a reserved indifference                  20 to that proposition. It certainly rejects common                  21 descent, and it profoundly rejects the third                  22 proposition, which is that the process of change can                  23 be understood by things that we observe happening in                  24 the world around us today.                  25 Q. Let me direct your attention to Page 65 of</p>	<p>1 highlighted passage.                  2 A. Quote, intelligent design means that various                  3 forms of life began abruptly through an intelligent                  4 agency with their distinctive features already intact,                  5 fish with fins and scales, birds with feathers, beaks,                  6 and wings, et cetera.                  7 Q. Is that science?                  8 A. No, not at all. And, in fact, anyone would                  9 recognize that in a flash as a form of special                  10 creation, because what we have here is intelligent                  11 design means the various forms began abruptly, and I                  12 might add separately, which is what the previous quote                  13 implied, and everything was intact. In other words,                  14 organisms were created by an intelligent force                  15 instantaneously with all of their features present.                  16 Now, I don't know if we have a demonstrative                  17 to this, but on Page 99 there is also a graphic that                  18 drives home this point in case the verbal -- in case                  19 the words are too subtle. Do we have that as a                  20 demonstrative?                  21 Q. Could you pull up Page 99?                  22 A. I think, actually, that's fine without                  23 further enlargement. And what you see now is Page 99,                  24 Of Pandas and People, and you can see that what is                  25 presented here is Pandas -- or the view of the fossil</p>

Page 142	
1 record and natural history that Pandas wishes to show	1 CERTIFICATION
2 to students, and that is that every single organism	2 I hereby certify that the proceedings and
3 began its existence on earth as a result of a creative	3 evidence are contained fully and accurately in
4 process with the information inserted into it, as it	4 the notes taken by me on the within
5 says, by an intelligent agent. It lasts for a certain	5 proceedings and that this copy is a correct
6 time on earth, and then it vanishes due to extinction.	6 transcript of the same.
7 So what we have basically is a series of	7 Dated in Harrisburg, Pennsylvania, this
8 separate creative events required to bring each	8 27th day of September, 2005.
9 individual type of organism into existence. If one	9
10 wished to understand whether or not Pandas is	10
11 consistent with the idea of common descent, one look	11 /s/ Lori A. Shuey
12 at this graphic tells you huh-uh, because what Pandas	12 Lori A. Shuey, RPR, CRR
13 clearly shows in this graphic is separate descent of	13 Official Court Reporter
14 every single basic type of organism.	14 United States Courthouse
15 Q. And is that similar to creation science as	15 228 Walnut Street, P.O. Box 983
16 it was practiced in the 1980s?	16 Harrisburg, PA 17108-0983
17 A. It is -- the notion of separate descent is	17 (717)215-1270
18 identical to creation science, and the only difference	18
19 that I can see is that in Pandas the creative events	19
20 are presumed to be spaced out over time, whereas in	20
21 creation science, those creative events were presumed	21
22 to have occurred at the same time or the same six-day	22
23 period. Other than that, I don't see much to differ	23
24 them.	24
25 MR. WALCZAK: I think, Your Honor, now would	25

Page 143	
1 be a good time for me.	
2 THE COURT: All right. We'll take a lunch	
3 break now. I might be inclined to say class dismissed	
4 for the morning. We'll return at 1:45. I'd ask that	
5 you be in your seats promptly at that time so that we	
6 can start our afternoon session then. I thank you.	
7 We'll stand in recess until 1:45.	
8 (A luncheon recess was taken.)	
9	
10	
11	
12	
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	