

IN THE UNITED STATES DISTRICT COURT
FOR THE MIDDLE DISTRICT OF PENNSYLVANIA

TAMMY KITZMILLER, et al :
 : CASE NO.
 v. : 4:04-CR-002688
 :
 DOVER AREA SCHOOL DISTRICT, :
 et al :

TRANSCRIPT OF PROCEEDINGS
BENCH TRIAL

MORNING SESSION

BEFORE: HON. JOHN E. JONES, III
DATE : November 4, 2005
9:00 a.m.
PLACE : Courtroom No. 2, 9th Floor
Federal Building
Harrisburg, Pennsylvania
BY : Wendy C. Yinger, RPR
U.S. Official Court Reporter

APPEARANCES:

ERIC J. ROTHSCHILD, ESQUIRE
WITOLD J. WALCZAK, ESQUIRE
STEPHEN G. HARVEY, ESQUIRE
RICHARD B. KATSKEE, ESQUIRE
THOMAS SCHMIDT, ESQUIRE
For the Plaintiffs

PATRICK T. GILLEN, ESQUIRE
RICHARD THOMPSON, ESQUIRE
ROBERT J. MUISE, ESQUIRE
For the Defendants

I N D E X T O W I T N E S S E S

<u>FOR THE DEFENDANTS</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
---------------------------	---------------	--------------	-----------------	----------------

Scott Minnich				
---------------	--	--	--	--

By Mr. Muise			98	
--------------	--	--	----	--

By Mr. Harvey		3		
---------------	--	---	--	--

1 THE COURT: All right. Good morning to all
2 in what, I believe, will prove to be the final day of
3 this case. And we remain in the cross examination of
4 the expert witness, and I'll turn it back over to you,
5 Mr. Harvey. You may proceed.

6 **CROSS EXAMINATION (CONTINUED)**

7 BY MR. HARVEY:

8 Q. Good morning, Dr. Minnich.

9 A. Good morning.

10 Q. I'm willing to pretend that we're doing this in
11 front of an empty courtroom, if you are. That will make
12 it a little bit easier for me; perhaps for you, too.

13 A. Okay.

14 Q. When we left off yesterday, we were talking about
15 the argument of irreducible complexity and where it
16 finds its origins. And I'd like you to turn to what's
17 been marked as P-845. And, Matt, if you could bring
18 that up on the screen. Please let me know when you have
19 that in front of you.

20 A. Okay. I've got it.

21 Q. Or you can look on the monitor, if that's easier
22 for you. This is a publication from the Institute for
23 Creation Research in 2005, and it's authored by a man
24 named Dr. Henry Morris. Have you ever heard of Dr.
25 Henry Morris?

1 A. I have.

2 Q. He's actually the founder and president of the
3 Institute for Creation Research, isn't he?

4 A. That's my understanding, yes.

5 Q. And he's really the founder of the
6 creation-science movement, is that your understanding?

7 A. I haven't followed that movement that closely,
8 but I'll take your word for it.

9 Q. And what he's got here is, he's reviewed a book
10 called The Design Revolution by William Dembski. And
11 I'd like to just ask you some questions about some of
12 the things that are said in here, but first, have you
13 read this review before today?

14 A. I haven't. I haven't seen it.

15 Q. Well, if you turn to the first page -- and, Matt,
16 if you could bring it up -- there's a statement on the
17 right-hand side where he says, We do appreciate the
18 abilities and motives of Bill Dembski, Phil Johnson, and
19 the other key writers in the intelligent design
20 movement. They think that if they can just get a wedge
21 into the naturalistic mind set of the Darwinists, then
22 later, the Biblical God can be suggested as the designer
23 implicit in the concept. Do you see that?

24 A. I do.

25 Q. And I would like to know if you agree with me

1 that, that's what the design proponents are trying to
2 do?

3 A. No, I don't think so at all. I mean, that's a
4 pretty subjective statement.

5 Q. Well, if you just turn to the second page of
6 that, there's a statement there -- and I'm going to ask
7 Matt to highlight this, too. It begins with the word
8 second. It is not really a new approach. Matt, can you
9 bring that up? Referring to the intelligent design
10 approach, it says, quotes, Second, it is not really a
11 new approach, using basically the same evidence and
12 arguments used for years by scientific creationists but
13 made to appear more sophisticated with complex
14 nomenclature and argumentation, end quotes. Do you see
15 that?

16 A. Yeah, I see it.

17 Q. Do you agree that's a true statement?

18 A. Well, I would -- in terms of the context, I'd
19 rather read the whole article. I don't agree that's
20 necessarily true at all. Part of it is true. I think
21 some of the arguments that the creationists proffered
22 back in the '80's are legitimate and they can be used,
23 just looking from the scientific approach.

24 Q. Well, I'd like to ask you about another statement
25 in this article by Henry Morris, and it's in the

1 right-hand side, and I'll ask Matt to flag that as well.
2 Highlight it, please. And I want to know whether
3 this -- you know this to be true.

4 Quotes, These well-meaning folks did not really
5 invent the idea of intelligent design, of course.
6 Dembski often refers, for example, to the bacterial
7 flagellum as a strong evidence for design, and indeed it
8 is, but one of our ICR scientists, the late Dr. Dick
9 Bliss, was using this example in his talks on creation a
10 generation ago, close quotes.

11 Did you know that a man named Dr. Dick Bliss,
12 who's affiliated with the Institute for Creation
13 Research, was using --

14 MR. MUISE: Objection, Your Honor. He's
15 asserting this as a statement of truth. And this is a
16 hearsay statement. If he wants to ask him if he agrees
17 with that statement, that's something totally different,
18 but he's asserting this to be a truthful statement.

19 THE COURT: Let's let him finish the
20 question, and I'll take the objection. Finish you shall
21 your question, please.

22 BY MR. HARVEY:

23 Q. Dr. Minnich, I'd like to know whether you know
24 that a man named Dr. Dick Bliss, who was affiliated with
25 the Institute for Creation Research, was using the

1 bacterial flagellum as part of his argument for
2 creationism years before the intelligent design movement
3 picked up on it?

4 THE COURT: All right. The objection is
5 overruled for the record. You can answer the question.

6 THE WITNESS: No, I wasn't aware of it, but
7 I'm not surprised. Again, like I asserted yesterday
8 that, the bacterial flagellum is one of the organelles
9 that we know the most about of any. And so it's natural
10 to look at this structure as a model for either
11 evolution or irreducible complexity. So I'm not
12 surprised. I didn't know it, but I'm not surprised.

13 BY MR. HARVEY:

14 Q. Now you and Dr. Behe claim that the bacterial
15 flagellum is irreducibly complex and thus could not
16 evolve. Is that a fair statement of your position?

17 A. Correct. There is some -- right. It's
18 irreducibly complex in terms of the genetic analysis of
19 the structure.

20 Q. Please tell me whether you agree with this
21 statement. Neither you nor Dr. Behe has set out to do
22 any original research to show that the bacterial
23 flagellum could not have evolved, as you contend?

24 A. I think the work that I've published on for the
25 last 12 years bears on this question of irreducible

1 complexity, but I'm not aware of specific experiments
2 addressing, you know, I mean, real lab experiments
3 addressing the evolution of this structure.

4 There have been plenty of publications comparing
5 the flagellum with the type III secretory system and
6 whether it's an intermediate. So, in that sense, I
7 think some of my work bears on that as well.

8 Q. So in other words, you agree with the statement I
9 said?

10 A. Repeat the statement.

11 Q. Neither you nor Dr. Behe has set out to do any
12 research to show that the bacterial flagellum could not
13 have evolved?

14 A. I want to qualify that. You know, the thing
15 that's interesting to me was, back in 1994, my
16 laboratory, my students and I were the first to propose
17 that the bacterial flagellum could be used for other
18 than secretion of flagella proteins. We were the first
19 to actually predict that the type III secretory system,
20 which we didn't know existed at that time period, would
21 either be the basal body of the flagellum or a structure
22 that looked very much like it. Okay.

23 So I think that I have had some impact in this
24 area directly. And the ironic thing is that, presenting
25 this at scientific meetings and in grant proposals, it

1 was considered a whimsical idea because there was no
2 apriority evidence that the secretion of virulence
3 factors or the flagellum had anything to do with each
4 other.

5 Q. Well, would it be fair to say that, neither you
6 nor Dr. Behe has published any papers in scientific
7 journals on whether -- on the evolution or not of either
8 the type III secretory system or the bacterial
9 flagellum?

10 A. I'm not funded to look at the evolution of the
11 flagellum. I'm funded to look at its effect in terms of
12 regulation and virulence and type III secretion.

13 Q. In other words, the statement I just said was
14 true?

15 A. That's not the emphasis of my work.

16 Q. Now you did publish a paper, you told us about in
17 your direct testimony, with Steven Meyer, correct?

18 A. Correct.

19 Q. That was published in some conference proceedings
20 with respect to a conference that took place in Greece?

21 A. That's correct.

22 Q. And Steven Meyer is not a biologist, correct?

23 A. He's not. He's a philosopher of science.

24 Q. So he's not a scientist?

25 A. Well, he's a philosopher of science. He's

1 trained as a physicist, my understanding, and work in
2 that area for a while.

3 Q. Now this was a conference for engineers who used
4 natural mechanisms to devise new technologies, do I
5 understand that correctly?

6 A. Correct.

7 Q. It wasn't a conference for biologists or it
8 wasn't a conference on evolutionary biology, was it?

9 A. It was a conference that included biologists and
10 engineers and architects, as I discussed yesterday,
11 looking at design in nature.

12 Q. And the paper that you published was only
13 minimally peer reviewed, isn't that true?

14 A. For any conference proceeding, yeah. You don't
15 go through the same rigor. I mentioned that yesterday.
16 But it was reviewed by people in the Wessex Institute,
17 and I don't know who they were.

18 Q. I'd like you to take a look at what's been marked
19 as P-837. Matt, if you could bring that up.

20 A. May I just look off the screen?

21 Q. Yes. And in that paper, you cite several peer
22 reviewed papers, including a paper in the Journal of
23 Molecular Biology that suggests that the bacterial
24 flagellum was the evolutionary pre-cursor to the type
25 III secretory system, isn't that correct?

1 A. Correct.

2 Q. And this actually is the paper you cite?

3 A. Correct.

4 Q. And from this paper, and this is in your report
5 at -- you stated this in your report at page 9. We'll
6 bring that up. It's P-614. Matt, could you highlight
7 the sentence that says, neither standard neo-Darwinism,
8 in the bottom paragraph. It begins with -- it's the
9 third sentence. It begins, Given that neither. And
10 from this paper, P-837, you draw the conclusion, as
11 stated in your report, and this, I believe, is a
12 quotation from the article, the conference proceeding
13 paper, that, quotes, Neither standard neo-Darwinism nor
14 co-option, has adequately accounted for the origin of
15 these machines, or the appearance of design that they
16 manifest. One might now consider the design hypothesis
17 as the best explanation for the origin of irreducibly
18 complex systems in living organisms. Isn't that true?

19 A. Yes, that's correct.

20 Q. Now the paper that we just looked at, the one
21 that you were relying on, that's a paper in a peer
22 review journal, isn't that right?

23 A. That's correct.

24 Q. And actually, you're aware that there are a
25 number of papers in peer review journals on this same

1 subject?

2 A. I am.

3 Q. For example, please take a look at what's been
4 marked as P-284.

5 A. Got it.

6 Q. And if you look in the abstract, there's a
7 sentence that I just want to bring you to, that I think
8 it summarizes what we need to discuss. It's the fourth
9 sentence in the abstract, Matt. The one that begins,
10 Our analysis.

11 This says that, Our analysis indicates that the
12 type III secretory system and the flagellar export
13 mechanism share a common ancestor, but they have evolved
14 independently from one another. Do you see that?

15 A. I see it.

16 Q. Unlike your paper, that is a peer reviewed
17 scientific paper, correct?

18 A. In that -- in that sense, yeah. Again, mine is a
19 conference paper, so --

20 Q. This is a true peer reviewed paper, correct?

21 A. Correct.

22 Q. Now I'd like you to look at another, if you turn
23 to Exhibit P-740. This is another paper in a peer
24 reviewed scientific journal called Trends in
25 Microbiology, is that correct?

1 A. Correct.

2 Q. I think I'd like to go to the second page of
3 this, the paragraph on the right-hand side that begins
4 on the right-hand side, Matt, about halfway down that
5 paragraph, the sentence beginning with the words,
6 regarding the bacterial flagellum, and the rest of that
7 paragraph.

8 Now this says that, quotes, Regarding the
9 bacterial flagellum and the TTSS's, we must consider
10 three, and only three, possibilities. First, the TTSS
11 came first. Second, the flagellar system came first.
12 Or third, both systems evolved from a common pre-cursor.
13 At present, too little information is available to
14 distinguish between these possibilities with certainty.
15 Do you see that?

16 A. I see it.

17 Q. Now I could show you, and I have in my notebook,
18 a number of other peer reviewed scientific journals that
19 discuss this subject. But would you agree with me that
20 the -- that how the bacterial flagellum and the type III
21 secretory system evolved is an unsettled scientific
22 question?

23 A. Well, that's part of why we're here. It's a good
24 scientific debate. And that's how science works. I
25 think if you read -- if you read the conclusion of this

1 paper, Bill Sayer is favoring the fact that the
2 flagellum came first.

3 And I think that the arguments and the evidence,
4 not only the ones that we proffered in our conference
5 paper, but the new evidence that's comes out, favors
6 that, that scenario. I mean, this is -- the type III
7 secretory system is limited, to our knowledge now, to a
8 narrow group of gram negative organisms, that the type
9 III secretory system, from what we know now, only is
10 designed to effect eukaryotic organisms either in a
11 symbiotic relationship or a parasitic relationship.

12 So eukaryotic organisms evolved after prokaryotic
13 organisms. The structure is directly to eukaryotic
14 organisms. And you have to postulate that all the other
15 bacteria, as they evolved, lost this TTS system, and
16 that was only retained by this select group, you know.

17 So I think the evidence is getting to the point
18 that we're going to side with the fact that the
19 flagellum came first, more complex structure came first
20 before the TTSS.

21 Q. There's actually a number of scientific papers
22 that go the other way, isn't that correct?

23 A. Well, I think so. I think it's part of the
24 nature of this debate. I mean, there's some
25 subjectivity to it. If you look at Bill Sayers' first

1 paper, just based on the sequence analysis, there's much
2 tighter similarity between the type III secretory system
3 proteins than there are in flagellum, which is an
4 indication in evolutionary terms that these came later.
5 They haven't evolved as much as the flagellar system.

6 Q. The point is not that the chicken or the egg came
7 first, Dr. Minnich, it's that a lot of highly qualified
8 scientists are looking at this question and trying to
9 determine the evolution of the type III secretory
10 system --

11 A. You bet.

12 Q. -- and the bacterial flagellum. That's a true
13 statement, isn't it?

14 A. That's a true statement.

15 Q. There's a number of papers that have been
16 published in peer reviewed scientific journals on both
17 sides of this question, and the papers are inconclusive,
18 correct?

19 A. They're inconclusive, but I think if you look at
20 the more recent ones, you know, the gavel is falling on
21 the side of the flagellum first.

22 Q. Well, the real point of this is that, none of
23 those highly qualified scientists who are doing research
24 and publishing in peer reviewed scientific data are
25 suggesting in any way that these systems did not evolve,

1 but were instead created abruptly by an intelligent
2 design agent?

3 A. I never said that the flagellum was created
4 abruptly. I have no idea in terms of how it came about.
5 I just look at the structure. And it has the signature
6 of irreducible complexity and design. It's a true
7 rotary engine. I just come back to that. It doesn't
8 say anything about where it came from, when it was made,
9 or who was involved in it, or what was involved in it.

10 Q. Let me reask the question again, leaving out the
11 word abruptly. None of the many highly qualified
12 scientists who are doing research in this area right now
13 and publishing in peer reviewed scientific journals are
14 in any way suggesting that these systems, the type III
15 secretory system and the bacterial flagellum, did not
16 evolve, but instead were created by an intelligent
17 designer, right?

18 A. No, we're looking at the function of these
19 systems and how they could have been derived one from
20 the other. And it's a legitimate scientific inquiry.
21 And it's good. I mean, I have no problem with that.

22 Q. In your direct testimony, you showed us pictures
23 and made reference to macromolecular machines, right?

24 A. I did.

25 Q. You call them nanomachines, as we discussed

1 yesterday?

2 A. These refer to either way in the literature.

3 Q. You are not suggesting, are you, Dr. Minnich,
4 that these are actually machines, are you? You're
5 saying that they're like machines, aren't you?

6 A. If you read Bruce Alberts' review article, he
7 specifically states -- and we can look it up, if you
8 want. Why do we call them machines? Because they are
9 machines.

10 Q. You think that Dr. Alberts says, these are
11 machines?

12 A. Well, let's look at the paper.

13 Q. Well, actually, I just want to know what your
14 understanding is. I was under the impression that
15 machines were created by human beings, that a machine
16 was, by definition, something created by a human being.
17 Do you agree with that?

18 A. Yeah, I mean, that's our -- that's our reference.

19 Q. And you're not aware of any machines that were
20 created by any being other than a human being, are you?

21 A. Well, isn't that what we're talking about? Isn't
22 that the surprise that, when we open up the cell and we
23 find these macromolecular machines, that all of my
24 colleagues refer to them as, or nanomachines, that these
25 were unanticipated. So we've got to -- and they

1 function as machines, invented like humans, as David
2 DeRosier says, or these other people.

3 Q. Well, my question to you is, are you aware of any
4 machines that were invented, created, or designed by
5 anyone other than a human being?

6 A. I think it would boil down to a definition of a
7 machine, you know. Some animals can put together some,
8 you know, crude devices to, you know.

9 Q. With the exception of possibly animals and human
10 beings, are you aware of any other beings that have ever
11 created, invented, or designed a machine?

12 A. No.

13 Q. Now you relied in your testimony and the argument
14 that you presented in your direct evidence, in your
15 direct testimony, excuse me, on quotations from a number
16 of eminent scientists, isn't that true?

17 A. I did. And I think I qualified as well that
18 these are all individuals that are evolutionists. So
19 I'm not trying to, you know, put words in their mouths
20 or say they agree with me. I'm just looking at what
21 their statements say.

22 Q. The three scientists you mentioned were Dr.
23 Woese, Dr. Alberts, and Dr. Simon Conway Morris?

24 A. Correct.

25 Q. Those are three of the most eminent scientists in

1 the world, would you agree?

2 A. I agree.

3 Q. And let's talk about Dr. Woese for just a second.
4 In your testimony, you rely on an article by Woese and
5 two quotes in particular. Matt, please put up slide
6 number 10. This was a quotation from Dr. Woese that you
7 cited in your direct testimony, correct?

8 A. In my direct or my deposition, I think I had
9 included past this last phrase here.

10 Q. And you also rely on another quotation from Dr.
11 Woese, which is slide 28, Matt, please. Do you remember
12 talking about this in your direct testimony?

13 A. Yes.

14 Q. Now, Matt, please put up D 251 at page 176. In
15 the upper left-hand corner, Matt, the first two-thirds
16 of the paragraph. Dr. Minnich, would you agree with me
17 that Dr. Woese, this eminent scientist, completely
18 rejects the machine analogy. Would you agree with that?

19 A. I think, in this article, he is really objecting
20 to the point from molecular biology, looking totally at
21 the cell as a reductionist point of view, because from a
22 reductionist point of view, you do end up looking at
23 organisms as machines. In that sense, I think he's
24 referring to it, that in his view, the organism is more
25 than the sum of its parts, and this has in part been

1 ignored by molecular biology, and he wants to bring
2 things back to the higher level in terms of organismal
3 biology and evolutionary studies in terms of the origin
4 of these.

5 Q. Please tell me. I'm going to read a passage to
6 you, and tell me if I've correctly quoted Dr. Woese in a
7 peer reviewed scientific journal.

8 Quotes, Let's stop looking at the organism purely
9 as a molecular machine. The machine metaphor certainly
10 provides insights, but these come at the price of
11 overlooking much of what biology is. Machines are not
12 made of parts that continually turn over, renew. The
13 organism is. Machines are stable and accurate because
14 they are designed and built to be so. The stability of
15 an organism lies in resilience, the homeostatic capacity
16 to reestablish itself. Did I read that correctly?

17 A. Right.

18 Q. Dr. Woese rejects the machine analogy, correct?

19 A. He rejects the machine analogy because, you know,
20 this is based on our -- and I brought up this point
21 yesterday in terms of the bacterial flagellum. When
22 it's referred to as a machine that looks like it was
23 invented by a human more than any other machine is an
24 under statement because of these very parameters as
25 well. It is resilient. It can self-assemble. We can't

1 make anything like it. So our analogy, I think, is
2 limited more than anything else.

3 Q. Matt, pull up slide 16, please. This is a slide
4 that you used in your direct testimony?

5 A. Right.

6 Q. And this is referring to an article in the
7 journal Cell by Dr. Alberts?

8 A. Correct.

9 Q. And Matt, please pull up slide 17. And you rely
10 actually on the table of contents from that journal in
11 support of your argument that these are like a machine,
12 right?

13 A. I have that quote in there, right, directly from
14 the table of contents.

15 Q. Right. And if you look at the article itself, as
16 opposed to the table of contents, although I think it's
17 clear from the table of contents, he's quite clear in
18 saying that, these protein assemblies that he's
19 discussing in his article are like machines invented by
20 humans, correct?

21 A. Correct.

22 Q. And are you aware that, moving from the machine
23 analogy just to the overall substance of intelligent
24 design, that Dr. Alberts completely rejects the
25 conclusions that you purport to draw from his work?

1 A. Oh, I'm aware that he is a strong advocate of
2 evolution. He's even co-authored a manual for teaching
3 evolution at the secondary level in high school.

4 Q. Matt, please pull up P-852. You can either look
5 on the screen or you can look in your book, whatever is
6 more convenient for you.

7 A. What was the number again?

8 Q. 852.

9 A. Right.

10 Q. This is a letter to the editor that Dr. Alberts,
11 who, by the way, was the president of the National
12 Academy of Sciences for 12 years, right?

13 A. I am aware of that.

14 Q. This is a letter to the editor that Dr. Alberts
15 published in the New York Times. And I'm going to read
16 it to you. An please tell me if I've quoted it
17 correctly. In Design for Living, on February 7, Michael
18 J. Behe quoted me recalling how I discovered that the
19 chemistry that makes life possible is much more
20 elaborate and sophisticated than anything we students
21 had ever considered some 40 years ago.

22 Dr. Behe then paraphrases my 1998 remarks that
23 the entire cell can be viewed as a factory with an
24 elaborate network of interlocking assembly lines, each
25 of which is composed of a set of large protein machines.

1 That I was unaware of the complexity of living things as
2 a student should not be surprising.

3 In fact, the majestic chemistry of life should be
4 astounding to everyone. But these facts should not be
5 misrepresented as support for the idea that life's
6 molecular complexity is a result of intelligent design.
7 To the contrary, modern scientific views of the
8 molecular organization of life are entirely consistent
9 with spontaneous variation and natural selection driving
10 a powerful evolutionary process.

11 In evolution, as in all areas of science, our
12 knowledge is incomplete. But the entire success of the
13 scientific enterprise has depended on an insistence that
14 these gaps be filled by natural explanations, logically
15 derived from confirmable evidence. Because intelligent
16 design theories are based on supernatural explanations,
17 they can have nothing to do with science.

18 Were you aware that, that's Dr. Alberts' position
19 on the subjects that you've discussed in your direct
20 testimony?

21 A. I am aware. I haven't read this letter until
22 now, but I'm not surprised. I would disagree with the
23 bottom though. Because intelligent design theories are
24 based on supernatural explanations, they can have
25 nothing to do with science. You know, we're not -- I'm

1 the first person to say, we look for a natural
2 explanation, but this is -- the entire success -- the
3 scientific enterprise has depended on an insistence that
4 these gaps be filled by natural explanations.

5 We don't have a natural explanation yet for these
6 macromolecular machines. That's the whole point. And
7 again, going back, I think Dr. Alberts perhaps was
8 caught in his own language. All right. And I find this
9 amazing that, you know, we use this language, this
10 description of machines, and elegant chemistry, and then
11 go back and say, but this is entirely derived from
12 natural process of evolution and change over time.

13 Q. Matt, will you please pull up Exhibit P-848. And
14 Dr. Minnich, you can take a look at that either on the
15 screen or in your book.

16 A. Okay.

17 Q. This P-848 is an article that Dr. Alberts
18 published with a man named Jay Labov in a journal called
19 Cell Biology in the summer of 2004, isn't it?

20 A. Right.

21 Q. And in this article, Dr. Alberts summarizes the
22 efforts of the National Academies of Science to address
23 challenges to the teaching of evolution in the nation's
24 public schools. Isn't that true?

25 A. I haven't read this article.

1 Q. So you weren't aware of that?

2 A. Oh, I'm aware of it, right, that he's -- his
3 position.

4 Q. Dr. Alberts has made it very clear in the
5 scientific community that he does not believe that
6 intelligent design qualifies as science, correct?

7 A. Again, I haven't read the specifics of this. I
8 don't know what he's basing his conclusion on.

9 Q. Well, I'm asking you if you knew that Dr. Alberts
10 has made it very --

11 A. I'm aware that the National Academy of Science
12 has come out against the teaching of evolution, as well
13 as the AAAS and a number of other societies. In fact, I
14 was even informed Saturday before I came out here that
15 the American Society for Soil Science had come out
16 making a statement against intelligent design, which I
17 find incredible.

18 Q. We discussed Dr. Woese just a couple minutes ago.
19 And you, in your reports, cite and quote from a 2004
20 article by Dr. Woese to suggest that the modern day
21 supports of evolutionary theory are ripe with problems.
22 That's true, right? You said that in your expert
23 report?

24 A. Correct. And I also quoted, I think, more of a
25 light on Morris's papers as well illuminating that the

1 problems that we have in evolution.

2 Q. We'll talk about Dr. Simon Conway Morris in just
3 a minute. But you're aware that Dr. Woese completely
4 rejects the idea that intelligent design is science,
5 right? You're aware of that?

6 A. I haven't talked to Dr. Woese, so I'm not sure of
7 his personal opinion. I know he's an evolutionist, so
8 it doesn't surprise me. But you're asking if I know
9 specifically, and I don't.

10 Q. I haven't spoken to him either, although I'm sure
11 it would be a fascinating conversation.

12 A. I would like to.

13 Q. If you could turn to what's been marked as P-847.
14 And this is an article from an online publication called
15 Wired Magazine?

16 A. Right.

17 Q. Have you ever heard of this publication?

18 A. I have.

19 Q. And if you go to page 6 of this, there's a quote
20 from Dr. Woese in there, and I just want to know if you
21 were aware that he had said this?

22 MR. MUISE: Objection, Your Honor. Again,
23 it's an assertion that he is asking whether he's aware
24 that he said that. He's asserting he actually did say
25 this. We don't have any foundation for this. It's

1 obviously trying to be offered for the truth that he
2 actually asserted this statement. He said he doesn't
3 have any personal knowledge of this statement.

4 MR. HARVEY: I am trying to determine
5 whether he knows that Dr. Woese actually made a
6 statement in here that completely rejects and rebuts the
7 position that this witness offered in direct testimony.
8 He can either say he's aware of it or aware of the
9 position or he's not.

10 THE COURT: Why doesn't it go to the truth?

11 MR. HARVEY: Actually, I am not offering
12 this for the truth. I am asking this witness if he's
13 aware of that. And that tends to impeach his direct
14 testimony.

15 THE COURT: Well, I think the proper way to
16 do it is to ask him if he's aware of a statement without
17 reference to the exhibit. I think that will cure the
18 objection for the moment.

19 MR. MUISE: Well, the way he asserted it,
20 are you aware that he made this statement. He is
21 asserting that Dr. Woese actually made that statement.

22 THE COURT: I think the proper phraseology
23 for the question is a statement that, and I'll allow
24 that, without reference to the article. And I'll
25 sustain the objection to that extent.

1 BY MR. HARVEY:

2 Q. Well, Dr. Minnich, are you aware that Dr. Woese
3 has stated that, To say that my criticism of Darwinists
4 says that evolutionists have no clothes is like saying
5 that Einstein is criticizing Newton, therefore Newtonian
6 physics is wrong. Intelligent design --

7 MR. MUISE: Again, Your Honor.

8 THE COURT: Hold on. Hold it. That's not
9 consistent with the ruling on the objection. I don't
10 want you to read the statement into the record. I'll
11 allow you to paraphrase this statement without reference
12 to the article. That's the only way we're going to be
13 able to do this. If his answer is in the negative, then
14 we move on.

15 MR. HARVEY: I misunderstood your ruling.

16 BY MR. HARVEY:

17 Q. Dr. Minnich, you're not surprised -- you wouldn't
18 be surprised at all to learn that Dr. Woese has stated
19 publicly that intelligent design is not science, would
20 you?

21 A. Again, I haven't talked to Dr. Woese specifically
22 on this area, so I'm not aware of the statements.

23 Q. So you're not aware at all that Dr. Woese has
24 come out publicly and said that intelligent design is
25 not science?

1 A. I haven't.

2 MR. MUISE: Objection, Your Honor. He's
3 making an assertion. Does he know? Do you know if? I
4 mean, I'll --

5 THE COURT: I'll allow that question without
6 reference to the article. No, the objection is
7 overruled. And the answer stands.

8 BY MR. HARVEY:

9 Q. You mentioned Simon Conway Morris. Simon Conway
10 Morris is a leading paleontologist, correct?

11 A. He is.

12 Q. He is perhaps the foremost expert on the Cambrian
13 explosion?

14 A. Right, based on his work on the Burgess Shale.

15 Q. And he's a renowned evolutionary biologist?

16 A. He's written extensively on the subject, yes.

17 Q. Are you aware that Dr. Simon Conway Morris has
18 taken the position that intelligent design is not
19 science?

20 A. I am not aware of that. But again I would like
21 to, you know, for the record, state, in his paper, the
22 problem of convergence in evolution, the channeling, in
23 his mind, brings up the question of teleology, directly
24 quoted from his paper, and he cites two authors that
25 have been involved in intelligent design. So I think

1 he's looking at the possibility, you know, as a
2 scientist and looking at the claims.

3 Q. You're aware that in the paper you're referring
4 to, Dr. Conway Morris said that, if, with the underline
5 on it, if evolution is in some sense channeled, then
6 this reopens the controversial prospect of teleology?

7 A. Correct.

8 Q. Now I'd like to ask you about some other
9 questions. In your direct testimony, you said that you
10 infer the existence of intelligence by standard
11 scientific reasoning. Did I hear you correctly?

12 A. Correct.

13 Q. And is the explanation of intelligent design that
14 you provided to this Court similar to the presentation
15 that you would make if we were a group of scientists and
16 you were trying to persuade us that ID, intelligent
17 design, is scientifically valid?

18 A. Yes.

19 Q. And you testified that it's a legitimate
20 scientific practice to draw conclusions from published
21 studies or data that are different than those drawn by
22 the scientists who actually compiled the data, correct?

23 A. It happens all the time.

24 Q. And you cited Drs. Crick and Watson as an
25 example, correct?

1 A. Right.

2 Q. They relied on data published by another
3 scientist, and they drew their own conclusions about
4 that data?

5 A. There's always the cross fertilization of data
6 and ideas, and somebody will synthesize a new model, and
7 it can be tested.

8 Q. Drs. Crick and Watson won a Nobel Prize for the
9 conclusions they drew from that other scientist data,
10 correct?

11 A. Correct.

12 Q. Now the way they did that is, they published
13 their thinking in peer reviewed scientific journals for
14 the scrutiny of their colleagues, true?

15 A. In a one-page article in 1953 in Nature, right,
16 the first publication on the structure of DNA.

17 Q. Nature, that's a peer reviewed scientific
18 journal?

19 A. It is.

20 Q. Is that the probably the number one most
21 respected peer reviewed scientific journal in the world?

22 A. I think Nature, Science, PNAS, Cell, would all
23 fit in that.

24 Q. Now Dr. Crick and Watson didn't win a Nobel Prize
25 by trying to convince school boards, average citizens,

1 lawyers, the press?

2 A. I made that clear yesterday, that I wasn't
3 equating what we were doing with the work of Watson and
4 Crick. I'm not so presumptuous or arrogant to make such
5 a comparison.

6 Q. Well, it's important to publish your scientific
7 conclusions in peer reviewed journals so that other
8 scientists, people who are qualified to evaluate those
9 conclusions and the evidence from which those
10 conclusions are drawn, so that those people, your
11 colleagues, so that they can look at your conclusions
12 and determine whether they make sense or not?

13 A. I agree.

14 Q. Hence the expression, publish or perish, right?

15 A. Right. And publish and perish as well.

16 Q. That's your second very good joke in this --
17 leading all expert witnesses.

18 A. I'm concerned, you know. There's a risk
19 involved. That paper that I published for the
20 conference proceedings ran a lot of risk in terms of the
21 implications and how people would review my work based
22 on the conclusions that I was making. And that's part
23 of the problem, is that, to endorse intelligent design
24 comes with risks, because it is a position against the
25 consensus. And science is not a democratic process.

1 But peer review works both ways. And it is, like I
2 said, it's dangerous. I'm taking a risk in putting
3 these ideas out, as well as everybody else in this area
4 that's trying to get published.

5 Q. And that's because the, really the entire
6 scientific community rejects the idea that intelligent
7 design is science, isn't that correct?

8 A. That is correct, at this point. And that is the
9 history of science as well.

10 Q. And this explains why you have not published any
11 articles on intelligent design in any peer reviewed
12 scientific journals, correct?

13 A. By your definition, no. But I have one in a
14 conference proceedings, so I'm willing to put my ideas
15 out there. And, but again, my focus in my laboratory is
16 on pathogenesis. That's my primary concern. And that's
17 what I publish on. And that's -- you know, I have to
18 keep my lab funded.

19 The implications, I think, contribute to our idea
20 of intelligent design. And I certainly don't hide my
21 feelings or arguments as well. I mean, I've talked
22 about this. I've been open about it with my colleagues.
23 I think the more we discuss it, the merits of some of
24 these things are understood, and they're not dismissed
25 outright before being weighed, which is the tendency.

1 Q. Dr. Minnich, you're not aware of any research
2 articles advocating intelligent design in any peer
3 reviewed scientific journals, are you?

4 A. I think yesterday there was, as I mentioned,
5 there were around, between, I don't know, seven and ten.
6 I don't have the specific ones. But Dr. Axe published
7 one or two papers in the journal Biological Chemistry
8 that were specifically addressing concepts within
9 intelligent design. Mike Behe had one. Steve Meyer has
10 had one.

11 So, you know, I think the argument that you're
12 not publishing in peer reviewed literature was valid.
13 Now there are a couple out there. How many do we have
14 to publish before it is in the literature and being
15 evaluated? I mean, do we have to have 25? 50? I mean,
16 give me a number.

17 Q. Let's just talk about Dr. Axe. Those papers
18 don't advocate intelligent design, do they?

19 A. That's the intent in terms of looking at protein
20 sequence and domains and sequence space.

21 Q. He doesn't mention the words intelligent design
22 anywhere in those articles, isn't that correct?

23 A. There's a reason for that.

24 Q. And you mentioned something by Dr. Behe, is that
25 right?

1 A. Correct.

2 Q. That's the article with Snoke?

3 A. Yes.

4 Q. That wasn't in a scientific journal, was it?

5 A. Well, refresh my memory. I haven't read the
6 papers.

7 Q. So you don't know -- if Dr. Behe testified that
8 that wasn't in a scientific journal, you wouldn't
9 question it?

10 A. I wouldn't dispute it, no.

11 Q. Intelligent design posits the existence of an
12 intelligent agent who devised a plan, a pattern, a
13 blueprint for living things, isn't that correct?

14 A. I don't agree with that definition. I think
15 intelligent design is looking at nature and asking, are
16 the complex structures that we find possibly developed
17 by natural cause alone or not? Is a design real or
18 apparent?

19 Q. You testified about the book Of Pandas and People
20 in your direct?

21 A. Right.

22 MR. HARVEY: Your Honor, may I approach?

23 THE COURT: You may.

24 BY MR. HARVEY:

25 Q. I've handed you a copy of Of Pandas and People,

1 opened to page 14. In the lower right-hand side,
2 there's a statement there?

3 A. Okay.

4 Q. It's actually the last sentence on that page.
5 Intelligent design, by contrast, locates the origin of
6 new organisms in an immaterial cause, in a blueprint, a
7 plan, a pattern devised by an intelligent agent. Isn't
8 that what the book says?

9 A. Right. I mean, in that sense, yes, there's an
10 intelligent cause behind the specified complexity that
11 we find in nature.

12 Q. And intelligent design also, another way of
13 saying the same concept is that, intelligent design
14 posits the concept of a master intellect, isn't that
15 right?

16 A. To a degree, yes, but it doesn't indicate or
17 identify master intellect, who it is.

18 Q. Now you think that the intelligent agent is the
19 God of Christianity, isn't that true?

20 A. Are you asking me personally?

21 Q. Yes.

22 A. Okay. Yes, my personal opinion, but that's not
23 based on a scientific conclusion.

24 Q. You're affiliated with the Discovery Institute,
25 right?

1 A. I'm a fellow.

2 Q. And you're proud of your association with the
3 Discovery Institute?

4 A. Yeah, it's a good network for --

5 Q. And you're familiar with Philip Johnson?

6 A. I am familiar with Philip Johnson.

7 Q. He also thinks that the intelligent designer is
8 the God of Christianity, isn't that true?

9 A. That's my understanding, yes.

10 Q. And Michael Behe is a fellow of the Discovery
11 Institute?

12 A. He is.

13 Q. And he also thinks that the intelligent designer
14 is the God of Christianity, correct?

15 A. I haven't asked Mike directly, but he's a
16 Catholic, I know, so I assume so.

17 Q. William Dembski, you know that he thinks the
18 intelligent designer is the God of Christianity, right?

19 A. Correct. But again, these are personal opinions
20 that aren't based on looking at the science.

21 Q. I understand. Dean Kenyon is a fellow with the
22 Discovery Institute?

23 A. I'm not sure, but I'll take your word for it.

24 Q. Do you know Charles Thaxton?

25 A. I know Charles Thaxton.

1 Q. He's a fellow with the Discovery Institute,
2 right?

3 A. I believe so.

4 Q. Do you know he thinks the intelligent agent is
5 the God of Christian?

6 A. I'm aware of that.

7 Q. Nancy Pearcey. She's a fellow with the Discovery
8 Institute?

9 A. Correct.

10 Q. And she thinks that the intelligent agent is the
11 God of Christianity, isn't that right?

12 A. Correct.

13 Q. Now I want to ask you about -- we talked just
14 about the term intelligent design. As I understand it,
15 intelligent design, as an argument, is saying that this
16 intelligent designer not only designed living things,
17 but also built living things. Do you agree?

18 A. Repeat the question.

19 Q. Sure. Intelligent design, as a concept or an
20 argument, is saying that the intelligent designer not
21 only designed living things, but the intelligent
22 designer built living things?

23 A. I haven't heard that inference before. I mean,
24 there are parts of that I would agree with, but in terms
25 of aboriginal forms or whatever, there is nothing in

1 terms of the mechanism implicit in intelligent design
2 that I'm aware of.

3 Q. Well, the statement that I said, that's -- that
4 flows logically from the concept?

5 A. Right.

6 Q. You're not saying that the intelligent designer
7 drew up this blueprint and then set it aside, are you?

8 A. No, no, no.

9 Q. The intelligent designer designed and built these
10 things?

11 A. Correct.

12 Q. Designed and created these things, correct?

13 A. Well, your use of the word created, invented,
14 whatever. I mean, it was a creative process at some
15 point, whoever the designer was.

16 Q. But you would agree with me, whether we want to
17 say built or created, made, constructed, put together,
18 it's all the same thing? The intelligent designer
19 designed and created these living things. That's the
20 logical implication of intelligent design?

21 A. Again, I go back to what I said yesterday. As
22 biologists, all of us look at nature and we see design.
23 It's overwhelming by our own admission. The question
24 is, is it real design or only apparent design? Or is it
25 a combination of both? You know, and I think those are

1 legitimate scientific questions to be asked.

2 Q. I'm anxious to explore that with you, but first I
3 have to get this cleared up. You agree that it's
4 intelligent design and construction, building, creation,
5 it's both concepts, correct?

6 A. Correct, given some of the structures we find in
7 the simplest cells that supersede anything that our
8 engineers can build at present, yeah, I would say it's a
9 source of intelligence.

10 Q. Wouldn't it be more correct to call the argument
11 or the theory, intelligent design and creation?

12 A. No. You know, I think I resent the consistent
13 misrepresentation of intelligent design with
14 creationism.

15 Q. Well, intelligent design and construction, would
16 that be better?

17 A. Okay.

18 Q. You can accept --

19 A. At some point. All we can say is that, there's
20 design -- I think it's real. There's a designer. I
21 don't know who it is or what it is, you know, from the
22 science that I'm deriving that assertion from. Science
23 isn't going to tell me.

24 Q. Have you ever worked with an architect, for
25 example, on your house or --

1 A. You bet.

2 Q. They refer to themselves -- sometimes you can go
3 to an architect that design, and then you can go to a
4 contractor, or you can go to one that does it all
5 together, and that's called design build. Are you
6 familiar with that?

7 A. Correct.

8 Q. And that's really what you're saying here, is
9 that the intelligent designer designed and built,
10 correct?

11 A. Right.

12 Q. Now you have stated that intelligent design has a
13 positive case and a negative case?

14 A. Correct.

15 Q. And the positive case is based on the appearance
16 of design in nature. Is that true?

17 A. Correct.

18 Q. And according to you, we infer design when we see
19 a purposeful arrangement of parts?

20 A. Correct.

21 Q. Like a hand or an eye?

22 A. We're really restricted to the molecular level at
23 this point. We don't know, you know, all of the
24 variables involved in the eye or the hand. We look at
25 molecular machines. Those are well-defined. All the

1 parts are known. I'll leave it at that. At the
2 molecular level.

3 Q. The focus of your thinking has been on molecular
4 machines, I recognize that. But more broadly speaking,
5 the intelligent design position asserts, as an
6 illustrative proposition, that, for example, the hand is
7 a purposeful arrangement of parts and, therefore, we can
8 infer that the hand was designed?

9 A. I haven't made that assertion.

10 Q. Are you familiar with the Reverend William Paley?

11 A. I am.

12 Q. And Reverend William Paley posited the argument
13 for the existence of God based on design in nature,
14 correct?

15 A. Correct.

16 Q. And that's often times referred to, and if you
17 look it up in the dictionary, you'll find it referred to
18 as the teleological argument, right?

19 A. Correct, purpose.

20 Q. And you would agree, that's not a scientific
21 argument?

22 A. Again, I think it is. It's addressing the
23 question, is the design real or apparent? There are two
24 answers to the question, both of them very interesting,
25 and both of them are packed metaphysically. So, right.

1 I think we can look now and start dissecting what are
2 the properties of real design.

3 Q. So you understood -- you understand today, Dr.
4 Paley's argument, as it's expressed in academic circles,
5 as a scientific argument?

6 A. It's a philosophical argument looking at nature
7 in that sense. It was the argument, I think, that was
8 really important for Darwin to address. I don't think
9 we can really understand Darwin's contribution until we
10 understand the argument of design, that he was really
11 supplanting with natural selection and variation.

12 Q. And intelligent design is making essentially the
13 same argument that Dr. Paley made, except that it leaves
14 God out, correct?

15 A. It doesn't identify who the designer is, okay.
16 But I think the arguments are a little bit more
17 sophisticated based on what we know now compared to what
18 Paley knew.

19 Q. I'm anxious to discuss that with you, but it is
20 essentially the same argument with God left out,
21 correct?

22 A. To a degree in terms of addressing nature and
23 asking -- seeing design and asking, is it real or just
24 apparent.

25 Q. And just let me see if I understand the argument.

1 A. And it goes back to the Greeks. I mean, this
2 argument didn't initiate with Paley.

3 Q. I just want to make sure I understand the
4 argument. I'm walking through a field, and I find a
5 cell phone. I pick up the cell phone. I say, that cell
6 phone was obviously designed and, therefore, there must
7 be a designer. That's the inference that I draw. And
8 that's the basic argument of intelligent design, right?

9 A. That's the argument from Paley using a watch
10 instead of a cell phone, but, yeah.

11 Q. I thought I'd modernize it.

12 A. Yeah, okay. Were there any minutes on it?

13 Q. That's essentially the same argument -- and just
14 in its essence, the core, the reasoning, I'm asking,
15 that's essentially the same argument intelligent design
16 is making, right?

17 A. I'll agree with that.

18 Q. And in that argument, we see something created by
19 -- the cell phone is, of course, created by a human,
20 right?

21 A. Correct.

22 Q. So the design theorist sees an item that's
23 designed by a human and the theorist knows about the
24 creative and designing capacities of humans, right?

25 A. Right.

1 Q. And so it's a very logical inference to say, I
2 know that that was designed by humans. I also know
3 something about the creative or designing capacities of
4 humans. And it's a very logical conclusion to say, that
5 was designed by a human -- designed by intelligence and,
6 therefore, there must be intelligence, right?

7 A. Correct.

8 Q. Now when we move into the natural world, things
9 get a little different, because when we -- we don't know
10 when we pick up a natural object whether it was designed
11 by an intelligent agent, right? I mean, I recognize --

12 A. That's the question. That's the question.

13 Q. That's the question.

14 A. That's the question at bay here, right. I mean,
15 we know what it takes to write software for an algorithm
16 for your program to call up a specific routine. I'm
17 saying, when I work with cells and look at the
18 instructions, the algorithm to make a flagellum, it's
19 pretty darn sophisticated.

20 In fact, it's more sophisticated than anything
21 Microsoft has come up with yet. I know what it takes
22 for software engineers, to a degree, although I'm not
23 one, to write code. And here's a code that's much more
24 sophisticated. Is this a product of the natural random
25 events of chemistry and physics or is there a design

1 behind it?

2 When we find information storage systems, in our
3 own experience of cause and effect, day-to-day, by
4 scientific reasoning, standard scientific reasonings, we
5 can say, if we find code, that there's an intelligence
6 associated with it. Again, where there's an alphabet,
7 musical scale, numerals or symbols involved with
8 mathematics, and here we have a true digital scale or
9 code that's more sophisticated again than -- so
10 that's -- yes, that's the argument.

11 Q. Let's return to that field for just a minute.
12 And this time, let's -- we don't find a cell phone, but
13 instead, we find a mouse. And we pick up the mouse.
14 And we can feel the mouse's heart beating in our hands.
15 And we want to know something about this mouse.

16 Well, would you agree with me that we don't
17 know -- at the beginning of the argument for design, we
18 don't know who created that mouse, who designed that
19 mouse?

20 A. Correct.

21 Q. And we don't know anything about the capacities,
22 desires, intents, or other characteristics of any
23 designing intelligence, correct?

24 A. Not from looking at the mouse.

25 Q. And so, therefore, wouldn't you agree with me

1 that the analogy between the cell phone and inferring
2 the existence of human intelligence is not at all
3 similar to looking at something in nature and inferring
4 the existence of some intelligent agency? Wouldn't you
5 agree with me? That's just not logical?

6 A. I disagree with you. I mean, you're dealing with
7 a life organism versus an inanimate construct or
8 contrivance by a human. In one sense, yes, they're
9 different. But in terms of teasing them apart and
10 looking at the inner workings of individual cells, I
11 think we can infer, if we see the arrangements of parts
12 for a purpose, that, in our own experience, we can infer
13 design. It's perfectly legitimate. Tell me why it
14 isn't.

15 Q. Luckily, or unluckily, for you, you're the one
16 answering the questions today.

17 A. Correct.

18 Q. Now a few minutes ago, I suggested to you that
19 intelligent design is just a strip down version of Dr.
20 Paley's argument without the reference to God, right?

21 A. I wouldn't call it strip down. I think it's a
22 little more sophisticated than Paley's original
23 arguments. In fact, I find it interesting that Anthony
24 Flew, who is the leading apologist for atheism in the
25 UK, looking at the arguments from intelligent design,

1 has decided that atheism is no longer a valid position
2 for him, having, as a philosopher, worked in this area
3 for 60, 70 years. He's in his 80's. It didn't require
4 any religious conversion.

5 Q. Well, what I'm trying to explore with you, Dr.
6 Minnich, is that -- and we'll talk about molecular
7 biology some more at length in just a few minutes -- but
8 that intelligent design, in its essence, is making, as
9 you agreed with me previously, is making the same
10 essential fundamental argument that Dr. Paley made,
11 except it's not inferring the existence of God, it's
12 just inferring the existence of design, correct?

13 A. Correct.

14 Q. And now you said -- and Matt, I'd like you to
15 pull up that slide I just handed you. Second bullet
16 point. You said in your direct testimony that the
17 strength of the inference is quantitative. The more
18 parts that are arranged and the more intricately they
19 interact, the stronger is our confidence in design.
20 Correct?

21 A. Correct.

22 Q. Now if I understand your argument, what you're
23 saying is that, and this is what distinguishes your
24 argument from Dr. Paley and the point you were just
25 trying to make a minute ago, is that, you claim that

1 science has discovered a lot more design than was around
2 in Dr. Paley's time and, therefore, it's fair and
3 logical to revisit this argument, although albeit
4 without the reference to God, correct?

5 A. Correct.

6 Q. And, in fact, you say that the inference is
7 quantitative, right? That's the word you used?

8 A. Right.

9 Q. That quantitative means, obviously, a quantity?

10 A. Right. I think it's -- the argument goes from
11 our own experience with machines to the more complex a
12 machine, the more difficult it is to modify.

13 Q. Well, I'm trying to get Dr. Paley's argument
14 without God up in the modern times to understand it.
15 And at the time that Dr. Paley wrote, there was very
16 complex natural systems known then, correct?

17 A. Well, qualify that statement for me. What do you
18 mean, in terms of --

19 Q. I'll give you an example from one of my -- I'd
20 like to think he's an eminent forebear, but I'm not
21 sure. Dr. William Harvey. Do you remember that name?

22 A. Correct, studied blood circulation.

23 Q. Right. He discovered the circulatory system for
24 the blood, right?

25 A. Correct. And actually, he used the design

1 inference to do it, because he saw the way that the
2 blood system was constructed and looked at it as a
3 plumbing problem really.

4 Q. And Dr. Harvey died in 1657, didn't he?

5 A. Correct.

6 Q. And so at the time that Dr. Paley was thinking
7 about these issues, there were, in fact, some very
8 complicated systems in nature that were known to him?

9 A. I would qualify that. I mean, they were
10 complicated systems, especially based on the knowledge
11 they had, whether you're talking about the eye, which we
12 still view is very complicated, or circulatory systems.
13 But I don't think -- I don't know what you're inferring.

14 Q. Well, you said in your direct testimony that
15 there have been developments in the last 30 or 40 years,
16 I forget what you said, in molecular biology that
17 indicate a design that is much more than was previously
18 known, and from that, it's fair to revisit this
19 argument?

20 A. Well, I think just looking at Dr. Alberts'
21 statement in his article, that his view of the cell as a
22 graduate student, and his statement that we've always
23 underestimated the cell. And that's -- I think that's a
24 true statement.

25 Q. So there's been something that's happened over

1 the last 30 or 40 years that, in the scientific world,
2 that causes you and others to revisit the essence of the
3 argument advanced by Dr. Paley?

4 A. Correct. That's fair to say.

5 Q. In fact, you claim that's developments in
6 molecular biology?

7 A. Correct.

8 Q. And I think you said in your report that we've --
9 the last 30 or 40 years have been the golden age of
10 molecular biology?

11 A. Correct.

12 Q. Now I'd like to know whether there was some event
13 or some -- strike that -- some quantitative measure at
14 which point it became appropriate to revisit the design
15 argument?

16 A. That's a good question. No, I think it's a
17 culmination of information from a number of different
18 fields and the fact that you're seeing kind of a
19 convergence in physics as well to come to some of these
20 conclusions.

21 Q. So when we say quantitative as scientists --

22 A. I'm talking about specific molecular machines in
23 reference to this. I'm not saying that there's a
24 quantifiable number of papers that are going to trip the
25 scale to intelligent design revisited versus our

1 adherence to evolutionary biology as a sole explanatory
2 source for what we see in nature.

3 Q. Well, you're also, or you'll admit, there's no
4 quantifiable amount of design. We don't get to a
5 certain amount of design after Dr. Paley and say,
6 there's an objective measure of design, and we passed
7 it, correct?

8 A. I think you can look and do it comparatively,
9 maybe qualitatively compared to what we know that human
10 engineers design compared to what we find in subcellular
11 systems.

12 Q. There's no objective measure for design, true or
13 false?

14 A. I think there is an objective measure for design.
15 I mean, we use it. I think design engineers use it all
16 the time.

17 Q. There's no objective quantifiable measure for
18 design, true or false?

19 A. False.

20 Q. You agree with me -- let's move to a different
21 subject now, Dr. Minnich. You agree with me that
22 evolution is generally accepted in the scientific
23 community?

24 A. I do, and I think it's a critical subject in my
25 discipline, and I am -- I want to state for the record

1 that I am fully behind the teaching of evolution, and I
2 think that part of the problem is, we haven't taught it
3 enough and critically enough.

4 Q. Would you agree with me that, in a public high
5 school, it's appropriate to teach evolution?

6 A. Absolutely.

7 Q. Would you agree with me that, at a public high
8 school, it's appropriate to teach all aspects of
9 evolution, including the common ancestry between humans
10 and other species?

11 A. Absolutely.

12 Q. Now a few minutes ago, we talked about the
13 positive case for intelligent design, and I'd like to
14 now talk with you about the negative case for
15 intelligent design, right?

16 A. Okay.

17 Q. There is a negative case for intelligent design,
18 right?

19 A. Well, let's discuss it. Tell me what you have in
20 mind.

21 Q. Well, the negative case for intelligent design,
22 according to you, is based on the inability of evolution
23 to explain the overwhelming appearance of design in
24 nature?

25 A. Correct, I made that statement.

1 Q. And have you ever heard of the two-model
2 approach?

3 A. Yes, I have.

4 Q. And wouldn't you agree with me that, that
5 negative argument for intelligent design is based on the
6 two-model approach?

7 A. Not necessarily. I'd qualify it.

8 Q. Well, you're essentially saying, are you not,
9 that we purport to be able to disprove or challenge
10 evolution, and if evolution is wrong, therefore, it must
11 be intelligent design?

12 A. No. I'm saying, I think that there are aspects
13 of evolution that are very important in our
14 understanding of nature, and I think intelligent design
15 really addresses the mechanism of natural selection and
16 variation as the generative force behind going from the
17 simple to the complex.

18 It doesn't address common descent or even
19 macroevolution. I think a lot of us are satisfied with
20 that as well. But we lack the mechanism in the
21 intermediates at this point.

22 Q. So intelligent design accepts some degree of
23 change over time?

24 A. Oh, nobody is even debating that.

25 Q. But intelligent design is also suggesting that

1 other aspects of the theory of evolution are either
2 wrong or subject to challenge, correct?

3 A. In the aspect of natural selection and
4 variationism mechanism to drive evolution from the
5 simple to the complex.

6 Q. And the contention of intelligent design is, if
7 that's true, what you just said, that evolution can't
8 explain that, then that's proof for intelligent design?

9 A. I think it's consistent with an intelligence
10 behind the complexity that we find in nature. It's a
11 valid argument or derivative from that, yes.

12 Q. Wouldn't you agree with me that, it logically
13 doesn't follow to say, if one proposition is untrue,
14 that is the propositions about evolution that you
15 purport to challenge, that from that it flows that it
16 must be intelligent design? That's not logical?

17 A. No, it's perfectly logical. I'm saying that
18 there is -- as I said yesterday, I think natural
19 selection and variation is very important in terms of
20 preservation of phenotypic characteristics. I'm not
21 convinced it can generate the deep complexity of life
22 that we find.

23 Let me put it this way. If you're a materialist
24 or a naturalist, essentially, you believe in spontaneous
25 generation. You believe that the Earth in its

1 primordial condition produced all of the pre-cursors
2 that allowed for the assembly of the first replicating
3 organism that was dependent upon those pre-cursor
4 compounds in this soup for its survival, and then turned
5 around and taught itself how to do biochemistry and
6 organic chemistry at a level that's more sophisticated
7 than any chemist on this planet in terms of the
8 specificities of the reactions, the yields, and the
9 overall intricacy of those things.

10 So that's what -- that's at the level in terms of
11 the logic that we're dealing with here. Okay. Do you
12 believe that?

13 Q. Well, let's just say, suppose for just a second
14 that the theory of evolution was proved to be wrong
15 today. Then you would agree with me that that is no
16 support whatsoever for the theory of intelligent design,
17 right?

18 A. No, I would disagree. I would qualify that. If
19 evolution is disproven -- I don't know what you mean by
20 disproven. Common descent, macroevolution, adaptation.
21 No one is questioning adaptational responses of
22 organisms. Spontaneous generation or the first
23 appearance of life, the origin of life.

24 If that's disproven, then you can infer an
25 intelligence. But that doesn't rule out a natural

1 cause. All you can say is, there may be an intelligence
2 behind it at some level from the science.

3 Q. So you would draw from that negative argument
4 about evolution a positive argument about intelligent
5 design? Do I understand you correctly?

6 A. The positive argument is that we know when we
7 find irreducible -- irreducibly complex systems or
8 information storage and processing systems, from our own
9 experience of cause and effect, that there is an
10 intelligence associated with it.

11 And so, it is logical to assume, when we find
12 these systems in a cell, if we can -- if the flagellum
13 is irreducibly complex, then, yes, there's an
14 intelligence behind it. That's a uniformitarianism
15 deduction from cause and effect that we know from our
16 everyday today experience.

17 Q. I'd like to discuss that with you, but it's a
18 long subject, and I think it might be appropriate to
19 take a break right now.

20 THE COURT: All right. Let's do that.
21 We'll take our mid-morning break at this time. We'll
22 return in about 20 minutes, and we'll pick up Mr.
23 Harvey's examination. Are we on track, Mr. Harvey, to
24 get this witness finished this morning?

25 MR. HARVEY: Yes, Your Honor. I have every

1 intention.

2 THE COURT: With an appropriate time for Mr.
3 Muise to engage in redirect and recross.

4 MR. HARVEY: Yes, Your Honor.

5 THE COURT: Do you want to say something?

6 MR. MUISE: No, I'm just waiting for the,
7 all rise, Your Honor. I'm anticipating the break.

8 THE COURT: All right. See ya in a bit.

9 (Whereupon, a recess was taken at 10:15 a.m.
10 and proceedings reconvened at 10:40 a.m.)

11 THE COURT: All right. You may resume, Mr.
12 Harvey.

13 **CROSS EXAMINATION (CONTINUED)**

14 BY MR. HARVEY:

15 Q. Dr. Minnich, through the peer review process, I
16 learned that I misspoke in my examination, and that the
17 Snoke-Behe article was, in fact, in the peer reviewed
18 publication?

19 A. Okay.

20 Q. That was your understanding, that it was in a
21 peer reviewed publication?

22 A. It was.

23 Q. But it doesn't actually mention either
24 intelligent design or irreducible complexity, correct?

25 A. Right.

1 Q. And have you read it?

2 A. I read the abstract.

3 Q. So you didn't read the actual paper itself?

4 A. I haven't.

5 Q. And this morning, I was talking with you about
6 whether there was an objective quantifiable measure for
7 design, and I'd just like to restate the question. Are
8 you aware of any objective quantifiable measure for the
9 design of biological systems?

10 A. There are a lot of numbers that have been
11 proffered, but they're all based on assumptions in terms
12 of mutation rates and functions. So --

13 Q. No, I mean, for design. Are there -- there's no
14 objective quantifiable measure for the design of
15 biological systems, in other words, how much design
16 there, is there, correct?

17 A. Well, that's a good question. You know, not that
18 I'm -- I can't put my hand on a number, but --

19 Q. I couldn't either.

20 A. But again, I think -- let's look at it. It's an
21 intuitive --

22 Q. Let's actually look at slide 13. This is a quote
23 that you used in your direct testimony, and this comes
24 from the paper by Lenski, Pennock and others, correct?

25 A. Correct.

1 Q. You focused on last, the highlighted quotation
2 there?

3 A. I did.

4 Q. You bolded it as a matter of fact?

5 A. I did.

6 Q. Now to be fair, you did read the entire quote,
7 including the sentence before it, but I want to just
8 emphasize it. It does say, quotes, There now exists
9 substantial evidence concerning the evolution of complex
10 features that supports Darwin's general model, close
11 quote. That's in there, correct?

12 A. These are, again, inferences. I don't know of
13 the step-by-step, you know, mutation, selective scenario
14 for any biochemical pathway.

15 Q. Right, but that statement is in there?

16 A. Right.

17 Q. And now I'd like, Matt, if you could bring up
18 slide 14. And from that article and the quotation that
19 I just read and the entire quotation, you draw the
20 conclusion that we lack intermediate structures, we lack
21 fossils, and we don't have adequate knowledge of how
22 natural selection can introduce novel genetic
23 information, correct?

24 A. Correct.

25 Q. Now with respect to fossils, you're not a

1 paleontologist, right? We already talked about that.

2 A. I am not a paleontologist. But you read the
3 literature, and that's one of the problems, that the
4 intermediates are not present.

5 Q. But if a qualified paleontologist came into the
6 courtroom and said, that's not true, you wouldn't be in
7 any position to rebut that, would you?

8 A. I could look at some of the papers that I quoted,
9 in Morris in particular. I mean, there are some
10 molecular biologists that have hypothesized the lack of
11 intermediate fossils was due to homeotic gene mutations
12 in the production of hopeful monsters in that they never
13 existed to explain why we can't find that. That hasn't
14 panned out. But it's a recognized problem in terms of
15 the fossil record.

16 Q. Now you said in your direct testimony with
17 respect to intermediate structures, you said yesterday
18 that we don't have the phylogenic history of any
19 biochemical pathway or subcellular organelle?

20 A. Correct.

21 Q. The mitochondrion is a subset of your organelle,
22 right?

23 A. That's correct.

24 Q. Please turn to Exhibit P-841 in your notebook.
25 That's an article that was published in Science magazine

1 in March of 1999?

2 A. Correct.

3 Q. And that's, of course, one of the leading peer
4 review journals in the world, correct?

5 A. Correct.

6 Q. I'm going to ask Matt to highlight some of the --
7 the third sentence in the abstract, Matt, that begins,
8 gene sequence. You're not aware of this paper, are you,
9 Dr. Minnich?

10 A. I'm trying to remember if this was one that was
11 mentioned in my deposition.

12 Q. I think it may have been. But in any event, this
13 says, quotes, Gene sequence data strongly support a
14 monophyletic origin of the mitochondrion from a
15 eubacterial ancestor shared with a subgroup of the
16 alpha-proteo bacteria, closed quotes?

17 A. Correct.

18 Q. Then if you would please look at figure 2 in this
19 publication. Matt, could you go to figure 2? And
20 actually, if you could highlight the first sentence.
21 That says that, that neat little chart that we're
22 looking at there is a tree of the phylogenetic
23 relationships among mitochondria and alpha-proteo
24 bacteria, correct?

25 A. Correct.

1 Q. So we do have the phylogenetic history of the
2 mitochondrion?

3 A. No, we don't. This is inferred from sequence
4 comparisons, and there's all kinds of problems inherent
5 with this type of approach that some of the papers I use
6 address this. If you look at ribosome--

7 COURT REPORTER: Could you slow down,
8 please, and repeat that?

9 THE WITNESS: Sorry. If you look at -- you
10 can get one phylogenetic tree. If you use some other
11 parameter sequence or protein analysis, you can get
12 another phylogenetic tree. So to say that this is the
13 true phylogenetic history of mitochondria is incorrect.
14 BY MR. HARVEY:

15 Q. You've never published that in any peer reviewed
16 scientific literature, have you?

17 A. No, I haven't.

18 Q. So you reject what this scientific, these
19 scientists have published in Science magazine in favor
20 of your subjective conclusions that have been published
21 nowhere and shared with none of your scientific
22 colleagues, true or false?

23 MR. MUISE: Objection, Your Honor. First of
24 all, the question is extremely argumentative. I
25 understand it's cross examination. But -- and it's

1 assuming evidence that was not introduced into this
2 testimony -- into his testimony. All -- he said, he
3 gave his specific example of why this did not, does not
4 purport to reach what it reached. And then he asked him
5 a question, and we have to go back and review all the
6 additional components he added to it, but it was
7 certainly assuming facts not in evidence.

8 THE COURT: He asked whether he rejected
9 what the scientists published.

10 MR. MUISE: That's correct, Your Honor.
11 Then there was the follow-up question is my objection.

12 THE COURT: No, within the question that you
13 objected to, he asked him whether he disagreed with what
14 the scientists had published.

15 MR. MUISE: That's a fine question, Your
16 Honor. I have no problem with that.

17 THE COURT: Then he went on to, in the
18 balance of his question, he then went on to describe his
19 methodology, and it is argumentative, but as you
20 characterize, it is appropriate cross examination, and
21 on that basis, I'll overrule the objection. Do you
22 recall the question?

23 THE WITNESS: Could you --

24 THE COURT: We can have it read back.

25 THE COURT: Wendy, if you would.

1 MR. HARVEY: Let's see how argumentative it
2 was. I forget.

3 THE COURT: Don't prompt me. I can
4 reconsider.

5 (Whereupon, the court reporter read back the
6 referred-to question.)

7 THE WITNESS: I want to qualify that, Steve.
8 I mean, I can respect this type of work, but remember,
9 when we're studying evolution, we're trying to figure
10 out, you know, from a historic perspective, looking way
11 back in time, and this is one tool that can be used in
12 terms of sequence comparison.

13 But as I mentioned, and I'm not denigrating
14 the work that these scientists have done. I mean, I
15 respect what they've done. But we have to recognize
16 that these types of studies have been done for the last
17 30 and 40 years. And as we get more information, it's
18 revised.

19 My point is, the phylogenetic history, the
20 true phylogenetic history is not revealed in this
21 sequence comparison. It's an inference that may or may
22 not be correct. Okay. And even in this point in terms
23 of whether a prokaryotic organism can evolve into a
24 mitochondria, I don't have any problem with that, you
25 know, in terms of an evolutionary scenario.

1 I'm just saying, to use this and say, this
2 is, you know, hard fact, this is how it happened, I
3 don't even think these scientists would come to that
4 conclusion solely on this.

5 BY MR. HARVEY:

6 Q. Well, they've published this article saying that
7 these are the phylogenetic relationships?

8 A. Under the criteria that they're using to measure
9 it. Then there are assumptions and inferences built
10 into that, that I'm sure they would, they probably have
11 qualified in this paper someplace. I haven't read it.

12 Q. So you're not agreeing with these scientists, are
13 you?

14 A. I'm not disagreeing with them. I'm just saying
15 that this -- when I say, a phylogenetic history, I mean,
16 a true history, a historical account that we actually
17 know. And we may never know it. And this may be the
18 best guess. But that's the point.

19 Q. So are you looking for detailed explanation and
20 evidence of every step along the way? Is that what you
21 would need before you would accept that?

22 A. Not to that degree. But, I mean, a consistent
23 history. There's a lot of inference in these types of
24 things, and we've got to recognize that.

25 Q. These systems evolved, Dr. Minnich, over many

1 years. Agree?

2 A. Oh, I agree. That's part of the problem.

3 Q. Over a billion years, correct?

4 A. Correct.

5 Q. And that's part of the problem, your testimony
6 exactly, because it's hard to put together through
7 science precisely what happened over a billion years
8 ago? We don't have a video camera running?

9 A. This is the problem that we have in terms of
10 studying evolution. As Ernst Mayer says, and I
11 quoted him in my expert report, the normal laws in the
12 natural sciences, experimental sciences don't apply to
13 evolution when we're trying to figure out what happened
14 at a deep distance in time, just built-in assumptions
15 and inferences, and that's what we have.

16 Q. So the scientific community actually has done a
17 lot of work in these questions of intermediate
18 structures, but it's your testimony, it's just not
19 enough because we haven't gotten far enough, is that
20 correct, in the scientific world, I mean?

21 A. To a degree. I mean, I would qualify it.

22 Q. Okay.

23 A. I mean, again, if you're -- and I'm the first one
24 to say that we look for a natural cause first, but --

25 Q. We'll come back to that. But you also testified

1 about biochemical pathways, and you said we don't
2 understand the evolutionary history of any biochemical
3 pathway?

4 A. A complete pathway. There are adaptational
5 responses that have been reported, and it's good
6 science. You can take a recalcitrant molecule
7 chlorinated by phenol that normally isn't broken down by
8 organisms and expose organisms under selective condition
9 and you can get a modified enzyme that will now cleave
10 off that chlorine or introduce a new -- I mean, there
11 are some slop in enzymes that can broaden in terms of
12 sub straight recognition.

13 Q. So scientists have been looking at and do know a
14 certain amount about the evolution of biochemical
15 pathways, and that's reported in the peer reviewed
16 scientific literature?

17 A. Adaptive responses for sure and looking at
18 sequence comparisons of highly conserved pathways like
19 glycolysis or the Krebs cycle. But in terms of the
20 origin of those, we don't have a good history of it.

21 Q. Well, take a moment to look at what has been
22 marked as P-842.

23 A. Got it.

24 Q. You've seen this paper before, haven't you?

25 A. I have. I think this was in my deposition.

1 Q. And these are some research from the Air Force
2 Research Laboratory who did some work on the biochemical
3 pathway by which certain bacteria breakdown a substance
4 called DNT?

5 A. Correct. It's very important.

6 Q. That's like TNT, except this is dinitrofluorene,
7 correct?

8 A. Uh-huh.

9 Q. These researchers, this was published in a peer
10 reviewed scientific journal?

11 A. Yes.

12 Q. And if you look on -- at figure 1, which is on
13 page 113. And Matt, perhaps if you can bring that up
14 for us. These researchers, based on their own original
15 data, have published the organization and evolution of
16 the bacteria that breaks down DNT?

17 A. Right. This is an adaptational response.

18 Q. And that's a DNT -- this process by which these
19 bacteria breakdown DNT, that's a biochemical pathway?

20 A. Correct.

21 Q. So we do have published information in this
22 scientific literature about the evolution of biochemical
23 pathways?

24 A. Steve, you're extrapolating from the data here.
25 I mean, not all these enzymes evolved specifically to

1 break down this compound. I mean, you're mixing and
2 matching enzymes, I'm sure, from pathways that had some
3 other property.

4 Q. You're not disagreeing with these scientists from
5 the Air Force Research Academy, are you, Dr. Minnich?

6 A. This is an adaptational response, okay. This is
7 microevolution. I have no problem with that. That's
8 not what we're discussing. These enzymes were present.
9 You probably modified one or brought some in by lateral
10 gene transfer from another system that can attack these
11 problems. I mean, this is critical.

12 The Air Force is working on this because TNT
13 reservoirs in their munitions dumps are a problem for
14 environment. And, yes, we can take organisms that --
15 and adapt them by selective pressure to modify enzymes
16 that they have and attack these compounds. I have no
17 problem with that.

18 Q. Well, you're the one who said, we lack
19 intermediate structures, and now -- and you specifically
20 mentioned subcellular organelles and biochemical
21 pathways, and now we've seen literature that's in the
22 scientific literature that addresses these points
23 exactly. And if I understand your testimony, it's just
24 not -- we just don't know enough to satisfy you that
25 natural selection can drive the evolutionary process?

1 A. I don't think you understand my position, okay.
2 I mean, this is an adaptational response. This entire
3 pathway didn't evolve to specifically attack this
4 substraight, all right. There was probably a
5 modification of two or three enzymes, perhaps cloned in
6 from a different system that ultimately allowed this to
7 be broken down.

8 I mean, I've got good colleagues in my own
9 department that are working on the same problem. And I
10 don't think they pretend to know that the evolution of
11 the pathway from start to finish in their system.

12 Q. There's a lot of work in this area of
13 intermediate structures, isn't that true?

14 A. Right.

15 Q. Now if you go to -- well, actually let's just
16 think back for a minute. One of the claims you made in
17 addition to no fossil record and lack of intermediate
18 structures, you also said that we don't have adequate
19 knowledge of how natural selection can introduce novel
20 genetic information, right?

21 A. The problem -- information is recognized in
22 biological sciences as one of the major areas that we
23 don't fully comprehend.

24 Q. I'm not talking about the origin of the gene or
25 the origin of the genetic code. We may talk about that,

1 if we have time later. But you said that we don't have
2 any information -- we don't have adequate knowledge of
3 how natural selection can introduce novel genetic
4 information. That was your testimony, according to that
5 slide, right?

6 A. That was the purpose of the Lenski paper
7 addressing that specific paper with virtual organisms.

8 Q. That was your testimony, you say we don't have
9 that, right?

10 A. It's a qualified statement. You know, I'm not
11 going to make an absolute. Yes, you can get gene
12 duplication. You have the immune system that can
13 generate by cassette shuffling and differential in
14 electron splicing. An incredible amount of diversity.

15 Q. Please look at has been marked as P-245.

16 A. Is it up front or --

17 Q. It's to the front. And we can bring it up in the
18 system.

19 A. Got it.

20 Q. Do you have that in front of you?

21 A. Yes.

22 Q. You've seen this article before, haven't you?

23 A. Yeah. I think this was at my deposition as well.

24 Q. This is an article that was published in Nature
25 Reviews, which is affiliated with Nature, the journal,

1 and it's by a scientist by the name of Manyuan Long and
2 others, right?

3 A. Correct.

4 Q. Manyuan Long is at the University of Chicago,
5 isn't he?

6 A. I'll take your word for it.

7 Q. Well, he's a very eminent scientist as well?

8 A. Right.

9 Q. He's done a lot of work on the origin of how
10 natural selection can introduce novel genetic
11 information, isn't that true?

12 A. That's not my specific area, but, right.

13 Q. And I'd like to just read you a quote from Dr.
14 Long's paper here, the paper with others. The first,
15 not the abstract, Matt, but the first paragraph of this
16 paper.

17 These scientists say, quotes, Although interest
18 in evolutionary novelties can be traced back to the time
19 of Darwin, studies of the origin and evolution of genes
20 with new functions have only recently become possible
21 and attracted increasing attention.

22 The available molecular techniques and rapidly
23 expanded genome data from many organisms means that
24 searching for and characterizing new genes is no longer
25 a formidable technical challenge.

1 Also, molecular evolution and molecular
2 population genetics have provided useful analytical
3 tools for the detection of the processes and mechanisms
4 that underlie the origin of new genes. Do you see that?

5 A. I see it.

6 Q. And wouldn't you agree with me that, there is a
7 great deal of scientific information that's published in
8 the literature by Dr. Long in particular, but others as
9 well, on the subject of how natural selection can
10 introduce novel genetic information?

11 A. Correct.

12 Q. In fact, this paper cites 122 references. Do you
13 see that?

14 A. Well, I'll take your word for it.

15 Q. Now turning to the subject of design engineering,
16 which you covered in your direct testimony. I'm afraid
17 we won't have time to discuss the subject of your
18 testimony in as much detail as I'd like. I'd probably
19 take more than a day, but --

20 THE COURT: We can only hope not. We'll
21 keep within our time frame.

22 MR. HARVEY: No, Your Honor, actually we've
23 spoken together, and I'm going to try to stop by 11:30,
24 if not sooner.

25 THE COURT: All right.

1 MR. HARVEY: Matt, can you bring up that
2 slide, please?

3 BY MR. HARVEY:

4 Q. This is the slide you used in your direct
5 testimony, isn't it?

6 A. Correct.

7 Q. And so it's your testimony, as set forth on this
8 slide, the last bullet, that Dr. Alberts advocates
9 incorporating design engineering into our biology
10 curricula as a means to dissect the interactions of the
11 macromolecular machines now identified in even the
12 simplest cell, right?

13 A. Correct.

14 Q. Yesterday, you told me that you put your report
15 together in a hurry, didn't you?

16 A. I did, yeah. I had a time constraint.

17 Q. Did you have a chance to examine Dr. Alberts --
18 did you read Dr. Alberts --

19 A. I read Dr. Alberts' paper and, in fact, if you
20 want to -- I'm inferring this from one section, if you
21 want me --

22 Q. Yeah. Please, Matt, pull up P-725.

23 A. Okay.

24 Q. Now this is Dr. Alberts' paper that you were
25 referring to, correct?

1 A. Correct.

2 Q. And if you go to the end of this, the very last
3 page of the paper, Matt, please, of the text. Now you
4 say this paper stands for that Dr. Alberts advocates the
5 incorporation of design and engineering into our biology
6 curriculum.

7 What Dr. Alberts says actually is, quotes, Most
8 important for the future of our field, the departmental
9 structures at most universities seem to have thus far
10 prevented any major rethinking of what preparation in
11 mathematics, what preparation in physics, and what
12 preparation in chemistry is most appropriate for either
13 the research biologist or the medical doctors who will
14 be working 10 or 20 years from now.

15 The result is a major mismatch between what
16 today's students who are interested in biology should be
17 learning and the actual course offerings that are
18 available to them. It is largely for this reason I
19 believe that so many talented young biologists feel that
20 mathematics, chemistry, and physics are of minor
21 importance to their career.

22 It is my hope that some of the young scientists
23 who read this issue of Cell will come to the realization
24 that much of the great future in biology lies in gaining
25 a detailed understanding of the inner workings of the

1 cells, many marvelous protein machines.

2 With this perspective, students may well be
3 motivated to gain the background in quantitative
4 sciences that they will need to explore this subject
5 successfully. Do you see that?

6 A. I do.

7 Q. He's not talking about design engineering, is he,
8 introducing design engineering into the biology
9 curricula?

10 A. If you look at the acknowledgments, I am indebted
11 to Jonathan Alberts for his explanations of how
12 engineers analyze machines. On the other part, if I can
13 find the right quote, at the heart of such methods is a
14 simplification and the idealization of a real world
15 machine as a composition of discreet elements.

16 Engineers recognize certain fundamental behaviors
17 in nature and then create an idealized element to
18 represent each of those behaviors. Most simply, they
19 classify elements as those that store kinetic energy,
20 and those that store potential energy, and those that
21 dissipate energy.

22 Any particular part of a machine might be modeled
23 as consisting of one or more of these basic constituent
24 elements. It seems reasonable to expect that different,
25 but analogous approaches, could probably be applied to

1 the protein machines that underlie the workings of all
2 living cells.

3 This is an engineering approach to looking at the
4 intricate coordinated interaction of molecular machines.
5 And I agree with him. The reason that we need chemistry
6 and physics and mathematics is because these are
7 required rigorously in an engineering curriculum.

8 Q. But my point was a little different. My point is
9 that, you have rather fundamentally misread Dr. Alberts
10 and fundamentally not stated correctly what he's saying
11 in this paper. He nowhere advocates the incorporation
12 of design engineering into our biology curriculum. He's
13 clearly discussing physics, mathematics, and chemistry.
14 Isn't that true?

15 A. No, it's not. If you read this paper carefully,
16 he's saying that we have to approach the intricacies of
17 the cellular machines much like an engineer systems
18 analyst approaches the workings in a factory or some
19 other assembly.

20 Q. We don't have time to read the paper together,
21 but -- so we'll perhaps, later today we can do that.
22 But --

23 MR. MUISE: Your Honor. The witness has
24 answered the question, and he interrupted him. I'd like
25 to have the witness completely answer the question

1 before he interrupts him.

2 THE COURT: Did you finish your answer?

3 THE WITNESS: I did. I want to say that, I
4 read this paper carefully. I think it's profound, and I
5 agree with Dr. Alberts, you know, as he's saying in
6 here, the age of cloning and DNA sequencing is over.
7 We're going into (inaudible) and the hard core analysis
8 of these machines, and we're going to have to take a
9 different approach. So --

10 THE COURT: I'm sorry. You can finish.

11 THE WITNESS: I'm done.

12 THE COURT: All right. Next question.

13 BY MR. HARVEY:

14 Q. Just one final point before we move off this
15 article. On the first page of this -- and, Matt, if you
16 could go to the first page. In the lower left-hand
17 column at the bottom, where it says, ordered movements.
18 And he says, quotes, Why do we call the large protein
19 assemblies that underlie cell function protein machines?
20 Precisely because, like the machine invented by humans
21 to deal, etc. So just to rehit a point that we hit this
22 morning. This is talking about being -- these protein
23 assemblies being like machines invented by humans,
24 correct?

25 A. That's correct.

1 Q. Now you claim that intelligent design can be
2 tested, correct?

3 A. Correct.

4 Q. Matt, please bring up slide 40. And that's your
5 claim right there that you put up during your direct
6 testimony to state that intelligent design can be
7 tested, right?

8 A. Right. I think it's falsifiable.

9 Q. And neither you nor Dr. Behe have run that test,
10 have you?

11 A. We talked about that yesterday. And I even, I
12 think, gave a -- an experiment that would be doable.
13 And in thinking about it last night, I might try it to
14 see if I can get a type III system to change into a
15 flagellum.

16 Q. You haven't run that test, right?

17 A. I've done parts of it. I know that the type III
18 secretory system will secrete flagellum.

19 Q. True or false, you haven't done that test?

20 A. No.

21 Q. Correct? You haven't done that test?

22 A. What's the point? I mean --

23 Q. I'm asking you whether you have done the test
24 that you propose for intelligent design? That's a yes
25 or no question.

1 A. No, I have not.

2 Q. Okay. Now Dr. Behe hasn't either, has he?

3 A. I'm not aware of it, no.

4 Q. And yesterday, Mr. Muise read a statement to you
5 that was read to the Dover High School biology students
6 that said that a scientific theory is a well-tested
7 explanation, correct?

8 A. That's part of the definition, yes.

9 Q. And you agreed that, that was the definition of
10 scientific theory, it includes the concept of being
11 well-tested, correct?

12 A. Again, I would qualify that by saying, we're in a
13 different arena when we're talking about evolution. The
14 experimental sciences aren't necessarily -- can be
15 directive of this. It's a historical science.

16 Q. I'm just asking you if you agree, just asking
17 you, reminding you and asking you to confirm that
18 yesterday, you said that a scientific theory has to be
19 well-tested, correct?

20 A. Well-tested or consistent with the information
21 that we have. This, again, I think, in this situation,
22 a lot of evolutionary science wouldn't fit your
23 definition of science as well.

24 Q. And I take it, you see where I'm going with this.
25 Intelligent design, according to you, is not tested at

1 all, because neither you nor Dr. Behe have run the test
2 that you, yourself, advocate for testing intelligent
3 design, right?

4 A. Well, turn it around in terms of these major
5 attributes of evolution. Have they been tested? You
6 know, have they been tested in terms of identifying
7 macroevolution? You see what I'm saying, Steve? I
8 mean, it's a problem on both sides.

9 Q. Actually, we're going to talk about that in just
10 a minute. But right now, I'm just asking you to agree
11 with me that intelligent design doesn't qualify as a
12 scientific theory, because it's not well-tested, it's
13 not tested at all?

14 A. I wouldn't say that it isn't tested at all.
15 There's some papers that have been published that deal
16 with some of the questions of evolution and from a
17 design perspective.

18 Q. You told us, this was the test, didn't you?

19 A. This specific test, no, has not been done.

20 Q. Now this test actually is not a test of
21 intelligent design, it's a test of evolution, isn't it?

22 A. Yes.

23 Q. And what you're suggesting here is that,
24 scientists should try in their laboratories to grow a
25 bacterial flagellum, to watch it evolve and develop in

1 their laboratories, right?

2 A. The point of this point is that, if the flagellum
3 is not irreducibly complex, you should be able to
4 develop one.

5 Q. In a laboratory?

6 A. In a laboratory.

7 Q. Now some scientists live to ripe old ages, right?

8 A. Yeah, they do. Some don't.

9 Q. How long have bacteria been on the Earth?

10 A. Since -- I think 3.8 billion years is the
11 estimate.

12 Q. So you're suggesting that, to prove evolution,
13 someone should in a laboratory do what it took the
14 entire universe or could have taken the entire universe
15 and billions of years to accomplish, isn't that what
16 you're suggesting?

17 A. No, not really. This is -- I mean, let's be
18 realistic here. Getting an organism versus an organelle
19 is quite different. And like I said, I would say, take
20 a type III system with a missing flagellar components
21 and see if they can assemble into a functional
22 flagellum. That's a more doable experiment than Mike
23 has proffered here.

24 Q. Yesterday, you said that evolution cannot explain
25 the origin of life, the origin of the genetic code, or

1 the structure and development of life. Did I hear you
2 correctly?

3 A. Correct.

4 Q. And would you agree with me that those are some
5 fundamental scientific issues?

6 A. They are.

7 Q. And they're fundamental scientific issues that
8 have not been answered by science, right?

9 A. People are working on it.

10 Q. That's right. Scientists are working on these
11 and many other fundamental questions of science, right?

12 A. Correct.

13 Q. Intelligent design can't answer these questions,
14 can it?

15 A. They can be inferred. I mean, look at it this
16 way. We know that the smallest free living organism,
17 the microplasma, have on the order -- (inaudible) is
18 doing these experiments right now on the order of
19 350,000 nucleotides in their genome. So to be an
20 independent, free-living organism, you've got to have
21 that much information.

22 He's doing mutagenesis to find how many genes can
23 actually be knocked out in this smallest free-living
24 organism to determine that irreducibly set of genes
25 required. That's a problem. To be a replicating

1 organism, you've got to have all this information at a
2 minimum.

3 Q. You're not aware of any scientists that are
4 trying to use the theory of intelligent design to solve
5 these fundamental scientific issues, are you?

6 A. I think that -- from a theoretical standpoint,
7 looking at these in terms of developing the questions
8 and the systems to look at. I mean, give us a chance,
9 all right.

10 Q. None of that research is going on right now, is
11 it?

12 A. Some of the work. The theoretical work is. I
13 mean, Mike Behe published this paper. Axe published his
14 paper in terms of evolution and proteins. That
15 addresses these issues.

16 Q. Would you agree with me that a fundamental
17 proposition of intelligent design is that it wants to
18 suggest that an unspecified intelligent agent is
19 responsible for -- let me withdraw that and restate
20 it --

21 A. Okay.

22 Q. -- so that you can agree with it. Would you
23 agree with me that a fundamental proposition of
24 intelligent design is that it wants to insert an
25 unspecified intelligent designer as the answer when it

1 finds questions which science has not yet answered?

2 A. I would qualify that again, Steve. I guess I can
3 see where that seems to be a leading question. In other
4 words, you're saying, it's an argument out of ignorance.
5 And I don't think it is. Again, it's an argument out of
6 our common cause and effect experience where we find
7 these machines or information storage systems. From our
8 experience, we know there's an intelligence behind it.

9 Q. So, for example, with the -- with respect to the
10 origin of life -- actually, let's make sure we
11 understand. When we say, the origin of life, we mean
12 the beginning of life on this planet, correct?

13 A. Right, if it's 3.8 billion years, there was
14 prokaryotes that appeared, and they were independent
15 self-replicating organisms.

16 Q. Some people refer to this loosely as the
17 prebiotic soup?

18 A. Prior to that, yes.

19 Q. Are you saying that intelligent design posits
20 that the source of the origin of life is the intelligent
21 designer?

22 A. Yes, yes. It doesn't specify who it is. I mean,
23 you can have panspermia, according to Crick, Spores
24 being blown in by solar winds. But I think --

25 Q. But the scientific answer to the question of the

1 origin of life or the origin of the genetic code or the
2 development in structure of life is not that the
3 intelligent designer did it, it's that science is still
4 looking at these fundamental scientific questions,
5 working on them, and thinking that, some day, we might
6 have the answers to these questions. Isn't that a
7 scientific approach to that question?

8 A. Steve, I said yesterday, as a scientist, you
9 always look for a natural answer first. But I have in
10 my hotel room a textbook that I am reviewing on genomes.
11 In there, there is a chapter on the origin of genomes.
12 I wish I had it to read to you. It's all conjecture and
13 assumption and given this, then this. There's not a lot
14 of fact there. Okay.

15 So this has been a very recalcitrant problem.
16 And we're dealing with again the origin of information.
17 And we know again, from our experience, information-rich
18 systems are associated with intelligence. So we look
19 for a natural explanation, but we're drawing blanks.

20 Q. So if I understand this, we have fundamental
21 scientific questions, science looks for natural
22 explanations, has many, many scientists working on this,
23 publishing in peer reviewed journals, and doesn't have
24 any definitive answers. Intelligent design says, the
25 intelligent designer did it. That's really what we're

1 talking about here, isn't it, Dr. Minnich?

2 A. It goes back to the basic question. The design
3 that we see in nature, is it real or apparent? Okay.
4 Is there a natural explanation for what you're asking?
5 To this point in time, there isn't. Now I don't think
6 that's a negative statement, but I again would
7 emphasize, from our experience of cause and effect, when
8 you have a code, you've got a coder behind it. And this
9 is the most sophisticated code that we're talking about.

10 Q. Does intelligent design make any scientific
11 predictions?

12 A. It does.

13 Q. Like what?

14 A. Well, I wish I had my computer with me. I've got
15 a whole list of them in terms of predictions that people
16 in this area are working on. My prediction in working
17 on type III secretory systems before was that flagellum
18 could be used as a machine to secrete other than
19 flagellar proteins. Before we even knew what type III
20 secretion systems were, we were predicting that the TTSS
21 was either the flagellum basal body or something that
22 looked exactly like it. That turned out to be true.

23 Yersinia passasist is non-modal. We made a
24 prediction that it would (inaudible) the organism to
25 express flagellum inside a host cell, and I think we

1 have good evidence for it. E-coli 0157, very virulent
2 strengths coming out of Czechoslovakia and Germany are
3 non-modal.

4 I had a bet over a beer with a microbiologist,
5 director of microbiology at the FDA, that the mutation
6 would be in --

7 COURT REPORTER: Hold on, please.

8 THE COURT: While she's doing that, we'll
9 think about what the things are that people bet over.

10 THE WITNESS: I got a beer out of it. So in
11 terms of, you know, junk DNA, I mean, there's some
12 predictions in that area as well. In terms of
13 mutational rates, there's some predictions.

14 BY MR. HARVEY:

15 Q. You're referring to work that you do in your
16 laboratory, right?

17 A. The work, the stuff that I just referred to, yes.

18 Q. Now you made three claims here in your testimony.
19 You claim that some -- you may have made others, but
20 these are three you've made. You've claimed that some
21 biological systems are irreducibly complex, right?

22 A. Correct.

23 Q. And you claim that irreducibly complex systems
24 cannot evolve, right?

25 A. I didn't say that. I didn't say that.

1 Q. Well, you're claiming that irreducibly complex
2 systems were intelligently designed, right?

3 A. It's a hallmark of intelligence. When we find
4 them, by experience, there's an intelligence associate
5 with them. You can have an aboriginal structure, and it
6 can evolve or adapt as required of the organism. And I
7 am not against the fact that the type III secretory
8 system could have been co-opted from the flagellum.

9 Q. But in your work as a scientist, your day job, if
10 you will, you only -- the only principles you use are
11 the principles of what you call irreducible complexity,
12 right?

13 A. I think that's -- as I mentioned, that's -- it
14 uses a molecular in terms defining genes involved in a
15 specific system.

16 Q. And some people in the, who do what you do, would
17 refer to these as knockout techniques, right?

18 A. Pardon me? I didn't hear.

19 Q. Some people who do what you do would refer to
20 these as knockout techniques?

21 A. Correct.

22 Q. And they're -- the specific techniques are
23 mutagenesis and genetic screen and selections?

24 A. Correct.

25 Q. And these are standard techniques used in biology

1 and microbiology?

2 A. They go all the way back to Beadle and Tatum.

3 Q. Would you agree with me, if you ask most
4 scientists who work in the field and use these
5 techniques, if they use intelligent design principles,
6 they would not know what you are talking about?

7 A. I don't think they would interpret them in that
8 reference. But it's consistent with the idea of
9 irreducible complexity. If these systems weren't
10 irreducibly complex, you know, mutagenesis wouldn't
11 work.

12 Q. Does intelligent design recognize the age of the
13 Earth?

14 A. Does intelligent design recognize the --

15 Q. Yeah, does the intelligent design theory
16 recognize the age of the Earth?

17 A. I'm not sure what you mean by that question.

18 Q. The Earth is 4.5 billion years old, give or take
19 a year or two, right?

20 A. Right, I don't have a problem with that.

21 Q. Does intelligent design theory accept the age of
22 the Earth?

23 A. Yes.

24 Q. Are you familiar with Of Pandas and People?

25 A. I am.

1 Q. We already looked at that. Please take a look at
2 page 92. It's your understanding that Pandas is a
3 representative of intelligent design, right?

4 A. Yes, although, as we mentioned before, it's
5 dated.

6 Q. Matt, if you -- actually, we can pull it up on
7 the screen. Matt, at the lower right-hand corner. The
8 sentence that begins, while design proponents. It says
9 that, While design proponents are in agreement on these
10 significant observations about the fossil record, they
11 are divided on the issue of the Earth's age.

12 Some take the view that the Earth's history can
13 be compressed into a framework of thousands of years,
14 while others adhere to the standard old-earth
15 chronology. Do you see that?

16 A. I see it.

17 Q. So that says that design proponents are split on
18 that topic?

19 A. There are some young-earth creationists in the
20 intelligent design community.

21 Q. Does intelligent design tell us how things were
22 designed or created?

23 A. No, they're inferred.

24 Q. Does intelligent design tell us how the bacterial
25 flagellum was designed or created?

1 A. No.

2 Q. Intelligent design doesn't ask who the designer
3 is, does it?

4 A. No.

5 Q. That's a religious question?

6 A. Correct.

7 Q. There are no studies or experiments that can be
8 done to find out the nature of the intelligent designer,
9 correct?

10 A. Correct.

11 Q. Does intelligent design ask any questions about
12 the abilities of the intelligent designer?

13 A. Not that I'm aware of.

14 Q. Is that a religious question?

15 A. Yeah, I would assume so, right.

16 Q. And the same with the limitations of the
17 designer. The intelligent design doesn't ask any
18 questions about the limitations of the designer, does
19 it?

20 A. I'm not sure what you mean by limitations.

21 Q. Ability to do things or limits on abilities to do
22 things. Does the intelligent design tell us anything
23 about the limits on the abilities of this intelligent
24 designer to design and create?

25 A. Not that I'm aware of, no.

1 Q. Does intelligent design tell us when the
2 intelligent designer designed and created life and
3 living things?

4 A. No.

5 Q. Do you believe that the intelligent designer
6 intervened at various points in the history of the
7 Earth?

8 A. Are you asking me personally or from a -- from
9 the intelligent design community? I mean, there's --

10 Q. From the intelligent design community?

11 A. I mean, there's positions all over the spectrum.

12 Q. Is it -- does intelligent design tell us how many
13 designers there are? Is it just one or could it be
14 more?

15 A. It could be more.

16 Q. So it could be a whole family of designers,
17 right?

18 A. I suppose so.

19 Q. It could be competing designers? We could have
20 one designer who's designing good things and another
21 designer who's designing bad things, right?

22 A. I don't -- yeah, what's your point?

23 Q. Well, does intelligent design tell us whether
24 there could be --

25 A. No, no.

1 Q. -- both multiple designers? Are they all working
2 for the same purpose? Does intelligent design tell us
3 anything about that?

4 A. No, it doesn't.

5 Q. So it's possible that there is an evil designer,
6 isn't that true?

7 A. The problem of the Odyssey is a theological
8 question. I don't know where you're going with this,
9 Steve. You know, I suppose so. I mean, from our common
10 experience, yeah, technology is double-edged.

11 Q. Is there any scientific intelligent design
12 research program going on to determine when the designer
13 acted or she acted or they acted; how he, she, or they
14 acted; why he, she, or they acted; or who he, she, or
15 they are?

16 A. No. No.

17 Q. Would it be fair to say that intelligent design
18 does not exclude the possibility of a supernatural cause
19 as the designer?

20 A. It does not exclude.

21 Q. And, in fact, a designer could be a deity,
22 correct?

23 A. It could be.

24 Q. And that would clearly be supernatural, right?

25 A. Right, but that's -- that would be a

1 philosophical addition to that science isn't going to
2 take, isn't going to tell us. I think I made that
3 clear.

4 Q. But intelligent design holds open the possibility
5 that the designer might be supernatural?

6 A. Flip it around. If you're a true naturalist,
7 then you can use your data to argue for atheism or
8 materialism. So regardless of which side you fall on
9 this question, there are metaphysical implications.

10 Q. Intelligent design theory specifically holds open
11 the possibility that the designer is supernatural, true
12 or false?

13 A. True.

14 Q. Do you agree that the current rule of science is
15 methodological naturalism?

16 A. Do I agree that that's the --

17 Q. That's the current rule of science, isn't it?

18 A. That's a definition of science that has not
19 always been in place. It's the standard technique that
20 we use again looking for a natural cause.

21 Q. That's the current definition of science and has
22 been for sometime, correct, not definition, the current
23 rule of science?

24 A. I think the current rule is coming from the
25 Aguillard decision in Arkansas from my understanding.

1 Q. Well, actually in the scientific world,
2 methodological naturalism has ruled for quite a long
3 time before the Supreme Court made that decision, isn't
4 that true?

5 A. Right, but I think it's a definition that would
6 perhaps surprise Newton and Kepler and other scientists
7 that have --

8 Q. And in order for intelligent design to be
9 considered science, the definition of science has to be
10 broadened to consider supernatural causes, true?

11 A. I want to qualify it. Can I qualify it? Again,
12 if you go back to the basic question, we see design in
13 nature. Is it real or apparent? If you are only going
14 to accept natural causes, then you've just removed half
15 the equation, so you're not going to see it, even if
16 it's staring you in the face. So in that aspect, that's
17 a definitional fiat.

18 Q. Well, the answer to my question, and I understand
19 you had a qualification, was true. For intelligent
20 design to be considered science, the definition of
21 science or the rules of science have to be broadened so
22 that supernatural causes can be considered, correct?

23 A. Correct, if intelligent causes can be considered.
24 I won't necessarily -- you know, you're extrapolating to
25 the supernatural. And that is one possibility.

1 Q. I only have 45 seconds left, Dr. Minnich.

2 A. Okay.

3 Q. Would you agree that the theory of intelligent
4 design takes us only as far as needed to prove or to
5 infer the existence of an intelligent designer and then
6 it stops there and that's where theology takes over?
7 Would you agree with that?

8 A. Philosophy or theology.

9 MR. HARVEY: No further questions.

10 THE COURT: All right. Thank you, Mr.
11 Harvey. And we'll have redirect from Mr. Muise.

12 **REDIRECT EXAMINATION**

13 BY MR. MUISE:

14 Q. Good afternoon, Dr. Minnich. I know you've been
15 up there for a long time, and I'll try to get through
16 this as rapidly as I can, consistent with the court
17 reporter being able to take down my rapid speech.

18 Dr. Minnich, yesterday you were asked about an
19 article, and I believe it was a -- it was marked as
20 Plaintiffs' Exhibit 853. If you could look on the
21 exhibit binder that you have there. And if I'm
22 referring to the correct one, it's the one that had some
23 explanation of the bacterial flagellum?

24 A. I remember the paper.

25 MR. HARVEY: We'd be happy to put them up

1 there, if that would be helpful.

2 THE WITNESS: I got it.

3 BY MR. MUISE:

4 Q. Do you have that article, sir?

5 A. 853? Got it.

6 Q. Is that the one that had the diagram of the
7 bacterial flagellum?

8 A. Yes.

9 Q. Now during the questioning by Mr. Harvey, he was
10 comparing the explanation, more likely the description
11 of the bacterial flagellum in that particular article,
12 which, I believe, was described as a creationist
13 article, with your diagram, as he was referring to it.
14 And I have it up here on the slide, and the diagram also
15 is Defendants' Exhibit 203-B.

16 And I believe you drew some comparisons of how
17 this diagram resembled the diagram in the article as
18 well as the way it's labeled on Exhibit 203-B and the
19 way it's labeled in that article that was described as a
20 creationist article. Do you recall that, sir?

21 A. I do.

22 Q. There are similarities in the labeling between
23 the two?

24 A. Yes.

25 Q. Now the diagram that he described as your

1 diagram, where is this diagram from, Exhibit 203-B?

2 A. It's from a standard biochemistry textbook,
3 because you see down in the right-hand corner, Voet and
4 Voet.

5 Q. Is Voet and Voet a creationist textbook?

6 A. No, no. That's the most popular biochemistry
7 textbook.

8 Q. And the labels that appear on this diagram, are
9 those labels that you put on or are those labels that
10 Voet and Voet regarded or used to describe the aspects
11 of the flagellum?

12 A. Those are in the textbook.

13 Q. And those labels that are in the textbook, are
14 those the same labels that the scientific community uses
15 to identify those parts of the flagellum?

16 A. Yes.

17 MR. HARVEY: Objection, Your Honor.
18 Leading. I don't mind him leading to develop the
19 testimony, but that's a -- that's beyond developing the
20 testimony, and that's the conclusion.

21 THE COURT: We'll note that. But he
22 answered the question. I'll overrule the objection, and
23 we'll move on.

24 BY MR. MUISE:

25 Q. Sir, in your direct testimony, we referred to an

1 article by, I believe it's David DeRosier, is that
2 correct? Is that his name?

3 A. Correct.

4 Q. It's marked as Defendants' Exhibit 274. And the
5 title of the article was Turn of the Screw, The
6 Bacterial Flagellar Motor. And in your testimony, you
7 referred to a quote, More so than other motors, the
8 flagellum resembles a machine designed by a human, end
9 quote. Was that a direct quote from out of the article?

10 A. It was.

11 Q. It David DeRosier a creationist?

12 A. Not to my knowledge.

13 Q. And where did this article appear?

14 A. In Cell.

15 Q. Cell journal?

16 A. Right.

17 Q. Is that a creationist journal?

18 A. No.

19 Q. You were asked some questions about peer reviewed
20 articles and whether there's peer review articles that
21 mention intelligent design specifically, and you
22 indicated in your response to Mr. Harvey that there was
23 some risk. What are the risks?

24 A. I think --

25 MR. HARVEY: Your Honor, I'm going to object

1 on the grounds of relevance and hearsay, if that's where
2 he's going.

3 MR. MUISE: Your Honor, I mean, it's only
4 fair. If he's going to challenge him about articles
5 being in there, and he's claiming there's risks, he has
6 every right to explain what those risks are, to complete
7 the testimony as to why there aren't the intelligent
8 design or calling intelligent design. And I think he's
9 somebody that's in that community that has to publish,
10 and he obviously feels there's risks.

11 THE COURT: I'll give you some latitude.
12 I'll overrule the objection. You can answer the
13 question.

14 THE WITNESS: There is risks. I mean,
15 there's career risks involved. Even as -- this paper
16 that I submitted for this conference in Rhodes Greece,
17 we included a section on the philosophical implications
18 of the flagellum. I thought long and hard about
19 submitting that, because of the implications being
20 identified publicly as a design adherence.

21 In fact, I wrote that when I was in Baghdad,
22 and I was ready to send it and debating whether I would
23 do it. I think I mentioned in my deposition, we came
24 under a mortar attack, and I hit the send button saying,
25 I might not be here tomorrow anyway, so be it. You

1 know, it is risky business. I think it's risky for me
2 to be at this trial in terms of the fallout that I've
3 had in my own institution.

4 BY MR. MUISE:

5 Q. Sir, you were asked a question of who you thought
6 the designer was, and you said your personal opinion was
7 that it was God, is that correct?

8 A. Correct.

9 Q. Was that a scientific conclusion or a scientific
10 opinion?

11 A. No.

12 Q. Now we've heard testimony in this case that Dr.
13 Ken Miller, one of the Plaintiffs' experts, he testified
14 that God is the architect of the natural law, which he
15 believes is what drives evolution. Is Dr. Miller's
16 non-scientific personal opinion regarding God as being
17 the architect of the laws that drive evolution, is that
18 any different than the opinion that you're offering
19 here?

20 A. I would -- I'd have to -- I mean, I think Ken and
21 I are in pretty close agreement, except on the degree of
22 intervention from our own personal concept of a God.

23 Q. But in terms of the nature of the opinion, being
24 a non-scientific claim, is it similar to --

25 A. Right, right.

1 MR. MUISE: May I approach the witness, Your
2 Honor?

3 THE COURT: You may.

4 BY MR. MUISE:

5 Q. I'll hand you what has been marked as Defendants'
6 Exhibit 223. Do I have the right number on the front
7 cover there, sir?

8 A. Yeah.

9 MR. HARVEY: Your Honor, may he just wait
10 one second while I get the actual exhibit here?

11 BY MR. MUISE:

12 Q. Open to page 292, please. And this exhibit is a
13 book, Finding Darwin's God, written by Kenneth R.
14 Miller, correct?

15 A. Correct.

16 Q. Would you read the last three sentences on page
17 292?

18 A. Starting with, there is grander?

19 Q. No, what kind?

20 A. Oh. What kind of God do I believe in? The
21 answer is in those words. I believe in Darwin's God.

22 Q. So Dr. Ken Miller believes in Darwin's God?

23 A. That's what he says. I haven't read this book,
24 so I don't know what that means.

25 Q. Does that claim make evolution a religious

1 belief?

2 A. I don't know how to respond to that. I don't
3 think so.

4 Q. Now Mr. Harvey was asking you questions about the
5 fact that -- he was using terms of construction,
6 creation, building, and in terms of intelligent design,
7 and how life may have first arose. In terms of
8 evolution, at some point, life had to have been
9 constructed, built, or created, is that true?

10 A. True.

11 Q. So should we describe evolution as
12 creation-evolution?

13 A. No, no.

14 Q. So those sorts of labels are misrepresentative,
15 are they not?

16 A. Right.

17 Q. Now you asked some questions about Paley's,
18 Paley's arguments, correct?

19 A. Yeah, Paley was brought up.

20 Q. Was he making an argument based on logic or an
21 argument that was theology?

22 A. It was based on logic, inference to our common
23 experience.

24 Q. And I believe you said that went back to the
25 Greeks, is that correct?

1 A. Right.

2 Q. Now you were given a hypothetical scenario about
3 walking through the woods and tripping over a cell
4 phone, I guess, to modernize the example, according to
5 Mr. Harvey. Do you remember that little discussion you
6 had with Mr. Harvey?

7 A. Right.

8 Q. You said, based on, I believe, the nature of that
9 cell phone, you could infer some design, correct?

10 A. Right.

11 Q. Now from an evolution perspective then, if you
12 tripped over this cell phone, you would have to conclude
13 that at some point, there was Paley's watch, however
14 many years ago, is that correct?

15 MR. HARVEY: Objection, Your Honor, continue
16 leading of the witness. This is on issues of the nature
17 of their theory, and I don't think it's appropriate for
18 Mr. Muise to testify in the form of cross examination.

19 THE COURT: Why don't you rephrase? I think
20 it was somewhat leading. I'm going to give you some
21 latitude because of the time constraints we have placed,
22 but I think that's unduly leading. I'll sustain the
23 objection.

24 BY MR. MUISE:

25 Q. From an evolution perspective, if you came across

1 the cell phone in the woods --

2 A. I think I understand. In terms of what would the
3 pre-cursor? I mean, there would be -- you know, I don't
4 know if I want to go there.

5 Q. Would Paley's watch, if it came before in time,
6 necessarily have to be some sort of a pre-cursor under
7 an evolutionary perspective?

8 A. Yeah, I don't know. I mean, to a degree, when we
9 look at these machines and where they came from, you
10 have to assume that it evolved from some pre-cursor. So
11 it could be in a general sense equivalent to a watch
12 evolving into another machine.

13 Q. Using that example, has the theory of evolution
14 demonstrated a step-by-step process by which you could
15 have a Paley's watch become a cell phone?

16 A. No, it hasn't. In fact, I think that's one of
17 the interesting things in the Morris paper. When he
18 looks at something intricate, developmental pathways, he
19 likens it, in terms of one interpretation, as there's a
20 tool box with a given set of tools that can be plugged
21 into the requirements for the specific organism.

22 It's almost -- it's kind of an analogy to an
23 engineering type of thing. And I think Jim Shapiro
24 refers to that in some of his more recent papers.

25 Q. Taking that scenario to a living. I think you

1 used a mouse. You would have to, for example, have a
2 pathway that would develop a bacterial flagellum into a
3 mouse, is that correct?

4 A. Well, I mean, that's -- the first organisms were
5 prokaryotic, so in terms of the evolutionary history,
6 yeah, you've got to have intermediates that lead to an
7 organism that can contemplate its own existence, I
8 guess.

9 Q. Do we presently have those pathways?

10 A. No.

11 Q. Now are you the only scientist who makes a claim
12 that we don't have an adequate phylogenetic history of a
13 subcellular organelle?

14 A. No.

15 Q. Are there others that you had mentioned in your
16 direct testimony?

17 A. Right. I think we referenced several of them.

18 Q. Do you recall some of the names of those
19 scientists?

20 A. Harold, Shapiro. And I think it's even eluded to
21 in the paper by Lenski.

22 Q. Are any of those scientists intelligent design
23 advocates?

24 A. No.

25 Q. Dr. Minnich, you were asked about this summary

1 slide that I put up here, particularly that third bullet
2 point. We do not have adequate knowledge of how natural
3 selection can introduce novel genetic information. Are
4 you the only scientist that has that particular view?

5 A. No. I mean, it's an active area of research.

6 Q. Was that a point that you derived from the Lenski
7 paper that appeared in Nature?

8 A. Correct.

9 Q. I believe this article actually appeared in 2003,
10 correct?

11 A. Correct.

12 Q. Sir, you were asked the question about
13 methodological naturalism and the definition of science
14 and whether or not the definition of science would have
15 to be expanded to include supernatural causes in order
16 for intelligent design to be considered. Do you recall
17 that testimony?

18 A. Right.

19 Q. In what sense were you using supernatural causes
20 in your answer?

21 A. I think anything above our normal experience.

22 Q. Using that understanding of supernatural causes,
23 would that include, for example, Francis Crick's
24 hypothesis of direct panspermia?

25 A. Correct.

1 Q. And would that also include the program of NASA,
2 the SETI program, Searching For Intelligence?

3 A. Correct.

4 Q. Isn't it true, from a scientific perspective,
5 those two that I just mentioned in which you consider as
6 part of the supernatural are actually considered natural
7 explanation?

8 A. Right, in actuality, it would be.

9 Q. So in essence, the definition of science need not
10 be changed to actually include intelligent design, is
11 that correct?

12 A. No.

13 Q. Is that correct?

14 A. Yeah, that's --

15 Q. Is that correct, sir?

16 A. Correct.

17 Q. Now when you were talking about extrapolating or
18 making logical inferences based on our common experience
19 to reach a conclusion, a scientific conclusion, you were
20 saying, that's sort of the logical inference that
21 intelligent design makes, is that correct?

22 A. Correct.

23 Q. Though I'm hesitant to raise this, I want to
24 revisit the Big Bang. We might as well finish with a
25 bang, Your Honor, since it's the last day.

1 THE COURT: We've had the flagellum, son of
2 flagellum return, we might as well have the Big Bang.

3 MR. HARVEY: Let me object on the grounds
4 that any questions about the Big Bang are outside the
5 scope of the cross examination and, I believe, also
6 outside the scope of the original direct.

7 THE COURT: I'll allow you to try to tie it
8 in.

9 MR. MUISE: It's regarding the inference,
10 Your Honor.

11 THE COURT: Why don't you get a question on.
12 Then you can object to the question. The mere mention,
13 although I understand why it has triggered an objection,
14 is not good enough. Let's let Mr. Muise get a question
15 on the floor.

16 BY MR. MUISE:

17 Q. Are you aware of the logical inference or the
18 scientific inference that was employed in the Big Bang
19 theory?

20 A. Right, in terms of extrapolating back from an
21 expanding universe to a point of singularity, but it
22 appears to be like an explosion, from our experience.

23 Q. Do we have any common experience of universes
24 exploding?

25 A. No, no. You know, if I could expand just a

1 little bit in terms of, methodological naturalism can
2 put a stricture on a number of scientific endeavors in
3 terms, as you elude to, the Big Bang. Super strings
4 employs multiple dimensions that are outside of our
5 experience, but it doesn't stop physicists from working
6 on these ideas.

7 So, you know, there is some latitude in terms of
8 scientific inquiries that are beyond the aspects of
9 methodological naturalism.

10 MR. MUISE: I have no further questions,
11 Your Honor.

12 THE COURT: All right. Thank you, Mr.
13 Muise. Final round.

14 MR. HARVEY: No, Your Honor.

15 THE COURT: Giving it up?

16 MR. HARVEY: I'm not giving it up. I think
17 we've made our points.

18 THE COURT: Giving up your round?

19 MR. HARVEY: Yes.

20 MR. MUISE: I think they ought to give up.

21 THE COURT: I didn't read it that way.
22 Thank you, sir. You may step down. That concludes your
23 testimony. All right, counsel. Let's take the exhibits
24 first, and then we'll decide what we're going to do from
25 here. We have, with respect to Dr. Minnich, we have

1 D-201-A. Are you ready for the exhibits?

2 MR. MUISE: Yes, Your Honor. You said, D,
3 correct, Your Honor?

4 THE COURT: That's correct, D-201 A, which
5 is the CV. D-251, which is the Woese article. D-252 is
6 the Lenski article. D-255 is the Conway article. D-203
7 is the cover of the magazine or the Cell cover, excuse
8 me. D-253 is the Alberts article. D-254 is the
9 witness's article. D-257 is the Losick and Shapiro
10 article. I don't have other Defendants' exhibits, but
11 I'll take them if you have them, Mr. Muise.

12 MR. MUISE: I was following in order, and
13 then we kind of went out of order. Did you have 252,
14 Your Honor?

15 THE COURT: Say it again.

16 MR. MUISE: Did you have 252?

17 MR. HARVEY: Yes, he did.

18 THE COURT: I did take 252. That's the
19 Lenski article.

20 MR. MUISE: Yes, Your Honor. And 255.

21 THE COURT: I have that. That's the Conway
22 article.

23 MR. MUISE: And 274.

24 THE COURT: 274, I did not have.

25 MR. MUISE: The DeRosier article, the Turn

1 of the Screw.

2 THE COURT: All right.

3 MR. MUISE: I believe that should be all of
4 them.

5 THE COURT: All right. Are you moving for
6 the admission of all of those, including 274?

7 MR. MUISE: Yes, Your Honor.

8 THE COURT: Objection?

9 MR. HARVEY: None.

10 THE COURT: All right. Then all of those
11 exhibits are admitted. On cross, we have P-853, which
12 is the Not So Blind Watchmaker article. All of these
13 are Plaintiffs' exhibits. 845 is the Morris review.
14 837 is the Nguyen article. 614 is the Minnich -- is the
15 actual expert report of the witness.

16 284 is the note, bacteria type III secretion
17 system. P-74 is the Sayer article. 852 is the Alberts
18 article. 848 is the Alberts and Labov article. 847 is
19 the Ratliff article. 841 is the Gray article. 842 is
20 the Johnson and Spain article. And 725 is the
21 additional Alberts article.

22 What's your pleasure with respect to those
23 -- well, first of all, do you have any others, Mr.
24 Harvey?

25 MR. HARVEY: No, that's it, Your Honor.

1 THE COURT: Are you moving for the admission
2 of all those?

3 MR. HARVEY: Yes, Your Honor,

4 MR. MUISE: We would object specifically to
5 852. That was apparently some article in the New York
6 Times by Bruce Alberts that we had had --

7 THE COURT: Was that a letter?

8 MR. HARVEY: Yes, Your Honor.

9 MR. MUISE: It was a news article that he
10 had written. We made the hearsay objection. You had
11 him adjust his question, and we're objecting obviously
12 to the article coming in.

13 MR. HARVEY: Your Honor, withdraw that
14 exhibit.

15 THE COURT: All right. Then with the
16 withdrawal of that, any objection to the other exhibits,
17 Mr. Muise?

18 MR. MUISE: No, Your Honor.

19 THE COURT: All right. Then the remainder
20 of the exhibits as named will be admitted. Save
21 Plaintiffs' 852. On redirect, Mr. Muise, you referred
22 to Defendants' Exhibit 223, which may be in already.
23 I'm not sure.

24 MR. MUISE: It should be, Your Honor.
25 That's actually one of the books I had spoken with --

1 THE COURT: That's in, so we're not going to
2 worry about it. Did I miss any exhibits?

3 MR. HARVEY: Your Honor, just one thing.
4 We're not moving in P-614. That is the expert report.

5 THE COURT: I wondered about that actually
6 as I looked at it. You don't want to put that in, I
7 didn't assume. Okay. We'll withdraw 614. All right.
8 Now it's just about the noon hour.

9 And what we must do yet, in addition to
10 hearing your closing arguments, which will be the last
11 thing we do today is, we've got to handle the exhibits,
12 and then have a final word with counsel on your
13 submissions. It's my understanding that you're going to
14 work through the lunch hour on some things that you may
15 not yet have had an opportunity to agree upon, or have
16 you agreed on those things?

17 MR. MUISE: I think we had the demonstrative
18 exhibits, that issue. I think we pretty much have it
19 worked out. Mr. Walczyk and I have to discuss a few
20 things. We're hoping to get that done and marked
21 properly during the lunch hour.

22 THE COURT: All right.

23 MR. MUISE: I think it will be stipulated
24 to. It will be something easy to get into the record.

25 THE COURT: Then it would be time, it seems

1 to me, to take that after lunch, and then we'll do that
2 and have a word about some areas that I may want you to
3 highlight in your submissions, and then we'll have the
4 closing arguments at that point.

5 MR. MUISE: My understanding, too, is, there
6 is going to be some additional argument. Is that what
7 you're talking about the submissions?

8 THE COURT: The way I see it is this. I
9 want to hear you on, obviously, the demonstrative
10 exhibits. You think you've got that wrapped up or you
11 will have that wrapped up. It appeared to me that, from
12 what I've heard from you and also heard from Liz, is
13 that you seem to have some mechanism on the deposition
14 designations that I can work with, and that seems to be
15 agreed.

16 If you want to put that on the record, we
17 can put it on the record. We need to hear some
18 argument, final argument. There has been fairly
19 extensive argument as it relates to the newspaper
20 articles in the two York newspapers and their
21 admissibility.

22 I will tell you that, so that you don't
23 waste the time that you can otherwise use for the
24 exhibits that, as it relates to the editorials and the
25 letters, and to some degree, to some parts of the

1 articles, and I'll clarify this when we get into the
2 argument, I'm inclined to allow you to, in particular,
3 to allow the Defendants to further brief that as a part
4 of your submission.

5 I'm not sure that I'm going to rule on the
6 admissibility of the -- I will rule on the admissibility
7 of the articles on the disputed points, that is the
8 statements of, in particular, various board members as
9 reported therein and for that purpose.

10 As the articles and the editorials and the
11 letters go to the effect prong, I may defer a ruling on
12 that. I'll hear additional argument, if you want to
13 make it, this afternoon. I'm not sure that I'm prepared
14 to rule on that, and you may want to make a submission.
15 Certainly the Plaintiffs have made a submission.

16 I have that. You may want to incorporate
17 that in your argument that you're going to make. I'm
18 not certain that I want to rule on that this afternoon.
19 But we'll take that and --

20 MR. MUISE: I think Mr. Walczyk is going to
21 be arguing that part, and I thought my understanding
22 was, the question of the admissibility, and not so much
23 as getting into the effect argument, but that was going
24 to be something --

25 THE COURT: And that's correct, and that's

1 precisely why, because I think they're intertwined, and
2 I'll make that clear this afternoon. I'm not so sure
3 that I want to render a ruling on that. I want to allow
4 you every opportunity, and the Plaintiffs, if they
5 choose, to elaborate on that as it goes to the effect of
6 prong. Do you want to say something, Mr. Rothschild?

7 MR. ROTHSCHILD: Just another issue on the
8 designation that I just wanted to make clear on the
9 record. We are going to submit a list of designations,
10 counter designations, including where there are
11 objections. And we're prepared to submit that. And the
12 Defendants may want to respond that. Another thing, and
13 this is not something quite --

14 THE COURT: Let me just stop you. But
15 you're going to key that in a way, as I understand it,
16 that I can -- that I can work with it and deal with it
17 outside --

18 MR. ROTHSCHILD: Right.

19 THE COURT: -- the ambit of the trial.

20 MR. ROTHSCHILD: We're going to have page
21 and line numbers and also highlighted transcripts, so it
22 will be fairly reasonably easy to follow. The other
23 thing, and this is something frankly, I think, counsel
24 and I have not discussed.

25 At least in what Plaintiffs have designated,

1 there are exhibits, many of which have been introduced
2 in this trial, but some which have not. In particular,
3 we took the depositions of Mr. Buell and Dr. Thaxton.
4 There were exhibits, we think, that have been properly
5 authenticated, and we'll include that in our chart as
6 well.

7 To be fair to the Defendants, they may not
8 have considered those yet and may want a chance to
9 object to those, and we would hope that that does just
10 happen in the following week.

11 THE COURT: You're going to include them in
12 what?

13 MR. ROTHSCHILD: In addition to the
14 highlighted --

15 THE COURT: In your designations?

16 MR. ROTHSCHILD: Correct. We will indicate
17 in the chart the exhibits that come in, that were part
18 of the page and line testimony. We'll indicate what the
19 exhibits are, and we probably should look at them, and
20 there may be some that we withdraw. And Defendants, I'm
21 sure, would want an opportunity to respond to those.

22 THE COURT: Let me ask you this on that
23 point. Do you need more time? I don't have to have
24 that today. Do you need more time than today to get
25 together on that? That's perfectly fine for me.

1 MR. MUISE: I think perhaps in doing that,
2 part of next week and, I imagine, we had some discussion
3 that perhaps, if we could leave the record open so we
4 can clean this all up, this being a bench trial, through
5 the next week.

6 THE COURT: That's fine. That's fine.

7 MR. MUISE: We're going through the findings
8 and testimony and see if there was any exhibits that
9 might have been lost. We've been able to work out a lot
10 of things throughout this trial, so I don't see this
11 being any different.

12 THE COURT: Inasmuch as my guess is that
13 none of us were planning on laboring through this
14 through the weekend.

15 MR. MUISE: I don't know, Your Honor. Speak
16 for yourself.

17 THE COURT: Then I think, to get it right,
18 you should do that. I'm particularly concerned about
19 those exhibits, and you may have exhibits. That way, I
20 get a very accurate recitation of what each of you want
21 me to do, and I can rule that way. So that's not
22 problematic.

23 In fact, I -- in all seriousness, I can't
24 begin to consider this, won't begin until I get your
25 submissions until I get the findings, and that's about

1 21 days out, I guess, until I get everybody's findings.
2 I think we're on a 14/7 time frame, something like that.
3 Is that right?

4 MR. ROTHSCHILD: So you don't want everybody
5 here to be camping out outside the courthouse?

6 THE COURT: No. No.

7 MR. ROTHSCHILD: That's right. We have 14
8 days for initial pleadings, and then 7 days following
9 for responses which, I think, we all agreed was not
10 meant to be a paragraph-by-paragraph response, but
11 simply an opportunity to respond to things selectively.

12 THE COURT: Right. And so not until that
13 period ends, or at least not until the 14-day period
14 ends, would I need that, and if you get it in within
15 that period of time, that's certainly fine for me.

16 MR. ROTHSCHILD: One other loose end that I
17 think was largely resolved yesterday. I think
18 Defendants agreed that the Barbara Forrest reports and
19 not-testified-about exhibits would come in for the
20 narrow purposes of her admissibility for the -- for any
21 appellate record, and we would -- we will plan on giving
22 you a list of those exhibits. We'll give Liz a list
23 and, of course, Defendants as well, so you're aware.

24 THE COURT: Dr. Forrest's report is what
25 exhibit number? Do you have that?

1 MR. ROTHSCHILD: This would also include the
2 many exhibits, numbered exhibits.

3 THE COURT: I understand. You mean, the
4 exhibits as referenced in her report?

5 MR. ROTHSCHILD: Correct. 347 was the first
6 report, and 349 was the supplemental.

7 THE COURT: So 347 and 349 would come in
8 based on that stipulation, Mr. Muise, is that correct?

9 MR. MUISE: That's correct, Your Honor.

10 THE COURT: So we don't have to cover that
11 then this afternoon together with the exhibits. I will
12 consider them for the purposes as stated, is that
13 correct, as part of the record?

14 MR. ROTHSCHILD: That's correct.

15 THE COURT: I think that's the appropriate
16 way to handle that. Anything else before we break for
17 lunch?

18 MR. THOMPSON: Your Honor, may I make a
19 statement? I'm going to be leaving before the afternoon
20 closing arguments, but, as the head of the Thomas More
21 Law Center, I wanted to thank Your Honor on the record
22 for the fair hearing we've had and for all of the
23 indulgences that you've given us, recognizing that we're
24 a firm in Ann Arbor, and we've been coming here.

25 I wanted to acknowledge your patience and

1 the fair trial, and at the same time, acknowledge the
2 professionalism and the cooperation that the law firm of
3 Pepper Hamilton has given us, and not only the lawyers,
4 but the support staff. As Your Honor knows, the Thomas
5 More Law Center and the ACLU and the Americans United
6 for Separation of Church and State have widely different
7 views of the establishment clause, but I must say that,
8 both the attorneys for the ACLU and the Americans United
9 for Separation of Church and State have given us the
10 same professional courtesies.

11 And for that, I wanted to thank you before I
12 disappeared in the afternoon. I've got a long standing
13 commitment to be in the State of Oklahoma. So again,
14 thank you very much, Your Honor.

15 THE COURT: I understand, Mr. Thompson. It
16 was my intention to recognize counsel, and I will
17 recognize counsel this afternoon. But I certainly
18 appreciate those comments as they relate to the Court,
19 but I also acknowledge and will again acknowledge the
20 very professional and the cordial relations, not only
21 between counsel, but between counsel and the Court.

22 And your professional demeanor throughout
23 this trial is appreciated. I understand that Mr. Gillen
24 is not feeling well this morning. I hope that he joins
25 us this afternoon.

1 MR. MUISE: He'll be here, Your Honor. I'll
2 make sure he's here.

3 THE COURT: I trust that you'll get our
4 friend, Mr. Gillen, rallied in time to make the
5 afternoon session. It would be most unfortunate, having
6 sat through this, if he missed it. But I thank you for
7 that.

8 MR. THOMPSON: Thank you, Your Honor.

9 THE COURT: All right. Anything further
10 before we break for lunch? All right. Let's break
11 until, I'll give you an ample opportunity to go through
12 everything and get started this afternoon. We'll
13 comfortably finish this afternoon, and we will reconvene
14 at 1:30 to take up the additional unresolved evidentiary
15 matters and to then conclude the trial with the closing
16 arguments by counsel. We'll be in recess until 1:30.

17 (Whereupon, a lunch recess was taken at
18 12:05 p.m.)

19
20
21
22
23
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATION

I hereby certify that the proceedings and evidence are contained fully and accurately in the notes taken by me on the within proceedings, and that this copy is a correct transcript of the same.

/s/ Wendy C. Yinger

Wendy C. Yinger, RPR
U.S. Official Court Reporter
(717) 440-1535

The foregoing certification of this transcript does not apply to any reproduction by any means unless under the direct control and/or supervision of the certifying reporter.