

1 IN THE UNITED STATES DISTRICT COURT
2 FOR THE MIDDLE DISTRICT OF PENNSYLVANIA
3 HARRISBURG DIVISION

3 TAMMY KITZMILLER, et al., : CASE NO.
4 Plaintiffs : 4:04-CV-02688
5 vs. :
6 DOVER SCHOOL DISTRICT, : Harrisburg, PA
7 Defendant : 14 October 2005
8: 1:15 p.m.

7 TRANSCRIPT OF CIVIL BENCH TRIAL PROCEEDINGS
8 TRIAL DAY 9, AFTERNOON SESSION
9 BEFORE THE HONORABLE JOHN E. JONES, III
10 UNITED STATES DISTRICT JUDGE

11 APPEARANCES:

12 For the Plaintiffs:

13 Eric J. Rothschild, Esq.
14 Thomas B. Schmidt, III, Esq.
15 Stephen G. Harvey, Esq.
16 Pepper Hamilton, L.L.P.
17 3000 Two Logan Square
18 18th & Arch Streets
19 Philadelphia, PA 19103-2799
20 (215) 380-1992

21 For the Defendant:

22 Patrick Gillen, Esq.
23 Robert J. Muise, Esq.
24 Richard Thompson, Esq.
25 The Thomas More Law Center
26 24 Franklin Lloyd Wright Drive
27 P.O. Box 393
28 Ann Arbor, MI 48106
29 (734) 930-7145

30 Court Reporter:

31 Wesley J. Armstrong, RMR
32 Official Court Reporter
33 U.S. Courthouse
34 228 Walnut Street
35 Harrisburg, PA 17108
36 (717) 542-5569

1 APPEARANCES (Continued)

2 For the American Civil Liberties Union:

3 Witold J. Walczak, Esq.
4 American Civil Liberties Union
5 313 Atwood Street
6 Pittsburgh, PA 15213
7 (412) 681-7864

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X
Kitzmiller vs. Dover Schools
4:04-CV-2688
Trial Day 9, Afternoon Session
14 October 2005

PROCEEDINGS

Page

PLAINTIFF WITNESSES

Dr. Kevin Padian:	
Continued direct by Mr. Walczak	4
Cross examination by Mr. Muise	52
Redirect by Mr. Walczak	128
Joel Leib:	
Direct examination by Mr. Harvey	141
Cross examination by Mr. Gillen	148
Redirect by Mr. Harvey	154
Recross by Mr. Gillen	154

1 PROCEEDINGS

2 THE COURT: Be seated, please. All right,
3 Mr. Walczak, you'll continue with the direct
4 examination.

5 MR. WALCZAK: Your Honor, one of the things
6 we did not do was formally move Professor
7 Padian's as an expert, and I know that
8 defendants have stipulated to his expertise.

9 THE COURT: Why don't you put the, I
10 understand that, and I could refer back to
11 this but it's easier for you to do it, state
12 the exact purpose for which his testimony is
13 being offered in the expert realm.

14 MR. WALCZAK: We would proffer
15 Dr. Kevin Padian as an expert in paleontology,
16 evolutionary biology, integrated biology,
17 and macroevolution.

18 THE COURT: And then pursuant to the
19 stipulation I assume you have no objections,
20 Mr. Muise, is that correct?

21 MR. MUISE: That's correct, Your Honor.

22 THE COURT: All right. Then he's admitted
23 obviously for that purpose nunc pro tunc.
24 So let me ask you before you start your
25 questioning, do you have an agreement as

1 to how long you're going to go in order to
2 reserve --

3 MR. WALCZAK: Oh, I'm guessing we have
4 an hour to an hour and fifteen. As I told
5 Mr. Muise, if we have to bring Professor
6 Padian back on Monday, then it's not the end
7 of the world and we certainly don't want to
8 cut them short on their cross.

9 MR. MUISE: And I'll do my best to get
10 it done before the end of the day.

11 THE COURT: All right. Well, we'll
12 work with that, and you may proceed.

13 CONTINUED DIRECT BY MR. WALCZAK:

1 14 Q. When we finished we were talking about the
15 evolution of birds, and just one last point I
16 want to make on that before we move on to
17 mammals. On page 99 to 100 of Pandas it makes
18 the statement there that I think has been read
19 previously in this trial that, "Intelligent
20 design means that various forms of life began
21 abruptly through an intelligent agency with
22 their distinctive features already intact," and
23 it says, "birds with feathers, beaks, and wings,
24 etc." Now, in fact does the fossil record show
25 whether birds evolved with those features

1 intact?

2 A. You have a thing about the birds today.
3 Dinosaur for lunch? To answer your question,
4 it definitely doesn't show that these features
5 evolved all at once intact, but rather in a
6 step-like progression of features.

2 7 Q. So did the birds at first have just
8 feathers and then the other features evolved?

9 A. We saw the simplification, we saw from a
10 very simplified picture of all the feature that
11 evolve in birds, but they start with very simple
12 filamentous hair-like structures that are
13 feathers, but if I had shown all the features
14 of birds evolving we would have seen the
15 wishbone appear very early before birds evolved
16 and become a very boomerang shaped structure
17 well before birds evolved or take flight. So
18 that evolved for completely different purposes
19 anyway, but birds do use the wishbone today as
20 an anchor of some of the flight muscles. That
21 wasn't the case originally for birds. There's
22 just lots of features like that we could go
23 through, sure.

3 24 Q. Let's talk about mammals. One of the
25 examples that's referenced in Pandas is the

1 mammalian ear, inner ear. Could you talk to
2 us about how Pandas discusses the mammalian ear
3 and what science shows about that? And you've
4 prepared a demonstrative for this?

5 A. I put a couple of slides together about
6 the transition in the evolution of the mammal
7 ear, which is unusual compared to all the other
8 vertebrates. The next slide I think shows a bit
9 about this. This is going to get a little
10 complex anatomically, but I hope it will only
11 hurt for a minute. The bones of the middle ear,
12 mammals have three of them. You might have
13 heard of them as the hammer, the anvil, and the
14 stirrup.

15 The stirrup is a bone that's always in the
16 ear, but the mammals have this anvil and hammer
17 thing which are just outside that stirrup bone.
18 These anvil and hammer bones actually correspond
19 to bones that previously made up the upper and
20 lower jaw joint in all the other animals, not
21 just reptiles or anything like them, but
22 everybody pretty much. So the Pandas authors
23 claim that to make this correspondence is really
24 stretching it, because they said there's no
25 fossil record of this amazing process.

1 Consider, that to make this change one of
2 these bones had to cross the hinge from the
3 lower jaw into the middle ear region of the
4 skull. Again this is from Pandas page 121.
5 So they're saying there's no record of this
6 process and it would be an amazing thing to
7 have to change. The next slide shows that there
8 are actually many sources going back several
9 decades that differ, and there are just a few
10 of them there.

11 The first one was actually an article by
12 Romer, who was the dean of American vertebrate
13 paleontology for half the century about a
14 sinodaun that has an incipient mammalian jaw
15 articulation, and I'll show you what that is in
16 a minute. That comes from the journal Science
17 in 1969. Here's a somewhat later paper by Edgar
18 Allen of Madison, and now it's Chicago, on the
19 evolution of the mammalian middle ear, and then
20 a third one I put there is very recent piece, a
21 little piece in Science by Thomas Marin from
22 Germany and Gigi Lowe, who's curator at the
23 Carnegie museum here in Pittsburgh just a few
24 hours away, one of the great museums in the
25 country, and they are talking about the

1 evolution of these bones in the middle ear
2 something that is uncontroversial as a principle
3 in comparative anatomy of vertebrates in
4 paleontology.

4 5 Q. Now, I note that first article I believe
6 was from 1969.

7 A. Was.

5 8 Q. So this isn't a new development?

9 A. Oh, no. Oh, no. It's been known for
10 decades.

6 11 Q. So what you're going to show us is
12 something that was known 25 years before
13 Pandas was published?

14 A. Yes, and they discuss it. Sure. The
15 next slide I think gives some detail of what's
16 going on here. Trying to make this as painless
17 as possible, there are essentially two sets of
18 bones that are involved in one animal or another
19 in the hinge between the upper and the lower
20 jaw, and outlined in different colors in the
21 skull on top I think you can see an orange bone
22 and maybe a purplish type bone, and in the lower
23 jaw you can see a red one and a blue one.

24 Now, this is an animal that is not a
25 mammal. It's an ancient relative of mammals,

1 and the jaw joint in this animal is formed by
2 two bones, that blue one marked by a "Q" in the
3 top jaw and the red one, which is called the
4 articulator, in the lower jaw. So the quadrate
5 and the articular are the two bones that in all
6 other animals except mammals make up the jaw.

7 The next image is of a critter called
8 probanigmasis, which again is not a mammal.
9 It's a little bit closer to mammals than the
10 first guy is, and in this animal you will see
11 that now not only do we have the articulation
12 between the Q bone and the art bone, which is
13 the quadrate and the articular in the upper and
14 lower jaws, but also there is an articulation
15 between the bone in the lower jaw marked with a
16 "D" called the dentary and the squamosal in the
17 skull, and this can be seen perhaps if I can
18 rouse it, sort of in this area here where the
19 dentary and the squamosal would meet right next
20 to the quadrate and the articular.

21 So these animals actually have what we call
22 a dual jaw joint of two pairs of bones that are
23 actually articulating next to each other on the
24 upper and lower sides of the skull. The next
25 slide is of morogenucidaun, which is another

1 animal, again slightly closer to mammals, that
2 also shares this dual jaw joint of the two
3 bones, and the top of the two bones with the
4 bottom I won't bother with the details, and
5 finally the fourth slide is of a typical garden
6 variety, garbage pail variety possum, which has
7 now changed this articulation so that only the
8 dentary and the squamosal bones are connected.

9 The quadrate and the articular are no
10 longer part of the jaw joint. So we have gone
11 from a quadrate articular joint in which the
12 dentary and squamosal don't participate to two
13 animals, the second and third I showed, there
14 are others like diarthrodontus I could have
15 shown, in which you have two pairs of bones
16 sitting next to each other and articulating,
17 making that jaw joint, to a situation in
18 mammals, the possum is an example, but many,
19 many mammals in the fossil record would do as
20 well as all the mammals today in which just the
21 new articulation the dentary squamosal is made.

22 So you might ask what happened to the
23 quadrate and the articular bones, and the next
24 slide shows that actually in the course of time
25 you can see that, again just to summarize this,

1 this transition, the next indication is of the
2 original condition of the quadrate articular
3 joint only to the next condition of having both
4 the quadrate articular and the dentary squamosal
5 joints which is present in these two animals to
6 only the dentary squamosal joint, and this is
7 the way that scientists understand this
8 transition to have taken place.

9 The next slide gives you a sense of what
10 this anatomy is on the inside of the ear. Now
11 what you're looking at in the top is a depiction
12 of the ear bones in some of early mammals. Now,
13 if you can see where the pointer is pointing
14 here on this upper right diagram, this long
15 structure here with a big hole in the middle is
16 called the stapes, and this is an ear bone that
17 connects up to the eardrum in the inner ear,
18 this little funny snail shaped thing, this bone,
19 the stapes, has been in animals ever since they
20 came out on land.

21 In fact, even the watery ancestors of land
22 animals have this in one form or another. Next
23 to this you'll see a little "Q" and a little "A"
24 which are the quadrate and the articular. These
25 are the two parts that usually that before just

1 made up the jaw joint, but now they are making
2 up part of the ear bone. They are connecting up
3 to it. On the bottom when you look at this,
4 here is this stirrup shaped bone here which we
5 would call the stirrup next to a bone marked by
6 an "I", which is the anvil, and the bone next to
7 it marked by an "M", which is the malleus, or
8 hammer.

9 So malleus and the incus, or the hammer and
10 the anvil, are actually the quadrate and the
11 articular that used to be in the jaw joint, and
12 now they are hooked up to the stapes here of the
13 ear. They always were connected to the stapes,
14 but now they are moved so that the hammer, or
15 the articular, is now moved into the skull
16 rather than being part of the lower jaw.

17 Now, Pandas says this is a very difficult
18 transition to make, and yet we see it
19 embryologically and we see this in the fossil
20 record in the transition of the jaw joints.
21 I think the next indication on the slide will
22 give you a picture if I may, the next I think
23 indication is the Pandas version of this, which
24 identifies these bones as the incus and the
25 states. The stapes as I have already shown is

1 the stirrup. That's always been in the ear.

2 I'm not really sure why they call this a
3 relocation as the incus and the stapes when it's
4 been there when actually what is relocated is
5 really the articular bone which used to be in
6 the lower jaw and now is in part of the ear.
7 So the anatomy here is a little bit confused,
8 and I'm sure they didn't mean to do this
9 purposely, but again if they get this wrong,
10 how much else is wrong that we don't know about
11 or that is not being shown to students or has
12 not been obviously corrected in the second
13 edition or in any subsequent work as far as
14 I know?

15 I think the next slide shows where the
16 stapes is in both things. That's just so you
17 can see where the stapes is the comparable
18 structures. They may look different. One is
19 much more stirrup shaped than the other, which
20 is more rod shaped, but they're the same bone.
21 They hook up to the same structures.

7 22 Q. So again here the point that Pandas makes
23 is that there cannot be and have not been
24 natural processes that account for this
25 evolution?

1 A. And this is just an example of the kind of
2 argumentation that's made to try to say that
3 these transitions are difficult to make and we
4 have no evidence for them, but as I have shown
5 and as you have seen there has been fossil
6 evidence going back decades that show us animals
7 with dual pairs of bones in the jaw joints which
8 is perfect intermediate form. It's kind of like
9 if you had a cup in this hand and you want to
10 transfer it to this hand, well, you could go
11 like that, just toss it from one to the other.
12 But if you take it in both hands and then move
13 it this way, but for a while you've got it in
14 both hands. That's sort of what the mammal jaw
15 was doing.

8 16 Q. Now, you've pointed out that what you have
17 just testified about was well known 25 years
18 before Pandas was written. I mean, that those
19 articles were from the late 1960's. Are you
20 familiar with qualifications or backgrounds of
21 the authors of Pandas?

22 A. I know them as the authors of Pandas.
23 I know very little else about them from
24 firsthand experience.

9 25 Q. So that would be Dean Kenyon, Percival

1 Davis, Nancy Pearcey, and Charles Thaxton.
2 Have you ever encountered them at any meetings,
3 paleobiology, evolutionary biology, seen any
4 peer reviewed publications? What can you tell
5 us about these authors?

6 A. I can say that none of those authors or the
7 other people I know as consulting people on
8 their masthead, I have never seen them at
9 scientific meetings in my fields as far as I
10 know. I've never known them to give papers at
11 those meetings. I've never known them to
12 publish in the peer reviewed literature of any
13 of the fields related to evolutionary biology or
14 paleontology if you want to go to specifics or
15 anything else in related fields, and I haven't
16 seen their work cited by scientists in those
17 fields when discussing advances in science.

10 18 Q. Let me ask you the same question about two
19 experts who will be testifying in the coming
20 weeks for the school district. One is Michael
21 Behe, and the other is professor Scott Minnick.
22 Same question, are these folks who are
23 recognized in the field?

24 A. Not in any of the fields in which I'm
25 familiar, but it would hold they, like the

1 authors of Pandas, may be qualified in other
2 fields, but as far as I understand their
3 experience, accomplishments in the fields
4 related to evolutionary biology, I know of
5 no particular work that they have done that
6 would provide expertise.

11 7 Q. So you haven't seen any peer reviewed
8 publications from these individuals involving
9 evolutionary biology or paleontology?

10 A. Not in those fields, no. Although I don't
11 doubt in their own fold they might produce
12 perfectly good work.

12 13 Q. Let's take one, just more example of the
14 evolution of mammals, and one that Pandas
15 identifies as not being able to evolve naturally
16 is whales, and I'm wondering if, you've prepared
17 a demonstrative to show us how Pandas treats the
18 whales and then explain what science knows about
19 the evolutionary process?

20 A. I would like to discuss this a bit if I may
21 have the next set of slides. In Pandas, here on
22 page 101 and 102 --

13 23 Q. Could you read that passage?

24 A. The whole passage?

14 25 Q. Yes, please.

1 A. "The absence of unambiguous transitional
2 fossils is illustrated by the fossil record of
3 whales. The earliest forms of whales occur in
4 the rocks of the Eocene age, dated some fifty
5 million years ago, but little is known of their
6 possible ancestors. By and large, Darwinists
7 believe that whales evolved from a land mammal.
8 The problem is that there are no clear
9 transitional fossils linking land mammals
10 to whales. If whales did have land dwelling
11 ancestors it's reasonable to expect to find
12 some transitional fossils."

15 13 Q. End quote?

14 A. End quote.

16 15 Q. And in fact what does the science show?

16 A. Well, some of the disturbing things about
17 that quote is apparently that the evolution of
18 whales is something that Darwinists believe, and
19 again it's sort of a faith based proposition
20 that seems to have no real evidence. The Pandas
21 authors then go on to say that there are no
22 clear transitional fossils. It raises the
23 question of what they might accept as a
24 transitional fossil, but what I'd like to show
25 you is what some of the evidence is accepted by

1 fossils in ways of making these transitions of
2 features.

3 Again on the screen here you saw some peer
4 reviewed publications from Nature, Science, and
5 the Proceedings of the National Academy of
6 Science of the USA.

17 7 Q. Could you just read a couple of the
8 titles and journal articles into the record?

9 A. A title here is Skeletons of Terrestrial
10 Cetaceans, which are whales, and The
11 Relationship of Whales to Artiodactyls,
12 which are the hoofed mammals.

18 13 Q. And what publication is that from?

14 A. That comes from Nature I believe. Another
15 article here from Science is called Origin of
16 Whales From Early Artiodactyls, which again
17 are the hoofed mammals, Hands and Feet of Eocene
18 Protocedite, which is an early group of whales
19 from Pakistan. Those are couple of examples.

19 20 Q. So now the testimony you're about to give
21 about whales, does this come from this and other
22 peer reviewed studies?

23 A. Yes. If I could have the next slide I can
24 show you a bit about this. Once again we're
25 going to use this hat rack cladogram

1 relationship diagram, and again it's turned on
2 its side so that you've got living cetacea,
3 whales, on the bottom in blue. That group of
4 whales and dolphins has a bunch of fossil
5 relatives. The closest one are called
6 basilosaurids. Outside them are protocetids,
7 and there's a couple of forms from the Eocene
8 called ambulocetis and pachycetis, and outside
9 that are hippos, which are the closest living
10 relatives of whales, and outside of that we've
11 just listed some early Eocene artiodactyls, or
12 hoofed mammals, from which we have recognized
13 certain characteristics that are shared between
14 hippos and whale, as odd as it might seem.

15 The skeletons you see there are some
16 fossils from the Eocene of hoofed mammals,
17 members of the group artiodactyl, the ones
18 with the even toes, and we just put them up
19 there to show that we do have fossils of such
20 things. The next slide gives you a sense of
21 hippos, which no one needs any introduction
22 to, so we'll pass to the next slide, which is
23 a particularly interesting set of photographic
24 views of a skull, or a partial skull and brain
25 case of an animal called pachycetis, the critter

1 in the yellow, well, orange or whatever that is,
2 outlined term, that is again closer to whales of
3 today than hippos and the other Eocene
4 artiodactyls are.

5 This is another of some of the oldest
6 whales which come from Pakistan, India, Egypt,
7 that area of the world, which once was the edge
8 of an ancient sea in the early part of the
9 Tertiary period, fifty, sixty million years
10 ago when all this was happening. The images on
11 the right are photographs of one of the brain
12 cases and skulls of pachycetis, and the reason
13 for showing this is just to let you know,
14 although I won't go into any detail, that what
15 pachycetis shares with whales that live today
16 are not that it has a blow hole or flukes or
17 anything like that, but that it has an ear
18 region with features that are only found in
19 whales.

20 And by this we infer that they share a
21 common ancestor with the first whales. That
22 would be fairly tenuous evidence if we didn't
23 have other evidence, but the next slide will
24 show you that the evidence of this animal does
25 not make it look a lot like a whale either.

1 It's obviously a four-legged critter. It is
2 happy running around on the ground. It looks
3 like a garden variety quadruped, four-footed
4 critter that runs around doing its business,
5 whatever it does, and except for this funny ear
6 region you might not really get a sense of its
7 relationship to whales.

8 And so we note that they are quadrupedal,
9 or four-legged, but the next slide shows you
10 something interesting about them. That stop
11 slide has now changed to just admit a little bit
12 of the insights that we get from isotopes.
13 These are isotopes of oxygen, and oxygen comes
14 in different kind of molecular forms, and the
15 percentage of those forms varies between
16 terrestrial and aquatic horizons, environments,
17 so that when we find bones that are made with
18 oxygen elements that contain this isotopic
19 signal, we can get an idea of whether these
20 animals were primarily terrestrial or aquatic.

21 In the next slide there's a little
22 indication on this slide there, you can see
23 that the isotopes for pachycetis demonstrates
24 that it falls in the fresh water marine kind
25 of realm. So we think if this evidence is

1 correct that this animal was spending at least
2 part of its time in water, including brackish or
3 marine water. So it's already getting out there
4 somewhere, but it's still a quadrupedal critter.

5 The next slide I think is going to give you
6 a sense of ambulocetis, which means walking
7 whale. Again it still has legs, and as the
8 restoration at the top shows it looks like it's
9 perfectly okay getting around on land, but the
10 next indication on this slide will show you that
11 the limbs are large and paddle like. So the
12 hands and the feet are clearly already being
13 broadened and are apparently some use to the
14 animal in getting around in the water, and these
15 are actual skeletons again from the Eocene.

16 The next slide shows you protocetids, which
17 are ancient whale relatives that are a little
18 bit closer than the last one was to the whales
19 of today, and protocetids are kind of
20 interesting. If you, the next indication I
21 think will show that the hips on these animals
22 have been decoupled from the backbone. That
23 is they are no longer connected to the spinal
24 column.

25 Why this would be might be difficult to

1 fathom, pardon the pun, except that these
2 animals are probably using their backbone,
3 moving it up and down the way whales swim in the
4 water, and if you have your limbs encumbered to
5 your backbone it's just going to be that much
6 more difficult to do it. This may be part of
7 the reason why the decoupling is there, and yet
8 these animals, as you'll see from the next
9 indication, still have skulls in which they're
10 getting some increasingly whale-like
11 characteristics, including the nostrils, which
12 are beginning to move backward along the skull.

13 As you know, in whales the blow hole is
14 right up close to the eyes. The next slide I
15 think shows that even though these animals are
16 quite aquatic and have a lot of whale features,
17 they still have ankle bones that are very much
18 like the ankle bones in the hoofed mammals from
19 which they evolved, including ankles with a
20 double pulley joint and a lever arm off the end.

21 Even though these animals are spending more
22 and more time in water, they can still deal okay
23 on lands. The next slide I think will show a
24 basilosaurid, which is the next step toward
25 living whales, and this is quite a different

1 proposition. The next indication will show you
2 where the nostrils are, they're moving even
3 farther up along the skull, and the next
4 indication shows you about the hind limb bones,
5 which are again the next indication is a
6 close-up of this, the hind limbs are now not
7 just decoupled from the back bone, they've
8 become extremely reduced.

9 But as you'll notice, right in the middle
10 of that slide is that pulley shaped bone with a
11 little hook off it. That is the ankle. And
12 so the ankle is still like the ankle of a
13 terrestrial animal, a hoofed mammal, from which
14 they evolved, even though this animal couldn't
15 any more walk on land than it could fly. So
16 what we're seeing here is the progression of
17 features more and more whale-like from animals
18 that are terrestrial and conventional land going
19 animals through some really minor features
20 beginning in such odd regions as the ear, which
21 you might not expect to be one of the first
22 things that would change, all the way down to
23 this, the final thing we have here is the living
24 cetacean, which looks, you know, very much like
25 the whales of today because they are the whales

1 of today, and they've almost completely lost the
2 hind limbs. So this is the situation as
3 paleontologists know it in a kind of a, you
4 know, very vague general nutshell.

20 5 Q. And this is completely contradictory to
6 which Pandas has said?

7 A. Well, you look at the treatment that
8 they've given us and that we've just seen,
9 they've told us that there are no clear
10 transitional fossils and that the fossil
11 record of whales is a poster child for the
12 absence of unambiguous transitional fossils,
13 but we think the transition is pretty good.

21 14 Q. Now, most of these fossils that you have
15 just pointed to were in fact discovered after
16 the publication of Pandas in 1993?

17 A. Many of them were. Some of them were
18 still around. Basilosaurids, the last, second
19 to last guys I showed, have been known since
20 the Civil War.

22 21 Q. Does the fact that Pandas suggests that
22 there are no transitional fossils and kind of
23 insert an intelligent designer as the cause
24 because of that, what's the implication of
25 finding new evidence where Pandas asserts a

1 designer?

2 A. Well, again I think it sets a very
3 confusing message to students as well as
4 to everybody, the public included, that I
5 don't know what you're supposed to think
6 from this. Either there is no designer or
7 the methods of intelligent design are very
8 badly flawed, but in each case it confuses
9 rather than advances the educational purpose.

23 10 Q. Well, does it also not show up a flaw
11 in the logic of intelligent design, so the
12 fact that we don't have transitional fossils
13 today means the only other possibility is
14 there must have been a designer, whereas in
15 fact what we have no found is no, there are
16 other possibilities we may actually find natural
17 causes for?

18 A. And so the fallacy is that if we don't have
19 enough evidence for evolution, we must therefore
20 conclude that these things had a supernatural
21 origin.

24 22 Q. What's homology? Last concept, Your Honor.

23 A. Homology is the central concept of
24 comparative biology. It's the idea that
25 allows you to compare structures in different

1 animals, the kinds of structures that enable you
2 to say that the bone you have here that we call
3 a humerus is a humerus in a human, it's a
4 humerus in a bat or a goat or a bird or a frog,
5 and this is a very old concept. The notion of
6 homology, the ability to compare comparable
7 parts among organisms, goes back to the 1700's.
8 Goethe was one of the first people who developed
9 this concept in vertebrates as well as in plants
10 because he was besides being the author of
11 Faust and a great poet he was also a great
12 morphologist.

13 He worked on plants and animals and was a
14 great contributor to these ideas of morphology.
15 Goethe, many of the other German scholars who
16 worked with him, some of French scholars in
17 days, and many of the scholars in Britain at
18 this same time, contributed to this, including
19 notably Sir Richard Owen, who was a little bit
20 older than Darwin but really contemporary with
21 him, but a complete anti-Darwinist in the sense
22 of not accepting natural selection and not
23 accepting the possibility of change from one
24 species to the others in ways that Darwin and
25 the evolutionists proposed.

1 What is so interesting about the
2 presentation of homology by intelligent design
3 advocates as with creation science, scientists
4 and so on, is that they take a concept that
5 isn't even evolutionary and they manage to
6 completely destroy the fundamental basis on
7 which it's built. Let's go back to the thinking
8 of Richard Owen. In 1846 and 1848 a man who is
9 Darwin's bitterest enemy, he is the only man
10 that Darwin was ever said to have hated, so he's
11 not exactly a big fan, these guys do not form a
12 mutual admiration society, but Owen is a cosmic
13 morphologist, he's the greatest paleontologist
14 and comparative anatomist of his generation, and
15 Owen said look, we have to be able to compare
16 structures, and we can do it on a number of
17 different criteria.

18 And he's not talking about evolution as
19 saying look, this bone is a humerus because it
20 connects to the same bones in all the animals
21 we're looking at. Connects to the shoulder
22 joint on the one hand, on the one arm, and it
23 connects to the forearm bones on the other side,
24 and that's the way we find it and that's how we
25 can tell that this is a humerus, and this is the

1 same in a goat.

2 So it's in the same position, that's the
3 first thing. The second thing is it's made of
4 the same stuff, it's bone, and this bone -- so
5 it's not muscle or it's not glass, it's not
6 anything else. It's made from the same stuff,
7 and that's another way you can tell it's the
8 same thing. Another criterion he used is that
9 it develops in the same way. So for example it
10 develops along the arm primordium and it's first
11 beginning to be formed in cartilage and the
12 cartilage is largely replaced by bone as the
13 bone develops in its place.

14 So you have criteria of position, of what
15 it's made of, and how it develops, and these
16 are only a few of the criteria that people use.
17 This is before people talk about evolution in
18 connection to homology. Now, what Darwin did
19 by publishing *The Origin of Species*, many more
20 people accepted that organisms had common
21 ancestors, that common ancestry explained the
22 diversity of life. And now homology had a
23 second dimension to it. That is that homology,
24 the resemblances that Owen had talked about and
25 many other morphologists had talked about, why

1 were they similar? Because they were inherited
2 from common ancestors. So common ancestry is
3 not the rationale for homology. It's an
4 explanation of the similarities that we see
5 that is, that were actually established in
6 pre-Darwinian terms by most classical scholars
7 that we have.

25 8 Q. And so homology is a very well established
9 concept within biology?

10 A. Yeah, and when I started by talking about
11 how we classify things, how we make up these
12 cladograms, we have to make sure that we're
13 using homologous features, this is features that
14 actually be compared and not just random
15 features that aren't correlated to each other.
16 Otherwise our classification systems would be
17 invalid.

26 18 Q. And what you're talking about is something
19 that's been established not just for a few years
20 but for a really long time?

21 A. Hundreds of years.

27 22 Q. And what does Pandas do with homology?

23 A. It's really weird. If I can give you an
24 example, this one here comes from their figure
25 5-2. This is their drawing of a dog, a wolf,

1 and an animal called the Tasmanian wolf, which
2 is considered by all scientists to be a
3 marsupial and not a placental mammal. Marsupial
4 are animals like possums and kangaroos and
5 phalangers and koalas and wombats that are a
6 quite a different branch from the placental
7 mammals, humans, primates, bats, wolves, things
8 like that.

9 The caption here seems to make very little
10 of the similarity between the dog and the wolf
11 and a lot of the supposed identity between the
12 Tasmanian wolf on the bottom, which they say in
13 the caption is allegedly only distantly related
14 to it. If I could have the next slide, this
15 is what they're talking about in making these
16 comparisons.

28 17 Q. And now this is from page 29 of Pandas?

18 A. It is. It says, "Despite these close
19 parallels, because the two animals, that is
20 the Tasmanian wolf and the conventional wolf,
21 differ in a few features, the standard approach
22 is to classify them in widely different
23 categories." So the wolf with the dog and
24 Tasmanian wolf with the kangaroo as a marsupial.
25 Okay, and they're saying if similarity is the

1 basis for classification, what do we do when
2 these similarities conflict?

3 The marsupial wolf is strikingly similar
4 to the placental wolf in most features. Yet
5 it's like the kangaroo in one significant
6 feature, by which they mean the pouch. Upon
7 which similarity do we build our classification
8 scheme? Should we use the pouch or should we
9 use everything else they're saying. So in
10 other words, they're trying to say that the
11 resemblances between the wolf and the dog are
12 simply superficial, and that just because those
13 other marsupials have pouches doesn't mean we
14 should always classify them together.

15 I don't think there's ever been any doubt
16 about this since marsupials were discovered.
17 I don't think that there has been mass confusion
18 about marsupials versus placentals. But the
19 next slide I think I would, if I may I would
20 like to show you how a morphologist would look
21 at this question.

29 22 Q. I'm sorry, are those these photos taken
23 from Pandas?

24 A. No. These are photos taken from
25 literature.

30 1 Q. And are these reasonable depictions of
2 what these animals look like?

3 A. Yes. I think as mug shots they're okay.
4 The Tasmanian wolf, the last one died in a zoo
5 in the 1930's. I don't think we know of any
6 living population since then. The dogs and the
7 North American wolf of course are still around.
8 The Tasmanian wolf is a very strange animal.
9 You can see its stripes, its funny ears, its
10 snout and so forth, but superficial similarities
11 as we have seen are not the basis on which we
12 establish science. Let's take a look at next set
13 of slides. What we've done here is to take
14 actual skulls from our museum. Here's a dog
15 and a wolf.

31 16 Q. And this is how scientists, real scientists
17 would make these comparisons?

18 A. Oh, yeah, and in each case we have taken
19 features of the jaws and teeth just to show you
20 the comparability among them. I don't need to
21 run through all the features. I just want you
22 to take a look and see that on this slide the
23 no's and the yes's and the numbers line up
24 pretty well between the dog and the wolf. Do
25 you want me to go through the similarities?

1 Okay, it's close enough for government work.

2 Then the next one here is the North
3 American wolf and the so-called Tasmanian
4 wolf, and in these features again every one
5 of them is opposite, where you get no's, you
6 get yes's, the numbers are wrong, and the
7 carnassial tooth we see in the wolf above is
8 missing in the Tasmanian wolf. So in these
9 features they're completely different.

10 Let's go to the next slide, just looking
11 at it the front way, which was not shown in
12 Pandas, but the dog and the wolf, just to show
13 that they both have nasal bones that are narrow
14 or pinched in shape, with three incisors. The
15 next slide contrasts the wolf with the Tasmanian
16 wolf. The Tasmanian wolf has wide nasals and it
17 has four incisors, which you wouldn't see from
18 the side shot that the Pandas authors showed.

19 The next slide shows you a few of these
20 skulls from underneath. The Tasmanian wolf
21 has holes in the roof of its mouth, or palatal
22 holes, which are lacked by the dog and the North
23 American wolf. And the next slide shows the
24 jawbones of these animals which have an opposite
25 number of molars and premolar teeth between the

1 Tasmanian wolf, and the dog and wolf.

2 Also you'll see that Tasmanian wolf has a
3 couple of structures at the back of the jaw
4 which we call the reflected lamina. The term
5 is not important, but it's just a significant
6 feature that's not present in the dog and the
7 wolf. Well, let's do our next comparison and
8 look at the Tasmanian wolf as it relates to the
9 kangaroo, which we know is a marsupial.

10 In all the features that we've been looking
11 at so far the kangaroo and the Tasmanian wolf
12 correspond exactly with one exception, which is
13 that the kangaroo doesn't have three premolars,
14 and it doesn't have three premolars because the
15 front of its face is modified in a way that many
16 plant eating animals are modified. They lose
17 those front cheek teeth and they developed the
18 very most front teeth in the skull into a
19 cropping organism that they use to, a cropping
20 organ that they use to crop grass and other
21 plants. Except for that, the features of the
22 two skulls correspond. The next one, if you
23 like that here's the Tasmanian wolf against the
24 possum, and although --

32 25 Q. That's another marsupial?

1 A. Another marsupial, yeah, our garden variety
2 possum here, and although we saw that the
3 kangaroo didn't have those first three premolars
4 in front, the possum does. And the possum
5 corresponds in all respects to those features
6 in the Tasmanian wolf. Let's go a little bit
7 further and look at them from the front. In
8 each case all three, the kangaroo, the possum,
9 and the Tasmanian wolf, have wide nasals. They
10 have a different number of incisors, but they
11 don't have three, except the kangaroo, which has
12 very strange front incisors.

13 The next slide shows these three marsupials
14 from the bottom. So I can just go back one,
15 thank you. Shows these three skulls from the
16 bottom. You can see that they all have palatal
17 holes, holes in the roof of the mouth, which the
18 dog and the wolf don't have. And the next slide
19 I believe shows the jaws of these three animals,
20 which everyone classifies as marsupials, which
21 all have four molars, three premolars, except
22 the kangaroo for reasons explained before, and
23 they all have this reflected lamina in the back
24 of the jaw.

25 So what are we to conclude from this?

1 As the next slide shows -- oh, there are genetic
2 similarities as well. I should mention that
3 there have been several molecular studies that
4 leave no doubt that marsupials are not just
5 united by the pouch. They're even united by
6 many molecular similarities that have nothing
7 to do with the pouch as far as we can tell.

33 8 Q. Can you just read into the record the name
9 of these articles and journals they're from?

10 A. Sure. One is from Molecular Phylogenetics
11 and Evolution. Its title is, "Nuclear Gene
12 Sequences Provide Evidence that a Monophyly of
13 Australodelphian Marsupials" by which monophyly
14 means that they all come from the same
15 ancestors, the australodelphian marsupials
16 means the guys that we know that are down there
17 in Australia and some South American mammals.

18 Here's "An Analysis of Marsupial
19 Interordinal Relationships," that means
20 the relationships within the marsupials,
21 "Based on 12-S RNA, TRNA Valine, 16-SR RNA,
22 and Cytochrome B Sequences." So here are
23 four different molecules essentially, and this
24 is in the Journal of Mammalian Evolution.

25 Here's a paper from the Royal Society of

1 London on mitochondrial genomes. Again these
2 are DNA that comes out of the mitochondria of
3 cells, on a bandicoot, a brush tailed possum,
4 confirm the monophyly of australodelphian
5 marsupials once again.

34 6 Q. Are these just a representative sample of
7 the peer reviewed literature that's out there?

8 A. Yes.

35 9 Q. So there's many more than this?

10 A. Yes.

36 11 Q. So --

12 A. I think the next slide might give us an
13 indication that in summary it's not just the
14 pouch. It's all these similarities here that
15 link the Tasmanian wolf to the other marsupials
16 and exclude them from the placentals, and that
17 probably should be brought out to students.

18 I believe the next slide gives us an indication
19 of --

37 20 Q. Well, let me just stop you there. So from
21 what you have just explained to us, this
22 homology is used to kind of systematically
23 compare animals?

24 A. Yes. It's a method as I said that goes
25 back to the 1700's, looking for unusual

1 similarities, listing all of them, putting
2 them all together, and seeing which array
3 of features makes the most sense.

38 4 Q. And is this widely accepted in science?

5 A. Yes. As I noted before, it's the basis
6 by which we can do classification. Those shared
7 features that we use for classification would
8 not be anywhere if we didn't use the concept of
9 homology.

39 10 Q. And as we saw, Pandas seems to suggest
11 that the classification and comparisons
12 are arbitrary. How does Pandas use this
13 misrepresentation of homology?

14 A. I think the next slide might give some
15 indication of that. It seems quite clear from
16 their text that they prefer the explanation of
17 special creation over descent. The highlighted
18 passages here from page 125 of Pandas ask if
19 there is any alternative explanation. They say
20 yes, another theory is that marsupials were
21 all designed with these reproductive structures.

22 An intelligent designer they say might
23 reasonably be expected to use a variety, if a
24 limited variety, of design approaches to produce
25 a single engineering solution. They say that

1 even if we assume that an intelligent designer
2 had a good reason for all these decisions, it
3 doesn't follow that such reasons will be obvious
4 to us. That's a perplexing statement, because
5 it means that even though we have not been able
6 to find a convincing pattern, and even though
7 we do not know what the overarching plan is,
8 we can still conclude that something was
9 designed and could not have evolved.

10 They go on to say that, "These questions
11 can nevertheless generate research in areas
12 we might never investigate." I think as a
13 scientist I'd be very concerned about how
14 you can generate research questions when you
15 have closed off an empirical avenue of, a very
16 conventional empirical avenue of investigation,
17 which is that these similarities are the result
18 of common ancestry and provide no program for
19 analyzing what intelligent design is, what the
20 nature of the designer is, what the rules of
21 design are by that designer, and this is I think
22 classically a science stopper, especially when
23 you tell students that these ideas should be
24 considered but then you forbid discussion, you
25 forbid questions.

40 1 Q. Now, it says in there that intelligent
2 design should generate research. Are you aware
3 of a significant body of scientific research
4 on intelligent design?

5 A. Well, before I left I checked our
6 electronic database in biology that's available
7 through our library that surveys thousands of
8 peer reviewed scientific journals, and I looked
9 for intelligent design in the field of biology
10 and all I could find were instances where humans
11 had for example designed ergonomic chairs.
12 And they wanted this to be intelligent design.
13 Okay? But they didn't say anything about a
14 creator or that these had evolved, and obviously
15 we don't think chairs have evolved, we know that
16 they are designed by humans.

17 Other instances referred to for example
18 DNA splicing, where people are designing DNA
19 if you will. They want to do it intelligently.
20 Things like that, but I never saw a single
21 instance where intelligent design had been used
22 as a research program or even as a scientific
23 concept. And similar studies made by other
24 people have I believe turned up the same lack
25 of stimulation of research in any scientific

1 field.

41 2 Q. So we hear intelligent design proponents
3 claim that some of their propositions are
4 testable. How do you square that?

5 A. Well, they began by claiming that
6 intelligent design should be considered on
7 the same playing field with conventional
8 science. They've had a couple of decades now
9 to show that it should be. They don't seem
10 terribly interested in producing reports, peer
11 reviewed literature that will actually document
12 that and change the scientific paradigm. So
13 I'm not really sure what efforts they're trying
14 to make to change the science.

42 15 Q. I guess what I'm asking about is that
16 intelligent design makes claims that are
17 testable, and those are claims that they
18 have made about evolution.

19 A. I don't think any scientific society that's
20 weighed in on this has accepted intelligent
21 design as testable. Speaking for myself, I
22 don't regard intelligent design as a testable
23 idea scientifically. I regard it as a
24 proposition of things that can't be tested
25 scientifically but you recourse to when

1 scientific explanations have failed. Parts
2 of the things that are alleged to make up
3 intelligent design or that are associated with
4 it, such as irreducible complexity, may be a
5 testable proposition, but let's take a look at
6 that.

7 Irreducible complexity on its face is a
8 simple statement about a machine or some kind
9 of structure that has several parts. If you
10 take away one of those parts, then it stops
11 functioning. Well, any 8-year-old with a broken
12 bicycle chain knows that he can't ride around
13 anymore with a broken bicycle chain, if that
14 part is broken it's not going to work. No one's
15 got a Nobel prize for that proposition. This
16 only makes sense in the context of intelligent
17 design when irreducible complexity is invoked as
18 a way to assert that no structure could have
19 evolved by natural means.

20 Therefore, it is irreducibly complex. And
21 as we've seen in cases where works like Pandas
22 have asserted this, we've often found that there
23 is evidence to the contrary that we can produce
24 transitional sequences of things, or that the
25 intelligent design advocates have simply left

1 out a lot of the information probably because
2 they do not accept it.

43 3 Q. So an essential component of the
4 intelligent design argument is that evolution
5 doesn't work?

6 A. That's correct.

44 7 Q. And they've given a number of examples
8 involving the fossil record, involving your
9 fields of expertise, whether it's no
10 pre-Cambrian ancestors or the inability of
11 fish to have evolved or birds to have evolved
12 or we saw whales to have evolved, and in fact
13 what has science done with all of the scientific
14 predictions or those assertions where evolution
15 doesn't work or that Pandas comes --

16 A. Well, they've been tested by the discovery
17 of new evidence such as fossils, such as
18 molecular evidence, such as new evidence in
19 developmental biology, and in a great many
20 cases we found that the proceeding difficulties
21 or absences of evidence have disappeared.

22 It's an important principle in philosophy that
23 absence of evidence is not evidence of absence.

45 24 Q. But in fact the examples that Pandas has
25 given to show that in fact evolution doesn't

1 work have been refuted by the scientific
2 community?

3 A. I believe that would be the interpretation
4 of the scientific community, yes.

46 5 Q. And in fact the examples that Pandas has
6 selected are only a very few of far more
7 evidence that's out there supporting evolution?

8 A. Yes.

47 9 Q. And they haven't attacked those other bits
10 of evidence?

11 A. No.

48 12 Q. But even those few bits of evidence that
13 they have selected to argue that evolution
14 doesn't work have largely been invalidated
15 by empirical studies?

16 A. In many cases we would say that we've got
17 a much better resolution to this. I certainly
18 don't want to present we've solved every
19 problem. Otherwise I'd have to go home and
20 retire.

49 21 Q. We are going to try to get you home this
22 weekend. Turn to the last slide we have here.
23 Would you say intelligent design is a scientific
24 proposition?

25 A. I don't think there's anything scientific

1 about intelligent design. As I say, I think
2 it's a sort of idea that you recur to when
3 your scientific explanations fail.

50 4 Q. Do you think it's a religious proposition?
5 And I direct your attention to page 122 of
6 Pandas, and perhaps if you can read this passage
7 into the record.

8 A. Well, this concerns me. They say, "For the
9 design proponent, there is another explanation
10 of the origin of analogous features and
11 unrelated groups." They say, "For example, the
12 skulls of marsupial wolves and of placental
13 wolves are similar because one particular skull
14 best suited the requirements of both organisms."
15 We call this idea teleology. That is, they
16 define this as organism that's designed for
17 certain functions or purposes.

18 Now, when they say an organism is designed,
19 that's maybe a statement, a static statement, it
20 may be in the passive voice, but did someone
21 design it. Again and again in Pandas they say
22 that an intelligent designer has designed this
23 for certain functions or purposes. This indeed
24 is teleology, that things are there for, created
25 for a certain end or purpose, and this is a

1 philosophical and overtly religious notion that
2 is absent from ideas of evolutionary biology.

51 3 Q. So teleology is not a scientific term?

4 A. No, not in the sense they're using it
5 at all.

52 6 Q. Dr. Padian, you are familiar with the
7 four-paragraph statement that the Dover
8 school district is reading to students?

9 A. I've read it before.

53 10 Q. I'm not going to ask you to critique it
11 paragraph by paragraph, other witnesses have
12 done that. Let me just ask you, the Dover
13 school district's response has been it's a
14 one-minute statement, students don't have to
15 stay in the classroom to listen to it, you know,
16 what's the big deal? Why are we fighting this?
17 Why are students harmed? Why is anybody harmed
18 by reading this one-minute statement to the
19 students?

20 A. Well, in my view, having educated students
21 for thirty years, and so at a variety of levels
22 from middle school up to graduate students my
23 sense is that it's very difficult to constrain
24 inquiry just by saying you're going to cut it
25 off, and it's very difficult to say that if you

1 just read a statement it's not going to harm
2 anybody. It's quite clear from the evidence
3 that's been given and from the fact that we're
4 sitting here and by the situation that's
5 developed in Dover, clear from news reports of
6 people arguing with each other, parents arguing
7 with other parents and teachers, teachers
8 arguing with the school board, school board
9 members arguing with each other and quitting,
10 who knows how many bitter conversations have
11 taken place in supermarket aisles and across
12 telephone wires.

13 MR. MUISE: I'm going to object, Your Honor.
14 This is going far down the road of speculation.

15 THE COURT: I'll overrule the objection to
16 the extent that I'm not hearing anything that
17 I haven't heard before, but why don't you
18 interject a question at this point.

54 19 Q. So as a science educator, as somebody who
20 has educated students for thirty years, why is
21 this statement a problem?

22 A. It's clearly caused a great division in
23 students, a great confusion. If some students
24 are allowed to -- well, if students are required
25 or allowed to hear a statement that is not read

1 by their teacher, and unlike any other statement
2 in the curriculum they may not ask questions
3 about this and they may not discuss it further,
4 this roping off of this kind of a statement
5 means that it's to be treated differently.

6 It essentially ostracizes this area of
7 study. It makes students confused, and they
8 do ask questions. My students ask me questions
9 about this kind of thing all the time. I don't
10 think you can say that by cutting off inquiry
11 you're going to stop people from asking
12 questions. There are questions that intelligent
13 design raises for students, and not just about
14 science.

15 They are going to ask about if we have a
16 situation where certain structures cannot
17 evolve, that the natural processes that were
18 perhaps created by a creator aren't sufficient
19 to accomplish things, then what does this say
20 about the perfection of the creation or the
21 creator? What does this say about the ability
22 of the creator to intervene in natural
23 processes? If the creator can intervene, why
24 doesn't he do so more often to relieve pain and
25 suffering? And if this is a problem, of what

1 good is prayer?

2 These concern me as someone who educates
3 students in the science realm because they're
4 not just asking questions about science. And
5 if we close off inquiry to students and say
6 that something cannot be anymore discussed in
7 science, just accept it this way, or if we make
8 religious propositions part of the science
9 curriculum, then you cannot prevent them from
10 being scrutinized in ways that are completely
11 inappropriate in my view, in the purview of
12 natural science, which never claims to answer
13 such kinds of questions.

55 14 Q. And from your perspective as a scientist,
15 what's the problem with this one-minute
16 statement?

17 A. I think it makes people stupid. I think
18 essentially it makes them ignorant. It confuses
19 them unnecessarily about things that are well
20 understood in science, about which there is no
21 controversy, about ideas that have existed since
22 the 1700's, about a broad body of scientific
23 knowledge that's been developed over centuries
24 by people with religious backgrounds and all
25 walks of life, from all countries and faiths,

1 on which everyone can understand.

2 I can do paleontology with people in
3 Morocco, in Zimbabwe, in South Africa, in China,
4 in India, any place around the world. I have
5 co-authors in many countries around the world.
6 We don't all share the same religious faith. We
7 don't share the same philosophical outlook, but
8 one thing is clear, and that is when we sit down
9 at the table and do science, we put the rest of
10 the stuff behind.

11 MR. ROTHSCHILD: I have no further
12 questions.

13 THE COURT: Why don't we get started,
14 we've only been at it about an hour. So we
15 can get started with your cross, and then
16 we'll take a break.

17 MR. MUISE: Thank you, Your Honor.

18 THE COURT: Why don't we try to break,
19 Mr. Muise, in about fifteen minutes or so.
20 That'll give you some time to get started.

21 CROSS EXAMINATION BY MR. MUISE:

56 22 Q. Good afternoon, Dr. Padian.

23 A. Mr. Muise.

57 24 Q. Sir, you just testified that you believe
25 that this reading of this one-minute statement

1 will clearly cause a great division in students?

2 A. Did I say those words exactly?

58 3 Q. I believe it was --

4 A. Something to that effect?

59 5 Q. -- something to that effect, is that

6 correct?

7 A. Well, I don't know without looking at

8 the transcript or what my exact words were.

60 9 Q. Is it similar to those words?

10 A. I think what I would say is it would cause

11 great confusion among students.

61 12 Q. You've never interviewed any students, is

13 that correct?

14 A. Ive talked to my own students. I have not

15 talked to Dover students.

62 16 Q. None of the students who may have heard

17 this statement?

18 A. Not the students that may have heard that

19 statement.

63 20 Q. But it's your opinion that this would cause

21 students to ask questions such as what good is

22 prayer?

23 A. Yes.

64 24 Q. And why is there suffering?

25 A. Yes.

65 1 Q. From reading this one-minute statement?

2 A. Yes.

66 3 Q. And that's your expert opinion?

4 A. Well, it has a lot to do with it.

67 5 Q. Sir, you're not a microbiologist, correct?

6 A. No, sir.

68 7 Q. You're not an expert probability theory?

8 A. No, sir.

69 9 Q. As a paleontologist is it accurate to
10 say that what you are doing is essentially
11 reconstructing the life of the past by
12 accumulating data concerning patterns and
13 then trying to infer processes that account
14 for the change of life through time? Would
15 that be an accurate description?

16 A. That's a reasonably good statement.

70 17 Q. It's reasonably based on comparative
18 evidence, is that correct?

19 A. Yes, sir.

71 20 Q. For example, you know what the function
21 of the feathers of different shapes are in
22 birds today, and you would look at those same
23 structures in fossils animal and then infer that
24 they were used for a similar purpose in the
25 fossil animal? Is that the sort of reasoning

1 you apply?

2 A. They might be, yes. That would be one
3 line of evidence. There may be others.

72 4 Q. But that's the sort of reasoning that you
5 apply as a paleontologist?

6 A. That's part of it, yes.

73 7 Q. And you heard a lot about feathers in
8 hair-like features. With the case of hair-like
9 feathers that cover the body or the whole body
10 of fossils, you infer that they are de facto
11 insulation, correct?

12 A. Yes.

74 13 Q. And they would have to be insulation
14 because they wouldn't simply exist on the
15 body and not have something to do with warming
16 or cooling, is that fair?

17 A. And this is because they trap air.

75 18 Q. And you conclude that they're used for
19 insulation based on what we know about hair
20 and feathers today, correct?

21 A. Yes.

76 22 Q. And that's scientific reasoning?

23 A. That's part of it, unless we have evidence
24 to the contrary from some other source.

77 25 Q. So paleontologists make reasoned inferences

1 based on the comparative evidence? Is that
2 correct?

3 A. We do our best.

78 4 Q. But not all reasoned inferences made by
5 paleontologists are correct?

6 A. I certainly wouldn't claim that.

79 7 Q. For example, your dissertation advisor John
8 Ostram at one point reasoned that there was an
9 intermediate state for the first wing used for
10 flying and, that stage involved the use of these
11 wing-like features to chase down insects, and he
12 called it the insect hypothesis, correct?

13 A. He suggested that as a hypothesis, that's
14 correct.

80 15 Q. And that was based on his reasoned
16 inference from the evidence?

17 A. Yes.

81 18 Q. Now, a few scientists had another reasoned
19 inference based on that same evidence, correct?

20 A. Yeah.

82 21 Q. And that involved moving the prey catching
22 function from the hands to the mouth and then
23 they're relying on these wing-like features for
24 balance and lift, is that correct?

25 A. Yes.

83 1 Q. So that seemed to work better, correct?

2 A. Yes, it surmounted a problem of balance.

84 3 Q. So you had scientists looking at the same
4 evidence and drawing different reasoned
5 conclusions?

6 A. Sequentially.

85 7 Q. Is the approach to paleontology similar
8 to how scientists consider the structural
9 similarity in embryology?

10 A. In what sense?

86 11 Q. The same sort of reasoned inferences from
12 structural similarities.

13 A. Yes, with the difference that we can
14 observe how individual embryos develop, but
15 it's really hard to do that with fossils because
16 you have a single specimen which is at one stage
17 of death, and whereas in embryos of living
18 animals we can do a lot of comparative work.

87 19 Q. The sort of comparative work that was done
20 with the Heckle embryos, are you familiar with
21 the Heckle embryos?

22 A. Somewhat. It's not exactly my field of the
23 specialization history of science. I have a
24 little familiarity with the case, yes.

88 25 Q. And those were drawings that had appeared

1 in biology textbooks for many years?

2 A. Some versions of those drawings appeared
3 in biology texts for many years, yes.

89 4 Q. And they were subsequently determined to
5 be fraudulent, is that correct?

6 A. I don't know if I'd use the word
7 fraudulent. I would say that they were
8 certainly inaccurate. It's not clear to me
9 that Heckle intended to show anything
10 fraudulently, but as with the situation of
11 the insect wing or the insect net hypothesis,
12 when we get more evidence we get better answers,
13 and John Ostram as soon as he heard the insect
14 net hypothesis was, actually had a big problem
15 with it surmounted by these guys in Arizona who
16 very cleverly postulated what would happen with
17 the upset of balance. He said the insect net
18 hypothesis is dead. It did its job. And in
19 the same way, when we get better drawings of
20 embryos, if we know about them we'll try to use
21 them.

90 22 Q. Now, with regard to those embryos, is it
23 your understanding they were fudged in some
24 respect? Because you said you don't want to use
25 the word fraudulent because --

1 A. Yeah, I don't know the details, Mr. Muise.

2 I'm not an embryologist.

91 3 Q. Thank you.

4 A. I haven't studied those, I'm sorry.

92 5 Q. Sir, Darwin was not the first to propose
6 the concept of evolution, correct?

7 A. Correct.

93 8 Q. And I want to be clear on this. When we're
9 using the term evolution in this sense, we're
10 talking about changes over time. Life as
11 changed over time. Is that accurate?

12 A. That's part of it. There's also in there
13 common ancestry of all organisms, which is a
14 separate consideration of evolution that comes
15 and goes, yes.

94 16 Q. When we generally use the term evolution,
17 you're saying common ancestry is similar to the
18 general term of evolution?

19 A. Change through time is a good one for a
20 general explanation of evolution to be more
21 specific. Other individuals, including Darwin,
22 have a more precise or different definition.
23 Darwin's I believe for example is descent with
24 modification.

95 25 Q. And that would be a reference to change

1 over time?

2 A. Yes, sure.

96 3 Q. And I believe you testified he was preceded
4 by others I believe it was by as much as two
5 centuries?

6 A. Yes. Loc Buffon, many of the previous,
7 Lamarck had a theory of evolution very different
8 from his.

97 9 Q. But Darwin's evidence though persuaded
10 people to accept evolution as an explanation
11 for the diversification of life, is that
12 correct?

13 A. It was, even though as noted before his
14 book was about natural selection.

98 15 Q. And I believe as you have noted before, he
16 used artificial selection as an analogy for
17 natural selection, correct?

18 A. Yes, I did. Yes.

99 19 Q. And artificial selection is what for
20 example a dog breeder would use to breed a
21 variation of a particular dog, correct?

22 A. That's correct.

100 23 Q. So when Darwin was writing he was not
24 talking about how major new adaptive changes
25 took place. He was talking about how minor

1 variations could be selected upon by natural
2 forces, correct?

3 A. Because he wanted to get people to accept
4 the baby steps, and then he would let the bigger
5 ones take care of themselves.

101 6 Q. Right. You used that term baby steps in
7 your report as well. That's what Darwin was
8 taking about?

9 A. Relatively speaking, yes.

102 10 Q. And I believe you stated that he made only
11 passing reference as to how new major adaptive
12 types might emerge, is that correct?

13 A. That's correct.

103 14 Q. So Darwin's main concern in his writing
15 was with the mechanism of natural selection?

16 A. That was what his book was about, that
17 first book.

104 18 Q. Now, this mechanism of natural selection,
19 isn't it true that it cannot be observed
20 directly in the fossil record?

21 A. As I mentioned when Mr. Walczak asked me,
22 there are two ways to look at natural selection.
23 Darwin's view of looking at individuals
24 replacing individuals in populations is at one
25 level, but natural selection also figures very

1 importantly in the evolution of adaptations, and
2 if you know that the cause of adaptation is
3 natural selection, which by definition it is,
4 then you can watch adaptations emerging in the
5 fossil record, then scientist would conclude
6 from this that they are looking at natural
7 selection doing this, and the way we tell
8 it's natural selection rather than something
9 that's random is that we're looking at
10 functional improvement, the change of functions
11 from one thing to the other with the emergence
12 of new types of organisms and organs.

105 13 Q. Do you remember in your report you wrote
14 a statement, "His main concern," referring to
15 Darwin, "however was with a mechanism of natural
16 selection, which cannot be observed directly in
17 the fossil record."

18 A. In his sense, yes. But as of looking at
19 individuals and telling this fossil clam was
20 more fit than that fossil clam or how many
21 offspring it left.

106 22 Q. Are you saying in his sense of natural
23 selection that you can't observe that directly
24 in the fossil record?

25 A. In his sense of natural selection it's

1 very difficult.

107 2 Q. And I want to see if I'm following
3 what your argument is. Is it the use of
4 the demonstration of adaptation as a proxy
5 for natural selection that you claim that you
6 can observe it in the fossil record, is that
7 correct?

8 A. Rather than a proxy I would say it's an
9 effect of natural selection.

108 10 Q. I'm sorry, I didn't hear --

11 A. It's an effect of natural selection at
12 the individual level, exactly what Darwin was
13 talking about, but rather than seeing it at the
14 individual level, we're seeing its effects in
15 the wholesale transformation of lineages over
16 time.

109 17 Q. Now, is it that these effects, what you're
18 concluding, are the result of natural selection?

19 A. That is the standard interpretation of
20 evolutionary biologists, because adaptation is
21 defined as being produced by natural selection.

110 22 Q. Now, you're familiar with, I'm not sure
23 if it's a term or a concept, of punctuated
24 equilibrium?

25 A. Yes, sir.

111 1 Q. And did that pose a significant challenge
2 to the theory of evolution?

3 A. No.

112 4 Q. Or did it not challenge the notion, which
5 was the prevailing notion, that the pattern of
6 evolution is slow and yet gradual?

7 A. That's an interesting question. When
8 Darwin uses the word gradual, and we all accept
9 that Darwin accepted gradual evolution, we have
10 to remember that words meant different things in
11 Darwin's time than they do today. The meanings
12 of words have changed. So for example when
13 Darwin was on the Beagle, fresh out of
14 Cambridge, and he's traveling around the world
15 for five years, and he goes to Chile in the
16 course of collecting specimens on some of the
17 days that he's off the boat, and he gets up in
18 the mountains and he's around Concepcion, and at
19 that time there's a violent earthquake that
20 shakes the whole coastline.

21 It throws buildings down, ruins the city,
22 hundreds of people are dead. The coastline is
23 jacked up about twenty feet in some places,
24 leaving putrefying sea creatures clinging to
25 the rocks, Darwin in his journal describes this

1 as a gradual change. If you told anyone in
2 California that earthquakes are gradual, they'd
3 think you ought to be taken out and shot. But
4 in that, gradual means step-like, and when
5 Darwin was talking about gradual change, he
6 meant equally step-like as well as proceeding
7 slowly and steadily.

8 So it's very difficult sometimes to
9 interpret Darwin just by reading him through
10 today's lenses. Punctuated equilibrium is I think
11 you're exactly right, is a different idea than
12 there is really tiny, tiny, tiny changes that
13 are constantly, constantly, constantly,
14 constantly changing like this, but it amounts
15 to the same thing, because punctuated
16 equilibrium is a statement about how morphology
17 in a lineage changes through time, and the
18 empirical evidence that Niles Eldridge and Steve
19 Gould, who proposed this in 1972, they proposed
20 that for most of the time in the fossil record
21 eight species, that is individuals of a
22 particular species, not whole groups of
23 marsupials or whole groups of whales, are
24 going to remain static.

25 Rather, that within an individual lineage

1 alone that there's not going to be this, that
2 is gradual change toward from one point to point
3 A in a very slow and stately fashion, but rather
4 that it's going to be pretty much business as
5 usual, and then a fairly rapid change to another
6 form that then becomes progressively more
7 stable, and in the intervening years this
8 indeed has been confirmed by a number of
9 paleontological studies.

113 10 Q. I'll let you take a look at this for
11 reference if you'd like. In your deposition
12 you said, "Punctuated equilibrium challenge
13 that notion that the prevailing pattern of
14 evolution is slow and gradual. That's a huge
15 challenge. It was regarded as such. In fact,
16 it was regarded as a greater challenge than his
17 proponent suggested."

18 A. That's right. It was regarded this way not
19 because it challenged the paleontologists, they
20 were happy with it, and one of the interesting
21 things that Eldridge and Gould did when they
22 proposed this is that they didn't say to the
23 population biologists and the speciation
24 biologists, they didn't say, you know, guys,
25 look, you got the completely wrong model here.

1 You've been thinking about this slow steady
2 thing.

3 Instead what they said was we've been
4 paying attention to the wrong model in evolution
5 because Ernst Mayr in the 1940's and 50's
6 proposed that actually probably what's happening
7 is you have a whole big species range, and then
8 there's this little population on the fringe in
9 which evolution can evolve very -- I'm sorry, in
10 which genes and the genetic constitution can
11 evolve much more likely than it can through the
12 whole range of population, and that here
13 evolution may be very fast.

14 This may be where the new species comes in,
15 and Eldridge and Gould said maybe now it's just
16 coming back and taking over the ancestral range.
17 They thought that the evolutionary biologist
18 would be happy with this, the people that worked
19 at the population levels and studied speciation.
20 Instead they were apoplectic. They really didn't
21 think that this was a mechanism. They just
22 never had studied stasis before because, you
23 know, if you are going to write a grant for
24 research to study evolution, you say I want to
25 study how things don't change, they'd think you

1 were nuts.

2 And so no one had really looked at it this
3 way. So they turned the whole study on its
4 head, and that's pretty much how it led to
5 decades of inquiry by different kinds of
6 scientists about it, and we're still talking
7 about what is making these populations states
8 of static through time. It's a great, great
9 question.

114 10 Q. So again just following up on this
11 punctuated equilibrium question, and I think
12 this is how you referenced it in your
13 deposition, you said, "Basically scientists
14 don't know whether it applies to 90 percent of
15 the cases or 40 cases of the cases," but in
16 either case whether you have a punctuated
17 pattern or a gradual pattern you surmise that
18 selection could still be working within those
19 patterns," is that --

20 A. Yes.

115 21 Q. Basically summarizing what you had just
22 described?

23 A. Selection is not excluded from working at
24 any of those levels. It's just all this is a
25 statement about what we'd say is morphology

1 through time really.

116 2 Q. And again you cannot observe the selective
3 process in the fossil record, you observe what
4 you believe to be its effects in that first
5 selection?

6 A. And in the case of punctuation --

117 7 Q. Is that yes? I'm not sure --

8 A. Yes, I'm sorry, it is a yes, but in the
9 case of punctuation where morphology is static,
10 population biologists, population geneticists
11 have said that the reason that these
12 morphologies stay stable in time is exactly
13 because of selection, and the term they use is
14 a certain kind of selection which is called
15 stabilizing selection. It's a form of natural
16 selection that weeds out the extremes that are
17 produced in a population and canalizes the
18 middle. So as far as population biologists were
19 concerned, and it surprised me, they felt that
20 they could see population processes, individual
21 and individual, in these fossil sequences. Now,
22 whether that's the case is not for me to say.

118 23 Q. Is natural selection responsible for
24 punctuated equilibrium?

25 A. That's a great question. We're not really

1 sure what happens in the transition, and as I
2 said even in keeping a morphology static, that
3 can be a kind of selection that we know very
4 well from populations occurring today.

5 MR. MUISE: This may be a good time to take
6 a break, Your Honor.

7 THE COURT: All right, then why don't we
8 do that. We'll break for fifteen minutes, take
9 our afternoon recess, and we'll return with
10 continued cross examination by Mr. Muise after
11 that.

12 (Recess taken at 2:33 p.m. Trial
13 proceedings resumed at 2:55 p.m.)

14 THE COURT: Be seated, please. All right,
15 Mr. Muise, we continue with cross examination.

16 MR. MUISE: Thank you, Your Honor.

17 THE COURT: Mr. Millen has returned.

18 MR. GILLEN: My pleasure, Your Honor.

19 There's just not enough of me to go around.

20 THE COURT: Don't lie to me, Mr. Gillen.

21 (Laughter in courtroom.)

22 CONTINUED CROSS BY MR. MUISE:

119 23 Q. Dr. Padian, you testified on direct about
24 the Cambrian explosion, correct?

25 A. Yes.

120 1 Q. I want to ask you if you think this is an
2 accurate statement. "It is this relatively
3 abrupt appearance of living phyla that has
4 been dubbed the Cambrian explosion."

5 A. Yes.

121 6 Q. Do you know where that statement is from?

7 A. No. It's a reasonable one.

122 8 Q. I can represent to you, and you can check
9 as well, it's from the article that you cited
10 on your direct testimony by Mr. James Valentine.

11 A. Valentine.

123 12 Q. Entitled Fossils, Molecules, and Embryos:
13 New Perspectives on the Cambrian Explosion.

14 A. Okay.

124 15 Q. So other scientists use the term "abrupt
16 appearance" in different context, correct?

17 A. Other scientists use the term "abrupt
18 appearance" in different context?

125 19 Q. Well, there's been a lot of testimony so
20 far, and you as well, referring to the use of
21 the term "abrupt appearance" in Pandas, and I'm
22 just -- I mean, you acknowledge that abrupt
23 appearance appears in the literature in other
24 contexts?

25 A. Oh, may I say it means two different

1 things?

126 2 Q. I believe you just said it.

3 A. That abrupt appearance means two different
4 things? Yes. One thing to scientists and
5 another thing to intelligent design proponents.

127 6 Q. Let me ask you this. This was I'll
7 represent to you a statement by Stephen Gould
8 found in Natural History. It says, "The fossil
9 record, with its abrupt transitions, offers no
10 support for gradual change. All paleontologists
11 know that the fossil record contains precious
12 little in the way of intermediate forms.
13 Transitions between major groups are
14 characteristically abrupt." Do you believe
15 that, do you agree with that statement?

16 A. I think that Steve is talking about two
17 different things. So I would say I would agree
18 with his overall statement in context with that
19 article, which I think it comes from a 1980
20 paper? Am I right about that?

128 21 Q. 1986, correct?

22 A. 86, okay, yes.

129 23 Q. I'm sorry. June/July 1977?

24 A. 77, okay. This is in Palaeobiology?

130 25 Q. Natural History?

1 A. Natural History. Okay, I'm getting close.
2 I'll keep at it. Yeah, Steve was talking about
3 two levels of understanding. One is the
4 transition we talked about before from one
5 species to the next which is abrupt in the
6 shift of morphology in a lineage, and another
7 which he says there is that in that sometimes we
8 have transitions in the fossil record that are
9 abrupt, and there are abrupt changes for some
10 lineages. That is an abrupt appearance in the
11 sense of it appears to be abrupt as opposed to
12 abrupt appearance with no possibility of an
13 intermediate. So we would certainly agree that
14 we haven't worked out transitional stages for
15 all organisms. That's absolutely true.

131 16 Q. Let me ask you about this statement,
17 "paleontologists have long..." -- strike that.
18 "Paleontologists had long been aware of a
19 seeming contradiction between Darwin's postulate
20 of gradualism and the factual findings of
21 paleontology. Following phyletic lines through
22 time seemed to reveal only minimal gradual
23 changes, but no clear evidence for any change
24 of a species into a different genus or for the
25 gradual origin of an evolutionary novelty.

1 Anything truly novel always seemed to appear
2 quite abruptly in the fossil record," and I'll
3 represent to you that's from Mayr in his one
4 long argument Charles Darwin and the Genesis of
5 Modern Evolutionary Thought.

6 A. Yes, Ernst Mayr there is paraphrasing Steve
7 Gould's findings and he's talking about species
8 levels. In other words, the level at which
9 punctuated equilibrium applies to single
10 lineages of one species moving to the next as
11 we talked about before. I think he's not
12 actually talking about the origin of things like
13 I showed in the slides here.

132 14 Q. So he's not talking about the origin of a
15 news fossil type?

16 A. He's not talking about things like the
17 origins of birds and feathers and whales and
18 getting land creatures out of that. Or I should
19 say I can't, because I don't know all the rest
20 of the context in question, but Ernst Mayr was
21 not a paleontologist and did not familiarize
22 himself with the date of the fossil record, and
23 he was not familiar with most of the evidence I
24 talked about today.

133 25 Q. And so it's the abrupt appearance of what

1 that they're referring to?

2 A. In the case of what Gould is talking about
3 with punctuated equilibrium, he's really talking
4 about just new morphological changes that bring
5 us new kinds of species, individual species from
6 a lineage that was already present to a lineage
7 that then appears in the fossil record, and he's
8 talking about that change being relatively
9 rapid, which Mayr would have had no problem with
10 because we know that rapid change can happen in
11 evolution. That's not a problem. The whole
12 question was the lack of gradual change in the
13 slow and steady sense.

134 14 Q. And one more statement, and again I want
15 to see if you agree with this. "The Cambrian
16 explosion refers to the seemingly abrupt
17 appearance of diverse metazoan groups
18 representing a number of extent phyla as well
19 as some problematic clades during the Cambrian
20 period."

21 A. That's sounds like a fair description.

135 22 Q. And this is from an article I believe
23 entitled Lower Cambrian Vertebrates from South
24 China.

25 A. Okay.

136 1 Q. Author E.G. -- or excuse me, Shu, S-H-U?

2 A. Okay.

137 3 Q. Are you familiar with that author?

4 A. No.

138 5 Q. But that's an accurate statement?

6 A. It's made sense to me.

139 7 Q. Now, is it the case -- let me back up.

8 Do you see the Cambrian explosion as mainly

9 a problem of fossil preservation?

10 A. I see it largely as a problem of fossil
11 preservation, although the people that work on
12 the problem more closely than I do and I accept
13 what their findings are about it certainly say
14 that there's a lot of evolution going on in the
15 period of millions to tens of millions of years.

140 16 Q. Are with regard to the fossil preservation,
17 the problem is that obviously you have to read
18 the life of the past from the preserved rocks,
19 correct?

20 A. Yes.

141 21 Q. And obviously longer the locks lay around,
22 the less chance you have of finding what you're
23 looking for in those rocks?

24 A. That's exactly right.

142 25 Q. And so there are increasingly more gaps

1 in the fossil record the further back we go?

2 A. As a general matter of course that's true,
3 because the rocks on the crust of the earth are
4 continually eroded as you pointed out, and also
5 subducted by geological processes, destroyed
6 inside the earth.

143 7 Q. Is it the case that fossil evidence
8 suggests that many of the animal phyla which
9 first appeared without, that first appear
10 without evident precursors during the five
11 to ten million years in the Cambrian rocks?

12 A. I think it depends on what you mean by
13 evident precursors. You're correct that at
14 that great distance in time it's harder and
15 harder for us to find rocks of exactly the
16 right age for every interval that we would
17 love to have, but the fact is as I showed that
18 in pre-Cambrian rocks there are already complex
19 metazoan animals going back in some cases to as
20 many as ninety million years before the Cambrian
21 explosion was over. So metazoans in a sense are
22 there. We would call them precursors.

144 23 Q. So it's your understanding that there are
24 multicellular precursors to the Cambrian fauna
25 which are the metazoans?

1 A. In the pre-Cambrian, yes.

145 2 Q. Are there scientists who felt that these
3 are ancestral to the pre-Cambrian fauna?

4 A. What do you mean by the word "ancestral".

146 5 Q. That they are precursors to the fauna that
6 found in the Cambrian period.

7 A. Well, if somebody says that they are not
8 directly ancestral or we can't establish that
9 they are directly ancestral but might be
10 collateral ancestors, I think most
11 paleontologists would be fine with that
12 statement. The reason being that many of these
13 animals the preservation is not great, they
14 don't give us a lot of characteristics to work
15 with, all those shared features that I talked
16 about as being the scientific basis on which we
17 read classifications.

18 So we might see a little curly thing in the
19 fossil record with maybe some shell associated
20 with it, but is that really a snail when it's
21 like a millimeter long and, you know, typical
22 snails, you know, are bigger. I mean, are we
23 going to call that a snail or are we going
24 to say well, maybe that's just like some
25 little wormy guy that lived in a tube. So

1 we don't know all the timing, without enough
2 characteristics we're going to look for really
3 derived, you know, unusual characters of snails
4 before we start hanging the label snail or
5 direct snail ancestor on a little piece of
6 Cambrian or pre-Cambrian shell.

147 7 Q. Is that the, I don't know if I'm saying it
8 right, the Idiacrin fauna?

9 A. Idiacrin fauna is the soft body at the
10 time, I'm sorry, and it's a tough thing, and
11 these are, Idiacrin is normally a fauna of the
12 remains of soft bodied organisms, many of which
13 don't look at all like creatures we find much
14 later in the record.

148 15 Q. Are they considered by some scientists as
16 ancestors to the Cambrian fauna?

17 A. Most of the critters we find in the
18 Idiacrin fauna are just weird. They seem
19 to represent early metazoan, like the word
20 experiments is often trotted out, but it's
21 a misleading thing, but they are animals that
22 diverged and had a nice run, and they basically,
23 you know, played out their string and they
24 didn't leave many descendant for very long
25 and finally there's nobody left, but the

1 question of whether they contained the ancestors
2 of the Cambrian fauna is often difficult to say.
3 Again without proper characters we don't really
4 know, and we can't for the ancestors of a
5 particular group to be found. Could I give you
6 a different example that might clarify?

149 7 Q. Well, we're talking about pre-Cambrian and
8 Cambrian fauna and the relationship, I'm trying
9 to establish, because my understanding is that
10 some of the work by Stephen J. Gould and Simon
11 Conway Morris called into doubt whether or not
12 these multicellular precursors of the metazoans
13 were actually ancestral to the Cambrian fauna.
14 Is that, are you familiar with that?

15 A. To the specific animals within the Cambrian
16 fauna, I believe they had a disagreement about
17 parts of that. Part of what they're talking
18 about is when you find these really weird
19 Cambrian, pre-Cambrian forms, can you shoe horn
20 them into existing groups or relatives of
21 existing groups, or were they simply early
22 lineages that simply resembled them in some
23 respects but then became extinct, and that's
24 again a case by case assessment by people, and
25 the only way to find out is roll up your sleeves

1 and go look for these characters who shared
2 derived features by which you can establish
3 relationships.

4 It's really difficult to tell. For
5 example, in the famous Burgess shale of the
6 Cambrian, as Steve Gould has pointed out once,
7 you know, we have a little animal there that
8 looks like it's an ancestral chordate, and
9 chordates are animals that include vertebrates,
10 and if that little animal, pychea, he said if
11 that animal had been snuffed without any
12 relatives to continue on, whether or not it's
13 the direct ancestor or just a close personal
14 friend of the animal that eventually became
15 chordates, the whole tape would have ended right
16 there for us, but do we know about these
17 critters? Well, you know, we just happened to
18 find that one and that one happened to survive.
19 So we can't always choose what we're going to
20 find in the records when we write about the
21 vagaries of preservation.

150 22 Q. I believe you called a Cladogram?

23 A. Cladogram, yes, sir.

151 24 Q. Would it be possible to construct one of
25 those with the metazoans to the Cambrian fauna?

1 A. Yes.

152 2 Q. But there would be a lot of questions
3 in-between to make that connection, is that
4 accurate?

5 A. Well, there would be questions as to the
6 relationship of many of the specific animals
7 found in the pre-Cambrian, that's exactly right.

153 8 Q. What would allow you then to make that
9 connection between the pre-Cambrian fauna and
10 the Cambrian with all of these questions?

11 A. Because in the Cambrian fauna, in addition
12 to animals that we're not sure what they are or
13 who they're related to, we do have animals that
14 we know at least are metazoans, and some of the
15 animals that I talked about included the trace
16 fossils, which means the kind of tracks that
17 animals make when they wiggle along or burrow or
18 walk. These traces well before the Cambrian
19 show us that these animals are proceeding in
20 this specific front to back direction, forward
21 progression, and that therefore that their sides
22 are symmetrical.

23 So they're what call bilateral, they're
24 bilaterians, and bilaterians are a subgroup of
25 metazoans. So because we have bilaterian traces

1 in the pre-Cambrian we can understand that
2 metazoans were present. In the same with the
3 embryos that I described from way, tens of
4 millions of years before the Cambrian boundary,
5 have the characteristics of metazoan embryos.
6 That tells us that metazoans are present, but
7 maybe not specifically brachiopods or clams or
8 snails, but that some kind of metazoan is there.
9 And unfortunately we're all sorry about it, we
10 don't have better records of these.

154 11 Q. Getting back to the writings by Gould and
12 Morris, do they question that connection
13 between the metazoans and the Cambrian fauna?

14 A. That there are metazoans in the
15 pre-Cambrian.

155 16 Q. That they're ancestral. I know you used
17 that term --

18 A. Oh, that these specific animals that are
19 found in the pre-Cambrian fauna are directly
20 ancestral to the metazoans of the Cambrian?

156 21 Q. Yes.

22 A. I think we all question that, yes. I don't
23 remember their particular words, but many of
24 them are clearly not directly ancestral.

157 25 Q. Is that based on fossil evidence and/or

1 the molecular systematics? Is there a molecular
2 systematics claim to that at all?

3 A. The molecular evidence can't tell you a lot
4 about things. The molecular evidence is mostly
5 used to tell you about what the approximate
6 divergence times is of living groups of
7 organisms and their ancestors. The fossils
8 are pretty much what we depend on for those
9 things you mentioned.

158 10 Q. So would it be accurate to say that
11 molecular systematics can say anything nothing
12 about the relationships or roles of fossil
13 organisms to each other or to living lineages?

14 A. If you can't get molecules out of a fossil
15 you can't assess its relationships to other
16 fossils or to living organisms. So for example
17 ichthyosaur were denizens of the oceans during
18 the age of dinosaurs. They're not related
19 closely to any known animals, but no one has
20 gotten any DNA out of an ichthyosaur yet. So
21 how an ichthyosaur is related to a plesiosaur or
22 a dinosaur or how it's related to a turtle of
23 today we really don't know.

159 24 Q. Poor court reporter. There's been several
25 I guess recent molecular analyses which would

1 agree that whales and hippos are each other's
2 closest relatives, correct?

3 A. Yes. Living relatives.

160 4 Q. And from this conclusion some scientists
5 have suggested that because both kinds of
6 animals spend time in the water that their
7 common ancestor would have been aquatic?

8 A. There were a couple of molecular biologists
9 who suggested that hypothesis.

161 10 Q. And the fossil records show that this
11 inference was incorrect, is that accurate?

12 A. The fossil record shows that that inference
13 is apparently incorrect.

162 14 Q. According to the fossil record the first
15 hippos were terrestrial and not amphibious, is
16 that correct?

17 A. That is what we understand now, and
18 furthermore that they evolved from a terrestrial
19 group called anthracoceres that reach back to
20 the Eocene when we have the whales first
21 evolving.

163 22 Q. And the fossil record shows that whales
23 were fully aquatic some 35 million years before
24 the first hippos evolved?

25 A. That's what the fossil record tells us.

164 1 Q. And that the whales, too, evolved from
2 animals that were entirely terrestrial?

3 A. As we showed.

165 4 Q. So even if the whales and hippos are each
5 other's closest relatives among living animals,
6 they didn't have a common ancestor that lived
7 in the water but rather was terrestrial?

8 A. That is what we understand.

166 9 Q. So based on this, the fossil evidence was
10 more important than the molecular evidence for
11 showing the common ancestor? Is that accurate?

12 A. No. For showing the ecological condition
13 of the common ancestor. The molecular evidence
14 was wonderful because it showed us that hippos
15 and whales share molecular characteristics that
16 nobody else has, and on this basis scientists
17 accepted that whales and hippos were each
18 other's closest relatives.

167 19 Q. But the inferences that were drawn from
20 the molecular evidence which these molecular
21 biologists concluded that the common ancestors
22 had been aquatic was actually shown to be not
23 true through the fossil --

24 A. Yeah, they made an inference, a hypothesis,
25 that they just hadn't looked at the fossils, and

1 when we were able to assess the fossil evidence
2 and to determine as a post-doctoral student and
3 his team did in the laboratory across from mine
4 at Berkley that hippos actually came from
5 anthracoceres, these other terrestrial animals
6 from earlier, about the time when the whales
7 were first getting started, that it was really
8 quite clear that these animals had independent
9 origins into water, and the hippos never become
10 fully aquatic as far as we know.

168 11 Q. And that was something that could not
12 be determined from the molecular evidence?

13 A. Because the molecular evidence won't tell
14 you about the lifestyles of the old and extinct.

169 15 Q. I believe you testified something to the
16 effect that the fossil record provides strong
17 support for evolution and has since the mid
18 1800's?

19 A. Yes.

170 20 Q. And again when you use the term "evolution"
21 here, you're referring to the change over time,
22 life has changed over time?

23 A. The progression of life, in that sense.
24 And increasingly through the 1800's, the idea
25 of common ancestry. In the middle of the 1800's

1 it was quite possible to talk about the early
2 records of birds and reptiles and other animals
3 in very, very old rocks from the Mesozoic era
4 and so forth if that's what you mean.

171 5 Q. Is it your understanding that intelligent
6 design refutes the claim that life has changed
7 over time?

8 A. I don't think intelligent design refutes
9 anything in science that I'm aware of.

172 10 Q. Would you think ID, intelligent design,
11 proponents don't agree with the notion that
12 life has changed over time?

13 A. Oh, I think as the quotes from Pandas
14 shows, they're typical, I think that they
15 accept some limited change within lineages.
16 However, the diagram that I showed that for
17 them represents the face value interpretation
18 of the fossil record, that diagram shows
19 straight lines from the bottom to the top
20 without much change if any.

173 21 Q. You testified about irreducible complexity,
22 the concept of it, correct?

23 A. I did talk about that.

174 24 Q. And your characterization of irreducible
25 complexity is that it applies beyond the

1 molecular level of biology?

2 A. I testified that Mr. Behe says that they
3 don't, but that the other IDC proponents
4 indicate quite clearly that it does.

175 5 Q. And I believe with the slides that you
6 showed, the term "irreducible complexity"
7 wasn't used in any of those slides, correct?

8 A. Instead the term "adaptational packages"
9 was used, which are indications that they cannot
10 be disassembled without not working, and that is
11 the same concept as irreducible complexity.

176 12 Q. Dr. Behe rebutted such an application in
13 a journal article he wrote in Biology and
14 Philosophy. Are you aware of that?

15 A. No.

177 16 Q. Is it your opinion that it's impossible to
17 test the concept of irreducible complexity?

18 A. No, it's possible.

178 19 Q. Is it your belief that the evidence has
20 falsified the claim of irreducible complexity?

21 A. If the claim of irreducible complexity is
22 made as a blanket statement, it's not possible
23 to falsify every instance until every instance
24 is tested. What I showed here was many
25 instances where the examples given in Pandas

1 which seem to suggest that evolution of complex
2 structures such as are claimed for irreducible
3 complexity can be tested, they have been shown
4 that in fact we can show transitions, and that
5 the irreducible complexity in these features is
6 not shown.

179 7 Q. In your report you reference to some study
8 or evidence on the flagella as demonstrating
9 Dr. Behe's claim of irreducible complexity was
10 falsified.

11 A. As a principle. In principle that the
12 question of whether, of how, whether the
13 bacterial flagellum could evolve or not is
14 a testable question.

180 15 Q. I believe from your report, this is one
16 of the conclusions you reached, "The reasonable
17 conclusion is that the structure we call
18 flagella at first served the secretory purpose
19 (and before this perhaps other purposes) and
20 only later changed behaviorally and structurally
21 to work in propulsion." Do you recall making
22 that conclusion?

23 A. Yes.

181 24 Q. Would that conclusion be consistent with
25 what Darwin's theory would predict?

1 A. I don't think Darwin's theory would predict
2 a specific outcome in every case. My statement
3 simply says that if you could take one function
4 of a flagellum in a simpler form and have that
5 flagellum acquire a second function and become
6 more complex in the process, much like the wing
7 of a bird became more complex as it evolved
8 flight, then that would be a testable
9 proposition and it would be reasonable to
10 conclude that's one way it could happen.

182 11 Q. And is that your conclusion that that
12 falsified based on what I just read?

13 A. Oh, no, I don't know whether that's the way
14 it went or not. I say it's a reasonable way to
15 say it.

183 16 Q. Do you know researchers who work on this
17 flagellum have concluded that the flagellum came
18 first and that the TTS was actually later
19 derived from the flagellum?

20 A. Some researchers have concluded that, but
21 some researchers have concluded the opposite I
22 believe, but again it's not my field of
23 expertise.

184 24 Q. But your reasonable conclusion, the term
25 you use, would be the opposite of what some of

1 researchers actually --

2 A. The opposite would also be a reasonable
3 conclusion, and the question is if you test
4 it with enough evidence maybe you'll come to
5 a good one.

185 6 Q. Two of the main concepts that intelligent
7 design proponents advance, one is the
8 irreducible complexity that we talked about and
9 the other one is specified complexity, is that
10 correct?

11 A. Specified complexity, correct.

186 12 Q. That was a concept that was advanced by
13 Dr. William Dembski?

14 A. Yes.

187 15 Q. Do you know Dr. Dembski?

16 A. Personally? I've met him.

188 17 Q. Do you know of him?

18 MR. ROTHSCHILD: Your Honor, I'm going to
19 object. It's beyond the scope of the direct.

20 MR. MUISE: Your Honor, I'm not going to
21 go into the details. One of the points I want
22 to bring out, he testified that they haven't
23 published any of their works, and I'm just
24 going to go into some of the details of what
25 Dr. Dembski actually published without going

1 into the details of specified complexity.

2 MR. ROTHSCHILD: I would object to that
3 characterization. I don't believe that
4 Dr. Padian has testified he haven't published
5 in any other works, but he published in lots
6 of books and magazines, but he hasn't published
7 in peer reviewed publications in his field of
8 expertise.

9 THE COURT: Restate the purpose, Mr. Muise,
10 of the line of questioning.

11 MR. MUISE: Your Honor, the way I understand
12 his testimony is that they have not, they
13 haven't published any credible materials
14 advancing their claims in peer reviewed --

15 THE COURT: They?

16 MR. MUISE: Intelligent design proponents.

17 THE COURT: Okay.

18 MR. MUISE: In peer reviewed literature.

19 THE COURT: All right. I'll allow it for
20 that limited purpose. I'll overrule the
21 objection.

22 BY MR. MUISE:

189 23 Q. Sir, do you know that Dr. William Dembski
24 holds a Ph.D. in mathematics from the University
25 of Chicago?

1 A. He does.

190 2 Q. Do you understand that his ideas were
3 published in a book call The Design Inference?

4 A. I do.

191 5 Q. And that was an academic monograph which
6 was part of a monograph series with the academic
7 editorial board at Cambridge University?

8 A. I know that.

192 9 Q. And the name of the series that it was
10 published in Cambridge Studies and Probability
11 Induction and Decision Theory, are you aware of
12 that?

13 A. Yes.

193 14 Q. Are you aware that this book was published
15 -- strike that. Cambridge University conducts
16 peer reviews of the books they publish, isn't
17 that correct?

18 A. In some sense, yes.

194 19 Q. And his book would have been one that was
20 peer reviewed by Cambridge University?

21 A. I do not know.

195 22 Q. Does intelligent design require adherence
23 to the claim that the earth is no older than
24 six to ten thousand years old?

25 A. Intelligent design as a, as sort of a dogma

1 as it is, does it postulate a six thousand year
2 old earth?

196 3 Q. As a theory does it require adherence to
4 the notion that the earth is no older than six
5 to ten thousand years old?

6 A. Wow. I don't know of any theory that
7 requires adherence to a, certainly not a
8 scientific theory, but I would agree with
9 you that I think intelligent design is about
10 special creation of things, not about the age
11 of the earth.

197 12 Q. Do you think intelligence design requires
13 adherence to the six-day creation event that's
14 the literal interpretation of the Book of
15 Genesis?

16 A. I don't believe it requires that.
17 Individual proponents may agree with it or not.

198 18 Q. Does intelligent design require adherence
19 to the flood geology point of view advanced by
20 creationists?

21 A. I don't know if it requires that or not.

199 22 Q. It's your understanding that intelligent
23 design requires the action of a supernatural
24 creator?

25 A. I think that this is entailed because they

1 eliminate natural forces, and if you eliminate
2 natural forces then the supernatural is left.
3 Now, whether they are talking always about
4 supernatural that couldn't possibly be natural
5 forces, that would be an item that perhaps is
6 under disagreement by intelligent design
7 proponents.

200 8 Q. Let me ask you it this way then. Is it
9 your understanding that intelligent design
10 rules out all natural explanations for design?

11 A. Well, as you saw from some of the
12 statements that we quoted there from Pandas,
13 they are removing natural cause explanations,
14 they're taking them off the table and positing
15 creative intelligence as explaining these
16 things. In that sense I think I would have to
17 agree with that statement that they really do
18 require a supernatural intelligence doing that
19 and the denial or removal of natural causes that
20 can be discovered by conventional scientific
21 means.

201 22 Q. If I understand your testimony correctly,
23 it's your understanding that intelligent design
24 doesn't make any positive argument for design,
25 only a negative argument against evolution?

1 A. About 90 percent or more of their argument
2 is certainly about criticism of evolutionary
3 theory, much like creation science was. There
4 is this bit about irreducible complexity and
5 there is this bit about specified complexity,
6 but there's been very little work done on it.
7 For example, I don't know whether Mr. Behe --
8 sorry, Mr. Dembski has elicited a single natural
9 example, a case where specified complexity is an
10 explanation of a particular biological incidence
11 in the record.

202 12 Q. You said 90 percent is negative to
13 evolution. Is that, I'm assuming that there's
14 at least 10 percent of the argument that
15 demonstrates a positive argument for design?

16 A. Up to 19 if I were being charitable, I'm
17 trying to, but I really don't see that there's
18 very much here. If you look at Of Pandas and
19 People there's very little evidence for a
20 designer. It's all evidence against
21 conventional biological concepts.

203 22 Q. Have you ever read Darwin's Black Box?

23 A. I have looked at parts of it.

204 24 Q. The parts you looked at, was Dr. Behe
25 citing to scientific evidence?

1 A. For intelligent design?

205 2 Q. Correct.

3 A. Not that I can recall.

4 (Brief pause.)

206 5 Q. Now, when you referred to supernatural
6 agency in your deposition you said it means,
7 "Causes, mechanisms, processes, and influences
8 that are not part of the normal behavior of the
9 natural world as we know it. Things that
10 suspend or override these processes or disrupt
11 them or otherwise influences them in
12 extraordinary ways." Do you still adhere to
13 that definition of supernatural?

14 A. I believe that would have to be
15 supernatural as opposed to natural.

207 16 Q. Would you agree that forces could exist
17 in the natural world that we have not yet
18 discovered, for example thermonuclear fusion,
19 at one time we didn't know what was the force
20 that powered the sun, but then later science
21 discovered this force known as thermonuclear
22 fusion?

23 A. Sure, and now the front page in the New
24 York Times several years ago is an article about
25 a fifth force in gravity which is still under

1 discussion.

208 2 Q. So those examples might not be normal
3 behavior of the natural world as we know it
4 today?

5 A. Well, would we say that it might be
6 according to the natural laws and processes
7 consistent with those, or would we have to say
8 it would be inconsistent with those?

209 9 Q. Well, I'm just asking you, that was your
10 definition. I want to see if it fits that
11 definition. Would those examples be considered
12 a normal behavior of the natural world as we
13 know it?

14 A. Well, those, these words you say come from
15 my deposition, and that could be if I wanted to
16 think about it a really sort of best crafted
17 definition I could make that would be clear to
18 everyone, maybe some words in that definition
19 might be confusing or ambiguous to people, but
20 by and large I would say that's a generally good
21 description.

210 22 Q. Would you agree that this is a good general
23 definition of a theory in science, "A theory is
24 defined as a well tested explanation that
25 unifies a broad range of observations"?

1 A. Yes.

211 2 Q. Would that properly define Darwin's theory
3 of evolution?
4 A. Yes.

212 5 Q. You would agree that Darwin's theory
6 continues to be tested as new evidence is
7 discovered?
8 A. Yes.

213 9 Q. You would agree that Darwin's theory of
10 evolution is not an absolute truth?
11 A. I don't think anything in science is an
12 absolute truth.

214 13 Q. And that would include Darwin's theory of
14 evolution?
15 A. I don't use the word truth in science.

215 16 Q. Some scientists do?
17 A. Yes, they do.

216 18 Q. Is it true that all -- strike that. Is
19 it true that scientists still debate questions
20 such as how new species arise?
21 A. Yes.

217 22 Q. And they still debate the question why
23 species become extinct?
24 A. Yes.

218 25 Q. Would you agree that the origin of life

1 is an unsolved scientific problem?

2 A. There's always more to find out, yes.

219 3 Q. Would you agree that this is an area of
4 which there is little direct fossil evidence?

5 A. Yes.

220 6 Q. Would you agree that Darwin's theory of
7 evolution continues to change as new data are
8 gathered and new ways of thinking arise?

9 A. Yes.

221 10 Q. Would you agree the fossil records are
11 incomplete?

12 A. Yes.

222 13 Q. Would you agree that Darwin's theory of
14 evolution is complete?

15 A. By Darwin's theory do you mean what Darwin
16 said in 1859, or do you mean the current corpus
17 of evolutionary theory?

223 18 Q. I think some of it has been called the
19 neo-Darwinian synthesis?

20 A. Oh, the neo-Darwinian synthesis. Yeah,
21 the modern synthesis. Yes, it is incomplete,
22 certainly.

224 23 Q. And even Darwin's theory as he postulated
24 back in the 1800's would be incomplete as well?

25 A. In the sense of natural selection not being

1 a good process to account for a lot of evolution
2 or that there's more to natural selection or
3 that we haven't found all the processes yet?

225 4 Q. Well, when you answered that the
5 neo-Darwinian synthesis is incomplete, the
6 same standard that you're applying there,
7 would that apply to --

8 A. Oh, it didn't solve all the problems of
9 course, sure.

226 10 Q. I want to see if you agree or disagree
11 with this national science education standard,
12 "In areas where data or understanding are
13 incomplete, such as the details of human
14 evolution or questions surrounding global
15 warming, new data may well lead to changes
16 in current ideas to resolve current conflicts."

17 A. That's certainly true.

227 18 Q. So you would agree that our understanding
19 of the data are incomplete with regard to the
20 details of human evolution?

21 A. They're incomplete with regard to virtually
22 everything in evolution, as with everything else
23 in science.

228 24 Q. That would include human evolution as those
25 standards identify?

1 A. I would think so, judging by my
2 understanding of the human fossil record,
3 sure, we've got lots more to learn.

229 4 Q. Would you agree that the leap from non-life
5 to life is the greatest gap in scientific
6 hypotheses of earth's early history?

7 A. I'm not sure, because I'm not an expert on
8 earth's early history before life. There may be
9 lots of other big problems we don't know about.

230 10 Q. Do you disagree with that statement or you
11 just don't know?

12 A. I don't know that I would agree with it
13 because I'm just not that familiar with
14 protozoic earth evolution.

231 15 Q. Now, Pandas was published in 1993 I
16 believe, is that correct?

17 A. Second edition.

232 18 Q. Second edition, correct?

19 A. Yes, sir.

233 20 Q. And that's the one you were citing to
21 today?

22 A. Yes, sir.

234 23 Q. Is it your opinion that there's been no
24 new original thoughts by intelligent design
25 proponents since that book was published?

1 A. Oh, I think there has been. Different
2 works by intelligent design proponents have
3 been published since 1993.

235 4 Q. Now, those -- did you call it a cladogram?

5 A. Cladogram.

236 6 Q. Cladogram, are those essentially a
7 phylogenetic tree?

8 A. They're structurally a little bit
9 different, but they're logical precursors,
10 sure.

237 11 Q. And I believe you testified that they
12 reflect a grouping based on shared
13 characteristics?

14 A. Yes, sir.

238 15 Q. Would those be described also, I heard the
16 term a tree of life, would those be considered a
17 tree of life?

18 A. Insofar as they show relationships, the
19 metaphor for this is tree of life. Sometimes
20 it's shown by that, yes. In fact, there's a
21 great web site --

239 22 Q. I'm sorry?

23 A. There's a big web site where people are
24 trying to assemble all the biological cladograms
25 they have, linking them all into a great tree of

1 life.

240 2 Q. I believe you testified that no one in
3 science thinks that a trout gave rise to a frog
4 I think was the example you used, is that
5 correct?

6 A. Correct.

241 7 Q. I believe you said that their histories are
8 quite separate?

9 A. Since about the Digonian or even earlier,
10 sure. So for 400 million years or so.

242 11 Q. Is there then just one tree of life or
12 could there be multiple trees of life?

13 A. Well, if we have only one reality and if we
14 have a history to life, then it's follows it
15 seems to me that there would be only one tree of
16 life, but whether we can discover it in all
17 its ramifications is probably a never ending
18 process.

243 19 Q. I want to see if you agree with this
20 statement, sir. "The extreme rarity of
21 transitional forms in the fossil record persists
22 as the trade secret of paleontology. The
23 evolutionary trees that adorn our textbooks
24 have data only at the tips and nodes of their
25 branches. The rest is inference, however

1 reasonable, not the evidence of fossils."

2 A. Steve Gould said that some years ago.

244 3 Q. That's correct. Do you agree with that
4 statement?

5 A. No. Steve didn't know what he was talking
6 about. On a lot of areas of paleontology he was
7 one of our greatest scientists and thinkers and
8 scholars as I think any of this goes, but what
9 he knew was not perfect. Steve studied snails,
10 and if you asked me to try to give you a full
11 phylogeny of snails, I ain't going to be able
12 to do it and neither is Steve. But that doesn't
13 mean that we can't do it for other organisms or
14 that we don't find transitions. This was just
15 one of the things that Steve didn't focus on
16 very closely. He was -- his trade secret is
17 really applying to this punctuated equilibrium
18 level of the one species and then the next
19 species that seems to arise or split off from
20 it.

245 21 Q. Isn't the late Stephen Gould, wasn't he
22 touted as one of the prominent Darwinian
23 evolutionists?

24 A. Yes, he was, the whole century. Ernst Mayr
25 was, too, but Ernst Mayr didn't know beans about

1 fossils, and he didn't work on macroevolution.
2 By contrast I don't work on population genetics.
3 I'm not going to tell you about, you know,
4 balanced and stabilizing selection of things.
5 That's not my area, but I think that Steve would
6 be the first to, and Ernst Mayr would certainly
7 say that he would acknowledge what he hadn't
8 worked on. Ernst Mayr worked on birds.

246 9 Q. Well, that quote was published in an
10 article that was published in Natural History.
11 Is Natural History a peer reviewed journal?

12 A. No. And Steve was notorious. He was a
13 great writer, but no one could take a pen to
14 his prose. His columns were put in there, and
15 if you touched them he was going to have a fit.
16 So nobody edited a word. I know this from
17 personal experience working with Steve. He
18 was a great man, he was a great writer, but he
19 worked on an old typewriter, didn't do drafts,
20 he typed it out, and that was it, and he never
21 used a computer in his life.

247 22 Q. Let me see if you agree with this
23 statement. "The most striking features of
24 large scale evolution are the extremely rapid
25 divergence of lineages in the time of the

1 origin, followed by long periods in which basic
2 body plans and ways of life are retained. What
3 is missing are the many intermediate forms
4 hypothesized by Darwin and the continual
5 divergence of major lineages into the morpho
6 space between distinct adaptive types," and that
7 was written by Robert Carroll.

8 A. Yes. Bob wrote that in about it was '89.

248 9 Q. In an article entitled Toward the New
10 Evolutionary Synthesis, published January of
11 2000?

12 A. Okay. Yes, I think I would disagree in
13 detail on that. Bob in some regards is
14 restating a principle that we have understood
15 since, well, at least the 1940's with George
16 Gaylord Simpson, and that is that the major
17 groups of animals seemed to diverge first.
18 Obviously you have to the higher levels, like
19 phylums appearing before the classes and the
20 orders and the individual families all appear,
21 but these things appear and then they seem to
22 move quickly into a variety of ecological
23 niches. There's sort of an explosion if you
24 will, and then it starts winnowing things out,
25 and you get less diversity as you go on through

1 time and less production of types. That's a
2 pattern that's been noted for many different
3 kinds of animals through the fossil record, and
4 I can't tell you so much about plants.

249 5 Q. A couple of more quotes I want to see if
6 you agree with. "Paleobiologists flocked to
7 these scientific visions of the world in a
8 constant state of flux and add mixture. Instead
9 of finding the slow, smooth, and progressive
10 changes Lyel and Darwin had expected, they saw
11 in the fossil records rapid bursts of change,
12 new species simply appearing out of nowhere, and
13 then remaining unchanged for millions of years,
14 patterns hauntingly reminiscent of creation."
15 And that is from Oxford zoologist Mark Pagel
16 writing in Nature magazine.

17 A. Can you tell me what, from the context
18 perhaps what years he's talking about that
19 these scientists are experiencing this?

250 20 Q. In 1999. And as I said in 1999 writing
21 in Nature?

22 A. I'm sorry, I mean of the -- when he's
23 talking about the paleontologist, the
24 paleontologists of which era is he talking
25 about, do you know?

251 1 Q. I don't know. And he's referring to
2 paleobiologists. I don't know if that's --

3 A. Okay. I don't know either. I mean because
4 I think it's quite possible that in the early
5 days of paleontology that would be probably a
6 more acceptable pattern than it would be later
7 on, but I don't know.

252 8 Q. And here's one in 2001 written by Ernst
9 Mayr. "Wherever we look at the living phyata,
10 discontinuities are overwhelmingly frequent.
11 The discontinuities are even more striking in
12 the fossil record. New species usually appear
13 in the fossil suddenly, not connected with their
14 ancestors by a series of intermediates." Do you
15 agree with that statement?

16 A. Well, let's parse it, there's a long, if
17 you unpack it just a little bit, it's a long
18 statement, and he's talking first about, if I
19 heard you right he was talking about the living
20 biota and how it's disconnected by forms, we
21 don't have all the transitional forms living
22 today. Mayr is simply restating one of the most
23 important basic conclusions of Darwin's Origin
24 of Species, which is that you get a branch or a
25 bush like that, but through time selective

1 extinction does its work and it removes all
2 those intermediate forms, leaving those that
3 then create artificial gaps between species, and
4 so this was a basic, a very, very important
5 principle of Darwin's work. In fact, the only
6 illustration that Darwin has of the Origin of
7 Species is a scheme, a tree of life where he's
8 showing exactly this principle in schematic
9 form.

10 So as far as that applies to living biota,
11 that's a perfectly ordinary statement that
12 everybody knows is true. Where then Ernst
13 changed his attention to the fossil record,
14 then he's talking about the progression of
15 intermediates from one form to another, that
16 you have something progressing through the
17 record and then there's not a lot of
18 intermediate and then there's another form
19 progressing through the record.

20 If I heard you right there's a perfect
21 description of punctuated equilibrium, which
22 actually Gould and Eldridge took pains to credit
23 Mayr with when they first developed as sort of
24 being incipient in his work. So I think what
25 he's saying there is just basic understood

1 stuff, not anything radical.

253 2 Q. In your direct testimony when you were
3 talking about Pandas, and I believe one of
4 the points you were talking about was the
5 origin of feathers?

6 A. Yes.

254 7 Q. And the evidence that you cited was
8 evidence that had come out subsequent to
9 the publication of Pandas, is that correct?

10 A. That's correct.

255 11 Q. Now, you testified about the one minute
12 statement that's read to the students, but I
13 just want to explore your understanding about
14 what may or may not actually be happening in the
15 biology class. Is it your understanding that
16 the theory of evolution will be taught in the
17 ninth grade biology class at Dover pursuant to
18 the state standards?

19 A. I understand it's required to be taught.

256 20 Q. Is it your understanding that the state
21 standards that require students to learn about
22 Darwin's theory evolution take a test which
23 would include aspects of evolution?

24 A. I gather that that is the requirement
25 or that's the expectation for students.

257 1 Q. Do you know that the book that was
2 purchased for use in the ninth grade biology
3 class is a 2004 version of the Miller and Levine
4 biology book?

5 A. That eventually this was purchased, yes.

258 6 Q. And that the book Pandas that you've been
7 testifying about today is going to be placed in
8 the library?

9 A. Was it originally placed in the library or
10 in the classroom?

259 11 Q. What's your understanding?

12 A. I'm not sure. I believe I know that, or
13 I'm given to understand that it's now in the
14 library.

260 15 Q. Is it your understanding that it's a
16 required text for the class?

17 A. My understanding is that no, it was
18 rejected as a required text. I believe the
19 teachers did not want to use it.

261 20 Q. So in terms of how it's going to be applied
21 or used in the ninth grade biology class, it's
22 you're understanding that it's been put in the
23 library and it's only there if students want to
24 voluntarily go to it?

25 A. They are recommended to go to it to learn

1 more about other ideas about origins.

262 2 Q. Is your understanding that whether or not
3 the students will be tested on any of the
4 concepts of intelligent design?

5 A. I'm not aware that they will be.

263 6 Q. Are you familiar with the 2004 biology
7 book by Miller and Levine?

8 A. No.

264 9 Q. Do you know who Dr. Miller is?

10 A. Oh, yes.

265 11 Q. Do you have an understanding that the
12 biology book covers evolution in a manner
13 that's consistent with his status in the
14 scientific community?

15 A. I trust that it is. I know that Ken has
16 always been very strong about including
17 evolution in his book.

266 18 Q. Would you, based on what you know about
19 Dr. Miller and what he does with his science
20 books, will you conclude that the treatment of
21 the fossil record in the biology book would be
22 one that would be consistent with what you
23 believe the scientific evidence shows in the
24 fossil record?

25 A. Not having reviewed it I wouldn't be

1 prepared to tell you that specifically, I'm
2 sorry.

267 3 Q. Would you have any measure of confidence
4 based on the fact that you know Dr. Miller as
5 the co-author?

6 A. I think Ken would be the first person to
7 say he's not an expert on fossils. I hope he's
8 getting good evidence and good reviews from
9 other people, but I haven't seen that part of
10 the book.

268 11 Q. Are you the president of the National
12 Center for Science Education?

13 A. Yes, sir.

269 14 Q. And directors include Dr. Brian Alters,
15 is he one of your directors?

16 A. Yes.

270 17 Q. And Dr. Barbara Forrest?

18 A. Yes.

271 19 Q. And Dr. Miller is considered one of the
20 supporters of the National Center for Science
21 Education?

22 A. I believe he's on our letterhead as a
23 supporter, scientific supporter.

272 24 Q. Is the National Center for Science
25 Education a political advocacy organization?

1 A. No, it's not.

273 2 Q. Are you familiar with the web site of the
3 organization that you're a president of?

4 A. Well, I must say I don't look at it every
5 day, and I should explain that the role of the
6 president is not to superintend the daily
7 activities of the staff of the center, but
8 rather to preside over the board of directors.

274 9 Q. Do you have any familiarity with your web
10 site?

11 A. I do consult it, but I don't have anything
12 to do with its production and I haven't
13 memorized its contents or have I say a great
14 familiarity with what's up there at the moment.

275 15 Q. Do you know if it has a page entitled "25
16 Ways to Promote Science Education"?

17 A. I do not know that.

276 18 Q. Do you know if your web site encourages
19 individuals when there's a controversy in the
20 community of evolution to hold press conferences
21 with colleagues, record public opinion
22 announcements, send letters or editorials
23 supporting evolution education to local
24 newspapers, are you aware that your web site
25 makes those representations?

1 A. I don't think I've read that particular
2 page.

277 3 Q. Now, plaintiffs' experts in this case
4 and I gather from your testimony as well have
5 criticized intelligent design proponents for not
6 having their ideas published in peer reviewed
7 journals. Do you share their criticism?

8 A. In the appropriate fields, yes.

278 9 Q. Do you know who Dr. Richard Von
10 Sternberg is?

11 A. I don't believe so.

279 12 Q. Sir, do you know if the U.S. Office of
13 Special Counsel conducted a preliminary
14 investigation of a complaint made by this
15 individual that he was, that reprisals were
16 made against him for actually publishing an
17 intelligent design article written by Dr. Steven
18 Meyer in the Proceedings of the Biological
19 Society of Washington, are you aware of that
20 controversy?

21 THE COURT: All right, go ahead.

22 MR. WALCZAK: It's way beyond the scope of
23 direct, and the witness has testified that he
24 didn't know who Dr. Sternberger is.

25 MR. MUISE: I asked him about the

1 controversy, Your Honor, as follow-up.

2 THE COURT: I guess if he doesn't know him
3 on that basis, the second question might be
4 objectionable. He can't identify him, so how
5 would he know?

6 MR. MUISE: He may be aware of the
7 controversy surrounding an article that was
8 not published in the Proceedings, and I see
9 the witness is nodding his head right now.
10 So chances are he probably does have some
11 understanding of the controversy.

12 THE COURT: All right, I'm going to give
13 you some latitude. I'll overrule the objection.

14 THE WITNESS: I recognized the name when
15 you started to explain the circumstances.
16 Sorry, I don't mean to give you a false
17 impression.

18 BY MR. MUISE:

280 19 Q. So you're familiar with the controversy
20 surrounding the publication of this, what
21 was purported to be an article on intelligent
22 design written by Dr. Steven Meyer?

23 A. Well, I'm familiar that there is a
24 controversy.

281 25 Q. The U.S. Office of Special Counsel

1 conducted a preliminary investigation,
2 and let me read you a portion of this.

3 THE COURT: I'm going to sustain the
4 objection now. I don't, I think that's
5 beyond the scope.

6 MR. MUISE: Your Honor, if they're going to
7 complain that intelligent design proponents are
8 not publishing articles, and his organization
9 was identified in a preliminary investigation as
10 placing undue pressure and influence on an
11 organization because he accepted an article, an
12 intelligent design article, that clearly goes to
13 the bias, and you know, there's one thing for
14 them to criticize and it's another thing for
15 them to just take every effort, use all their
16 political clout they can to prevent these
17 articles from being published in peer reviewed
18 journals.

19 MR. WALCZAK: I think we've got a hearsay
20 problem here, too, Your Honor.

21 THE COURT: Well --

22 MR. WALCZAK: And it's way beyond the
23 scope of --

24 THE COURT: The purpose of the question
25 then, Mr. Muise, is to, if I understand it,

1 and I'm not sure that I do, but help me out,
2 you are embarking on a line of questioning that
3 stems from a complaint that was initiated by the
4 witnesses, by the entity, by the group that the
5 witness is the president of.

6 MR. MUISE: No. The complaint was, this
7 individual Dr. Richard von Sternberg, was an
8 editor of a peer reviewed journal in which an
9 intelligent design article was submitted for
10 review. He as the editor agreed to accept it.
11 He was then, reprisals were then taken against
12 him for doing so to the point where he initiated
13 a complaint to the U.S. Office of Special
14 Counsel. The U.S. Office of Special Counsel
15 conducted a preliminary investigation, and
16 part of the investigation revealed that his
17 organization, the National Center for Science
18 Education, was involved in creating, in helping
19 the strategy to get, for the reprisals against
20 Mr. Von Sternberg.

21 THE COURT: Well, you can establish that
22 by testimony I suppose. I think it's not an
23 inappropriate line of questioning, but I guess
24 the, ostensibly I guess it goes to bias on the
25 part of this witness. I think I'd ask the

1 question a more focused way, what does he know
2 about that.

3 MR. MUISE: And I was going to read a
4 section of the report and see --

5 THE COURT: And/or what did he have to do
6 with it.

7 MR. MUISE: Your Honor, I think the section
8 of the report I was going to read was going to
9 provide the foundation for those, or the basis
10 for those follow-up questions, whether he knows
11 this is true, whether he had any part in that.

12 THE COURT: Well, you've read that. I mean,
13 by the dialogue we've just had you've gotten
14 that in. I think it's clear now the area that
15 you seek to get into. So I think you can hone
16 your questions based on the dialogue that we
17 just had, because it really goes to what he
18 knows and what if anything he did. Isn't that
19 what you're trying to get?

20 MR. MUISE: Correct, Your Honor, but the
21 dialogue we had was between the court and
22 myself. Not between the --

23 THE COURT: We didn't put the cone of
24 silence over him while we had the dialogue.
25 I assume he heard it. So why don't you narrow

1 your questions as according to that. I mean,
2 everybody understands now what we're talking
3 about. Ask him what he knows about that, and if
4 anything what he, what part he had in it or what
5 he did. Mr. Walczak, what do you want to say?

6 MR. WALCZAK: If we might see what the
7 document is that Mr. Muise allegedly is
8 pointing to, we have no idea whether he's
9 accurately characterizing the situation or
10 not here.

11 THE COURT: Well, I don't think you have to
12 yet. I'm going to let Mr. Muise proceed. That
13 may be necessary and maybe he's going to do
14 that, but go ahead and see --

15 MR. MUISE: Your Honor, I was going to say
16 if he doesn't believe I have a good faith basis
17 for my question on cross examination, I have a
18 copy of the letter from the U.S. Office of
19 Special Counsel, I'll be happy to show opposing
20 counsel --

21 THE COURT: Do you want to see that now?

22 MR. WALCZAK: I'm not imputing that he's
23 got a good faith basis. I do not know as I sit
24 here whether his characterization of what that
25 document says is fair and accurate.

1 THE COURT: Well, that may or may not be an
2 issue depending on the answers we get. So go
3 ahead and --

4 MR. MUISE: That's party the reason why I
5 was going to read that one paragraph, Your
6 Honor, so there wasn't any misrepresentation
7 about what the basis of the question was.

8 THE COURT: What do you say about that?

9 MR. WALCZAK: It appears to be a multipage
10 document. Reading one paragraph out of there
11 again I don't know whether that's taken out of
12 context or --

13 THE COURT: Well, you'll have him on
14 redirect. So why don't you share, do you
15 have a copy, Mr. Muise? Mr. Gillen looks
16 like he's looking for a copy.

17 MR. GILLEN: I believe that we do, Your
18 Honor. It's Defendant's Exhibit 282.

19 THE COURT: All right. Then Mr. Muise, your
20 point is well taken. Why don't you go ahead and
21 read that at this point and I'll give you some
22 latitude, and then proceed with your questions
23 on that point, and at the same time plaintiffs'
24 counsel is then alerted to the exhibit number
25 and they can check it. Go ahead.

1 BY MR. MUISE:

282 2 Q. And just so the record is clear the
3 acronyms that will be used, when I use the
4 acronym SI I'm referring to the Smithsonian
5 Institution, and the acronym NMNH is referring
6 to the National Museum of Natural History, and
7 I just want to read you a portion from this
8 preliminary investigation, sir. "Of great
9 import is the fact that these same SI and NMNH
10 employees immediately aligned themselves with
11 the National Center for Science Education, NCSE.
12 Our investigation shows that NCSE is a political
13 advocate organization dedicated to defeating any
14 introduction of ID," meaning intelligent design,
15 "creationism, or religion into the American
16 education system.

17 "In fact, members of NCSE worked closely
18 with SI and NMNH members in outlining a strategy
19 to have you investigated and discredited within
20 the SI. Members of NCSC furthermore e-mailed
21 detailed statements of repudiation of the Meyer
22 article to high level NMNH officials. In turn,
23 they sent them to the society. There are
24 e-mails that are several pages in length that
25 map out their strategy.

1 "NCSE recommendations were circulated
2 within the SI and eventually became part of
3 the official public response of the SI to the
4 Meyer articles. OSC, Office for Special
5 Counsel, is not making a statement on whether
6 the SI or NMNH was wrong or right in aligning
7 with the NCSE, although OCS questions the use
8 of appropriated funds to work with on outside
9 advocacy group for this purpose.

10 "This is only discussed to show that the
11 actions taken on the part of SI employees
12 clearly had a political and religious component.
13 Therefore, it may lend credence to your
14 allegations that your religion and political
15 affiliations were investigated and made a part
16 of the actions taken against you," and the you
17 referring to is the Dr. Richard Von Sternberg
18 whom this was directed to. Sir, are you aware
19 as the president of the NCSE whether or not the
20 NCSE had taken any of the actions that were
21 identified that I just read to you in this
22 preliminary investigation?

23 A. I was not personally involved in any of
24 those actions.

283 25 Q. Do you have any knowledge of any of

1 those actions actually taking place?

2 A. Well, I am not sure that -- let me put it
3 this way. I expect that there may have been
4 communication. I was not copied on any of the
5 communication between NCSE and anyone in the
6 Smithsonian, but it's common for agencies as
7 well as individuals to consult NCSE, which by
8 the way is a tax exempt organization, not a
9 political advocacy group as the paragraph
10 states, when there is a question about the
11 propriety of introduction of creationist
12 material into for example scientific curricula
13 or such ideas. My understanding is that
14 Mr. Von Sternberg, is his name von Sternberg
15 or Sternberg?

284 16 Q. It's Von Sternberg. People refer to him as
17 Mr. Sternberg as well.

18 A. Mr. Sternberg, okay. That he was an editor
19 for the Proceedings of the Biological Society of
20 Washington, is that an organ of the Smithsonian
21 Institution or the National Museum of Natural
22 History?

285 23 Q. You don't know or are you --

24 A. I don't know. I'm asking.

286 25 Q. Well, it doesn't work that I answer

1 questions. If you don't know, that's fine.

2 A. Then I probably can't help you any farther,
3 I'm sorry. That's all I know about NCSE's part
4 in it.

287 5 Q. Do you know if anyone within NCSE sent
6 e-mails to any of members of the SI?

7 A. I don't have any personal knowledge of
8 specific e-mails.

288 9 Q. Is Genie Scott, is she a member of the
10 NCSE?

11 A. She is our executive director.

289 12 Q. Do you know if she just happened to be
13 out giving a lecture on October 12th at the
14 University of Idaho arguing against the teaching
15 of intelligent design?

16 A. I don't know where she was on that date,
17 sorry.

290 18 Q. Do you know Dr. Scott Mennick?

19 A. Personally no.

291 20 Q. Do you know who he is?

21 A. I think he's going to be deposed in this
22 case, and I think he has submitted an expert
23 report.

292 24 Q. Do you know he's a professor at the
25 University of Idaho?

1 A. I believe I'm aware of that. Is it Idaho
2 or Idaho State? Idaho, okay.

3 MR. MUISE: No further questions, Your
4 Honor.

5 THE COURT: Mr. Walczak, redirect?

6 REDIRECT BY MR. WALCZAK:

293 7 Q. National Center for Science Education,
8 NCSE, is a nonprofit group?

9 A. It's a nonprofit tax exempt group.

294 10 Q. And what is the mission of the NCSE?

11 A. The mission of NCSE is to clarify science
12 for the public. Normally major people we
13 clarify it would be government officials,
14 including education officials and school boards,
15 parents, PTA's, members of the press, and
16 individually concerned parents and community
17 members.

295 18 Q. Is it a secret that NCSE has taken a
19 position that creationism is not science?

20 A. Oh, that's no secret at all.

296 21 Q. Is it a secret that NCSE has taken a
22 position that intelligent design is not science?

23 A. No, in that sense NCSE has aligned itself
24 with the major scientific societies.

297 25 Q. And is it a secret that the National

1 Academy of Science has taken a position that
2 intelligent design is not science?

3 A. They certainly have done.

298 4 Q. Mr. Muise asked you about abrupt
5 appearance, and he read a number of quotes
6 from individuals. I believe they talked about,
7 I don't remember the exact language, about
8 relatively abrupt appearance in the Cambrian
9 ear, and at one point you said the use of that
10 abrupt appearance in scientific terms is
11 different than the use abrupt appearance in
12 intelligent design terms. Could you explain
13 that, please?

14 A. Oh, yes, of course. When we say, if a
15 scientist were to say that a form would evolve
16 abruptly or appear abruptly, I mean it has the
17 appearance, that is it seems as far as our
18 record goes to happen very quickly. But abrupt
19 appearance in going back to creation science
20 parlance means something quite different.
21 Wendell Bird for example, who is a lawyer and
22 a conservative Christian anti-evolutionist
23 attorney, wrote a book a couple of decades
24 ago about abrupt appearance theory.

25 And so for him I mean it's a code word in

1 the old style creation science, antecedent in
2 many ways to the phraseology and the language
3 that's often used in intelligent design that
4 abrupt appearance means that you get these very
5 complex groups, very distinct appearing at once,
6 with no possibility of intermediates, certainly
7 no evidence of intermediates in the fossil
8 record, so that there's an implication there
9 that there aren't ancestors and they aren't
10 going to be found as opposed to a scientist who
11 simply is making a statement about these things
12 appear to come in just now as opposed to later
13 or how rapidly.

299 14 Q. The Cambrian era lasted how long?

15 A. Oh, a few tens of millions of years.

300 16 Q. So when you see a bar on a chart and it
17 starts in the Cambrian era, does that mean it
18 was formed abruptly on a certain minute or day?

19 A. It means it's the first place where we
20 find it. I should emphasize that the first
21 appearance, the earliest appearance in the
22 fossil record is for us a minimum early
23 appearance because we may always be missing
24 earlier ones, just like the last one is
25 not necessarily the last critter to choke.

301 1 Q. But you're talking about many millions of
2 years. So you're not talk about instantaneous
3 appearance. You're talking about in a
4 relatively short period of time which in
5 geological terms is in millions of years?

6 A. If we look at the appearance in the fossil
7 record of the major groups of marine animals,
8 that appears over a sequence of millions of
9 years.

302 10 Q. And in geologic terms that's abrupt?

11 A. It's really relatively fast. To give you
12 an idea, the asteroid that hit the earth at the
13 end of the Cretaceous period when the last
14 dinosaurs that weren't birds and many other
15 things died out is dated at something like 66.5
16 million years, plus or minus 40,000 years. That
17 means that at a distance of 65 million years the
18 best we can go is like 40,000 years either way
19 for a determination. Now, 40,000 years is enough
20 the take four ice ages, you know, from now back
21 to the extinction of all the big Pleistocene
22 mastodons and mammoths and Irish elks and
23 things, do it four times and put it either way
24 and collapse it into an instant, and we can't
25 tell. That gives you an idea of somehow what

1 the resolution of dating can often be.

303 2 Q. Mr. Muise, asked you about William Dembski.

3 A. Yes.

304 4 Q. And he asked you about a book that

5 Mr. Dembski published or contributed to.

6 A. Yes.

305 7 Q. What book was that?

8 A. Is it called the Design of Life? I don't

9 remember the --

306 10 Q. And that was published by an academic

11 press?

12 A. Cambridge.

307 13 Q. Cambridge Academic Press?

14 A. The Design Inference. Thank you.

308 15 Q. Is that the same thing as the peer reviewed

16 publications you were discussing this morning?

17 A. Book publishers, even book publishers of

18 scholarly presses publish a variety of different

19 kinds of books. Some of them are very

20 scholarly, some of them are not so. I happen

21 to be on the board of editors of the University

22 of California Press and I know sometimes they

23 publish biographies or reminiscences or

24 cookbooks or things like that, as well as

25 scholarly books in semiotics and sociology and

1 molecular biology or whatever they happen to do.

2 So just because it's published by a
3 scholarly press doesn't necessarily tell you
4 what the peer review is. Also, you don't
5 know in a specific instance what kind of
6 understanding authors and editors have about
7 who or how something would be reviewed. If
8 someone who is publishing a book in a scholarly
9 press based on my experience with UC Press and
10 many other presses I have worked with is any
11 indication, and an editor at the book company,
12 the press itself, is an acquisitions editor
13 someone who would like to do business with the
14 press.

15 And so the first concern is to public books
16 that will be read, that will be good for the
17 press to public, because they'll be discussed,
18 one way or another drum up interest in the
19 press, sell other books by the press. They
20 certainly want to get scholarly works in there
21 and they want to get things as right as they
22 can, but you know, you're serving several
23 masters, whereas in a scholarly journal an
24 editor has a lot of submissions coming in, and
25 he doesn't have to worry about selling journals.

1 If he does he's probably not running a very
2 good journal because people in his field are
3 going to go for it. So he can hold authors to
4 a standard that says well, look, if the
5 reviewers say that you can do it, and he sends
6 them to anonymous reviewers for this reason.
7 Now, I think something should be pointed out
8 here is maybe Mr. Dembski's book was reviewed
9 by people who know about math and probability
10 theory.

11 I don't have a dog in that fight. I don't
12 care or know anything about that stuff, but I do
13 know that it's not biology. It wasn't published
14 in a biology series, it has nothing to do with
15 evolution biology, and so when someone said this
16 is a peer reviewed contribution that bears on
17 evolutionary biology, we say where's the beef.

309 18 Q. So there's a couple of points there. One
19 is that this academic press is not subject to
20 the same peer review as for instance you
21 described that would occur at Nature or Science?

22 A. Not necessarily at all, right.

310 23 Q. And we don't know what the peer review was
24 for that if any?

25 A. We don't know. I don't know. I have no

1 personal knowledge.

311 2 Q. And second, does Dr. Behe have to your
3 knowledge any kind of degree in biology?

4 A. I don't know what he has in biology. In
5 terms of evolutionary biology or paleontology
6 I mentioned I don't know of any expertise in
7 that regard.

312 8 Q. I'm sorry, I'm thinking about Professor
9 Behe already. I mean --

10 A. Oh, Professor Dembski. No, I'm not aware
11 that he has any credentials in any of the
12 natural sciences. I believe that mathematics
13 and theology maybe, or divinity.

313 14 Q. And let me ask you that same question I
15 asked before about the Pendas authors. Have
16 you seen Mr. Dembski at any of the conferences
17 that you attend?

18 A. No.

314 19 Q. Have you ever seen any presentations by
20 Mr. Dembski made at evolutionary biology or
21 paleontology conferences?

22 A. No. I've never heard of him.

315 23 Q. Have you ever seen any publications in your
24 field from Mr. Dembski?

25 A. No.

316 1 Q. Mr. Muise asked you about a number of
2 people, and in fact read you quotes from people.
3 He mentioned Stephen J. Gould?

4 A. Yes.

317 5 Q. And it seems the suggestion was that
6 Stephen J. Gould had some problems with
7 evolution. It seems that you knew Stephen J.
8 Gould?

9 A. Yes. Well.

318 10 Q. And are you familiar with his writings?

11 A. Oh, yes.

319 12 Q. Was he someone who questioned evolution?

13 A. He certainly questioned the relative
14 importance of various mechanisms and patterns
15 in evolution, but not the idea that evolution
16 had occurred or that organisms were related by
17 common ancestry. That was a great theme of
18 Gould's writing that he was always frustrated
19 that anti-evolutionists were trying to make out
20 that there was question about, among in the
21 scientific community about whether evolution had
22 in fact occurred, when really it was just a
23 question of how important is punctuation versus
24 slow and steady change and questions like that,
25 but the overall fact and pattern of evolution

1 was not in question.

320 2 Q. And are you aware of whether Stephen J.
3 Gould ever testified as an expert witness in
4 a case?

5 A. I believe he testified in McClean vs.
6 Arkansas, was that right?

321 7 Q. And would that have been a trial in 1981
8 about scientific creationism?

9 A. Presided by Judge Overton I believe, yes.

322 10 Q. And was he an expert witness in that trial
11 very much in the way you are an expert witness
12 here today?

13 A. Yes, except he likes the Yankees and I like
14 the Oakland A's.

323 15 Q. And in fact which side of the case did he
16 testify on?

17 A. The Yankees. I'm sorry, he testified on
18 the evolution side.

324 19 Q. And I believe Professor Gould was one of
20 the proponents of punctuated equilibrium?

21 A. He and Niles Eldridge.

325 22 Q. I'm not going ask you to explain it. I
23 know you've explained it to me before. I don't
24 fully understand it, but is that an argument
25 against evolution?

1 A. Not at all. It's simply an argument about
2 what the pace of change is.

326 3 Q. And in fact scientists disagree about a
4 whole lot of things, don't they?

5 A. Oh, yes.

327 6 Q. And they disagree about a lot of things
7 within evolution?

8 A. Oh, yes.

328 9 Q. But that doesn't mean that they don't
10 firmly believe in the basics of evolution?

11 A. Well, I wouldn't again use the word belief.
12 I'd say that they accept it as the best
13 explanation of things. My friends in the
14 physical community argue about string theory.
15 Some of them think it's good idea, some of them
16 think it's nonsense. I have no idea what it is,
17 but it's obviously something that keeps them
18 going and it has ramifications for important
19 understanding of the natural world.

329 20 Q. And Mr. Muise mentioned Ernst Mayr?

21 A. Yes.

330 22 Q. And are you familiar with Mr. Mayr's work?

23 A. Yes, I knew Ernst Mayr and his work.

331 24 Q. Is he a proponent of evolution?

25 A. Well, I'd say he probably is recognized as

1 one of the foremost evolutionary biologist of
2 the 20th century.

332 3 Q. How about Robert Carroll?

4 A. Bob Carroll is an old friend, he's one of
5 the deans of vertebrate paleontology. He's up
6 at McGill university in Toronto.

333 7 Q. And are any of those individuals proponents
8 of intelligent design?

9 A. No.

10 MR. ROTHSCHILD: I have no further
11 questions.

12 THE COURT: All right. Recross, Mr. Muise?

13 MR. MUISE: I have none, Your Honor.

14 THE COURT: All right. I thank you for your
15 testimony, and you can have a safe trip back
16 now with the cooperation of counsel getting your
17 testimony in. We'll take up the exhibits in
18 just a moment, but you may step down, sir. We
19 thank you. All right, we have some exhibits to
20 take both, from both of the last two witnesses,
21 but --

22 MR. ROTHSCHILD: Your Honor, we have a
23 suggestion. We have one more plaintiff who
24 needs to testify. We expect it to be very
25 short, and if it's agreeable we'd like to put

1 him on.

2 THE COURT: That's what I was going to ask.
3 Do we want to take another witness rather than
4 take time today? Would this be your last
5 witness other than, you're going to take the
6 reporters out of turn? Do I have that right?

7 MR. ROTHSCHILD: And Mr. Buckingham out of
8 turn and we have designations which I think
9 will likely go out of turn as well.

10 THE COURT: So technically you're not going
11 to rest after this next witness?

12 MR. WALCZAK: Correct.

13 THE COURT: Just to be clear, but are we
14 going to start with the defendant's case on,
15 by agreement of counsel on Monday?

16 MR. GILLEN: Yes, we'd like to get started.

17 MR. ROTHSCHILD: If we could put this
18 witness on now we would have no objection --

19 THE COURT: Let's go ahead and we'll start,
20 if we run a little longer we'll start with the
21 exhibits on Monday, we'll take care of that, so
22 we don't belabor that today. Go ahead.

23 MR. ROTHSCHILD: Thank you.

24 MR. HARVEY: Your Honor, the plaintiffs call
25 to the stand plaintiff Joel Leib.

1 (Joel Leib was called to testify and was
2 sworn by the courtroom deputy.)

3 COURTROOM DEPUTY: State and spell your full
4 name.

5 THE WITNESS: Joel A. Leib, L-E-I-B.

6 DIRECT EXAMINATION BY MR. HARVEY:

334 7 Q. Where do you live Mr. Leib?

8 A. I live in Dover.

335 9 Q. How long have you lived in Dover?

10 A. I was born fifty years ago, so I've lived
11 in and around Dover all my life.

336 12 Q. Your extended family, parents and --

13 A. Yes. If somebody dropped a bomb in Dover
14 that would be the end of the line.

337 15 Q. How long has your family lived in Dover?

16 A. We got here before this was the United
17 States.

338 18 Q. Do you have any children, Mr. Leib?

19 A. Yes, I do.

339 20 Q. How many children?

21 A. I personally have three.

340 22 Q. Do you have any children in the Dover High
23 School?

24 A. Yes. Well, in the junior high.

341 25 Q. What's the name of that child?

- 1 A. Ian.
- 342 2 Q. How old is Ian?
- 3 A. Ian is 13.
- 343 4 Q. And what grade is he in?
- 5 A. He's in 8th grade.
- 344 6 Q. Is he going to attend Dover High School?
- 7 A. Yes, he is.
- 345 8 Q. And are you married?
- 9 A. No. I have a significant other.
- 346 10 Q. Tell us the name of your significant other.
- 11 A. Deb Fenimore.
- 347 12 Q. And is she also a plaintiff in this action?
- 13 A. Yes, she is.
- 348 14 Q. She is the mother of Ian, correct?
- 15 A. Correct.
- 349 16 Q. What do you do for a living, Mr. Leib?
- 17 A. I'm a teacher out at Bradley Academy.
- 350 18 Q. What do you teach?
- 19 A. What do I teach? Graphic arts, animation
- 20 arts, things of that nature.
- 351 21 Q. Did there come a time when you learned that
- 22 there was going to be a change in the Dover Area
- 23 High School biology curriculum?
- 24 A. Yes.
- 352 25 Q. And tell us what did you learn?

1 A. The first time I was hit with something
2 called intelligent design that I didn't know
3 exactly what it was.

353 4 Q. When was this?

5 A. August, September somewhere around there.
6 I don't exactly remember.

354 7 Q. Did you know that the board passed a
8 resolution on October the 18th of 2004
9 changing the biology curriculum?

10 A. Yes.

355 11 Q. How did you learn about that?

12 A. Let's see, I was kind of following the
13 newspaper articles from the first one I had
14 read sometime in September.

15 MR. GILLEN: Judge, if I may just for the
16 record, same objection we made before.

17 Apparently the witness lacks any personal
18 knowledge apart from what e he read in the
19 paper, so he's not competent to testify. It's
20 hearsay. What we're about to hear is hearsay.

21 THE COURT: I'll note the objection for the
22 same reason as stated previously by the court.

23 The objection is overruled. You may proceed.

356 24 Q. Please continue your answer, Mr. Leib.

25 A. Can you repeat the question for me again?

357 1 Q. Yes. You learned about the school board
2 resolution on October 18th, correct?

3 A. Correct.

358 4 Q. And you learned about that from reading
5 it in the newspaper, correct?

6 A. Correct.

359 7 Q. Was there any other source for you of
8 information about the school board change to
9 the curriculum?

10 A. Are you referring to my conversation with
11 Jeff Brown?

360 12 Q. Yes.

13 A. Casey Brown?

14 MR. GILLEN: Objection, Your Honor.
15 Hearsay.

16 MR. HARVEY: Not offered for the truth of
17 the matter asserted, Your Honor.

18 MR. GILLEN: Then what's its purpose?

19 THE COURT: Well, let's go right to the
20 bottom of it. There's no question that the
21 curriculum was changed, was there?

22 MR. GILLEN: That's correct.

23 THE COURT: So let's not stand on ceremony.

24 MR. GILLEN: You know, I got to preserve the
25 record, judge. If all he heard is what he heard

1 from Jeff and Carol Brown, they were in court,
2 they testified. But this is hearsay.

3 THE COURT: Well, it's not hearsay if it
4 doesn't go to the truth, and the truth is not
5 at issue here as to the change in curriculum.
6 Now, it may be as to other things that he would
7 testify to, but not on that point. Is it?

8 MR. GILLEN: No, you're right, Your Honor,
9 that the evidence shows that the curriculum was
10 changed.

11 THE COURT: So at this point I think we're
12 not in a hearsay situation, or at least -- well,
13 I don't want to belabor this today. It's late
14 in the day. I'll overrule the objection.

15 MR. HARVEY: I'll try to make this easier,
16 Your Honor.

17 THE COURT: I think likely you can avoid
18 some of these objection. Why don't you do that,
19 so go ahead and proceed.

20 BY MR. HARVEY:

361 21 Q. Sure. You know that the school biology
22 curriculum was changed to include reference to
23 supposed gaps in problems in Darwin's theory
24 and other theories of evolution, including
25 intelligent design. You knew that, didn't you?

1 A. Right.

362 2 Q. And you knew that it was included to,
3 changed to include reference to a textbook
4 by the name of Of Pandas and People, is that
5 right?

6 A. That's correct.

363 7 Q. You knew that the school, the Dover area
8 school district published a newsletter in
9 February of 2005?

10 A. Correct.

364 11 Q. Did you know that?

12 A. Correct.

365 13 Q. May I approach, Your Honor?

14 THE COURT: You may.

15 A. Yes, that's the one.

366 16 Q. That in fact P-127, what I just handed
17 you, that's the newsletter you received?

18 A. Correct.

367 19 Q. Mr. Leib, do you believe that you have been
20 harmed by the Dover area school district board
21 of directors' change to the biology curriculum
22 and publication of the newsletter?

23 A. Yes, I do.

368 24 Q. How do you believe you've been harmed?

25 A. Two ways. Number one, I've got a child in

1 the school. Intelligent design is not science.
2 Every second that he's either in the class
3 listening to it or out in the hallway objecting
4 to it is a second he's not getting an education
5 and he can't be functional in a world market.
6 These kids need education. Let me handle the
7 religious aspect of it.

369 8 Q. Do you believe that the Dover area school
9 district board of directors' actions have
10 affected you and the Dover community?

11 A. Well, it's driven and a wedge where there
12 hasn't been a wedge before. People are afraid
13 to talk to people for fear, and that's happened
14 to me. They're afraid to talk to me because I'm
15 on the wrong side of the fence.

16 MR. GILLEN: Well, that was my only
17 objection, Your Honor. To the extent he's
18 testifying about harm to the community, same
19 objection as this morning. His own harm he's
20 entitled to testify.

21 THE COURT: Well, I think he tied it up at
22 the end of his answer, so I'll overrule the
23 objection.

24 MR. HARVEY: I have no further questions,
25 Your Honor.

1 THE COURT: Cross examine, Gillen?

2 CROSS EXAMINATION BY MR. GILLEN:

370 3 Q. Good afternoon, Mr. Leib.

4 A. Hello.

371 5 Q. Hello. We met at your deposition. A

6 few questions about your testimony today,

7 the basis for you being here. I believe you

8 testified you have a son, Ian?

9 A. Yes, I do.

372 10 Q. And I'm not sure, is he in biology class

11 this year?

12 A. He's in science class. It's a general

13 science at this point. Next year he will be

14 in 9th grade, and that will be the biology I

15 believe.

373 16 Q. Do you know whether you will tell your son

17 Ian -- let me first, I believe that Ian is the

18 basis of your claim in this suit, correct?

19 A. Yes.

374 20 Q. Do you know whether you will tell your son

21 Ian not to attend the biology class while the

22 statement is being read?

23 A. I would prefer he didn't, but I'm also

24 looking at him as being a relatively young

25 adult. We're going to discuss it as we have

1 in the past. But no, I would advise him not
2 to be part of that.

375 3 Q. Well, and that's all I'm trying to get at.
4 You understand that he can opt out of the
5 statement being read if wishes, correct?

6 A. He can opt out, but that mean for that
7 fifteen or twenty minutes he's not getting an
8 education. That is one of my big objections.
9 I teach a post-secondary educational class and
10 I'm still asking people if they can read and
11 write. That's wrong. We need to educate these
12 kids so they can go into the world market and
13 they can be productive. This doesn't do it for
14 them.

376 15 Q. So am I understanding you correctly,
16 Mr. Leib, that you're testimony as to harm
17 is if Ian steps out for however long it takes
18 to read this statement, he's being deprived of
19 an education?

20 A. He's being deprived of an education, yes.

377 21 Q. You have no, you're not advancing the
22 claim based on your other children, correct?

23 A. No.

378 24 Q. You realize that the text, do you know that
25 the text Of Pandas has been placed in the

1 library at Dover Area High School?

2 A. Right.

379 3 Q. You have no objection to that?

4 A. No.

380 5 Q. I believe Ian has already been instructed
6 in both evolution and creation at St. Rose
7 School, correct?

8 A. Correct.

381 9 Q. And when you use the term creation, you
10 mean the Biblical account of creation, is that
11 correct?

12 A. Correct.

382 13 Q. You would have no objection to intelligent
14 design being taught in a comparative religion
15 class, correct?

16 A. No.

383 17 Q. Or a social studies class?

18 A. No.

384 19 Q. You just don't think it's science?

20 A. It's not science.

385 21 Q. You have no degree in science education,
22 correct?

23 A. I have a degree, but not in science.

386 24 Q. Okay. You understand that evolution is
25 a theory?

1 A. Yes, I do.

387 2 Q. Do you understand that it's a theory that
3 will probably never be fully proven?

4 A. Yes.

388 5 Q. Do you understand there's a controversy
6 about evolution theory?

7 MR. HARVEY: Objection. Beyond the scope
8 of direct.

9 MR. GILLEN: Your Honor, I'm trying to get
10 to the nature of the harm that he's positing for
11 his son. I'm asking a few questions about
12 evolutionary theory and I'm going to ask him if
13 he recognizes that it's going to be taught to
14 his son.

15 MR. HARVEY: Your Honor, this is far beyond
16 anything we discussed.

17 THE COURT: I'll give him some latitude.
18 I'll overrule the objection.

19 THE WITNESS: Can you repeat the question
20 for me, please?

21 MR. GILLEN: You know what? I'm going to
22 ask Wes. Wes, would you be so kind as to read
23 back the question?

24 (The record was read by the reporter.)

25 THE WITNESS: I don't see it as a

1 controversy over the theory of evolution.
2 I have no problem with the gaps as you said
3 before. It may never be totally, totally
4 proven. Fossils don't exist everywhere. We
5 haven't found all the fossils there are to find,
6 nor have we done all the scientific research we
7 possibly can do. So I don't see there's a
8 controversy as far as evolution goes.

9 BY MR. GILLEN:

389 10 Q. Sure. The first board meeting you attended
11 I believe was February 2005, correct?

12 A. Correct.

390 13 Q. Do you object to the fact that your son
14 Ian, if he attends biology class, will be
15 instructed in evolutionary theory?

16 A. Can you run that by me again? I'm sorry.

391 17 Q. I take it you have no objection to the fact
18 that Ian will be taught evolution theory if he
19 attends biology class at Dover Area High School.

20 A. No. I have no objection to that.

392 21 Q. Do you understand that the text Miller and
22 Levine recognized by the science faculty is the
23 text that your son will be assigned?

24 A. Yes.

393 25 Q. You have no objection to that text?

1 A. No.

394 2 Q. But it's your testimony here today that the
3 reading of the statement is going to undermine
4 science education for your son?

5 A. Yes, this does undermine scientific
6 education.

395 7 Q. That's because you believe intelligent
8 design is not science, correct?

9 A. Creationism for me and for probably
10 everybody in this room is a very personal
11 thing. If you teach it in a comparative religion
12 class, you talk about all religions, not just
13 Christianity, not just Buddhism, not just any
14 particular religion. You look at them, you
15 compare them, you see how they are alike and
16 how they are different. I have no objection
17 to that. I just am telling you it is not a
18 science. You're comparing apples and oranges,
19 and there's no place in one for the other. It's
20 like teaching science from the pulpit. There's
21 no place for science from the pulpit.

396 22 Q. I take it from your answer it's your
23 understanding that intelligent design theory
24 is creationism, correct?

25 A. Yes.

1 MR. GILLEN: No further questions, Your
2 Honor.

3 THE COURT: Redirect?

4 REDIRECT BY MR. HARVEY:

397 5 Q. Very brief, Your Honor. You testified that
6 your son learned creationism and evolution at
7 St. Rose's School, correct?

8 A. Correct.

398 9 Q. Do you know what class your son learned
10 creationism in?

11 A. Creationism was a religion class.

399 12 Q. St. Rose's School was a private religious
13 school that your son previously attended before
14 he attended the public schools, isn't that
15 correct?

16 A. Correct.

17 MR. HARVEY: No further questions.

18 RECROSS BY MR. GILLEN:

400 19 Q. Given that your son learned creationism at
20 St. Rose's School in religion class, do you
21 believe that he'd think intelligent design is
22 science when he was in the classroom?

23 MR. HARVEY: Objection, Your Honor. He's
24 asking about what the child thinks. No
25 foundation, and it's hearsay.

1 THE COURT: You opened the door. I'm going
2 to overrule the objection. I'll let him answer
3 the question.

4 A. I'm not exactly sure. We discussed it, but
5 he's a typical 13-year-old. If it's not video
6 games or the latest movie, he doesn't discuss
7 too much about things like that. However, I'm
8 sure that as he grows older he's going to have
9 questions about both the science and the
10 religion of things. I plan to be there,
11 hopefully I plan to be there to answer some
12 of those questions. I'm a fan of The Discovery
13 Channel and The Learning Channel and various and
14 sundry other programs that are scientific in
15 nature. We have a religious curriculum that we
16 go through and he learns about both. He learns
17 basically religion from us, our ministers, so on
18 and so forth, and hopefully my school is
19 teaching him more science than I know.

20 MR. GILLEN: No further questions, Your
21 Honor.

22 THE COURT: You pay your nickel and you
23 go for a ride. That will have to be it.

24 MR. GILLEN: And I can say with no intention
25 to slight this witness that both his deposition

1 and his testimony today has been quite a ride.

2 THE COURT: So it ends. Sir, we thank you
3 for your testimony. You may step down. That
4 will complete the testimony for today, is that a
5 fair statement?

6 MR. HARVEY: Yes, Your Honor. We do have
7 some exhibits. We can do that on Monday.

8 THE COURT: Well, remarkably we've ended
9 close to 4:30. I give everybody credit for
10 that, and I can't imagine why we would torture
11 everyone with the laborious process of
12 attempting to admit exhibits on 4:30 on Friday
13 afternoon. It just doesn't seem right to me.

14 MR. HARVEY: I second the motion.

15 MR. GILLEN: But think about Monday morning,
16 Your Honor.

17 THE COURT: Well, it seems so far away.

18 MR. GILLEN: I wish.

19 THE COURT: We'll then recess, we'll adjourn
20 for the day and we'll be in recess until Monday
21 morning and we will start with the first defense
22 witness, a bit out of turn by cooperation with
23 counsel. I'll trust you, if I forget I'll put
24 the onus on plaintiffs to remind me to take the
25 exhibits on first thing before we take that

1 witness on Monday morning. Fair enough?

2 MR. HARVEY: Very good, Your Honor.

3 THE COURT: Anything else to good of the
4 order before we adjourn? We'll be in recess
5 until 9:00 Monday morning.

6 (Court was adjourned at 4:30 p.m.)

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 Tammy Kitzmiller, et al. vs. Dover Schools

2 4:04-CV-02688

3 Trial Day 9, Afternoon Session

4 14 October 2005

5

6

7

8 I hereby certify that the proceedings

9 and evidence are contained fully and accurately

10 in the notes taken by me on the trial of the

11 above cause, and that this copy is a correct

12 transcript of the same.

13

14

15

16

17

18

Wesley J. Armstrong

19

Registered Merit Reporter

20

21

22 The foregoing certification of this

23 transcript does not apply to any reproduction

24 by any means unless under the direct control

25 and/or supervision of the certifying reporter.